

IN THE SUPERIOR COURT FOR THE STATE OF ALASKA
THIRD JUDICIAL DISTRICT AT ANCHORAGE

STATE OF ALASKA,)
)
 Plaintiff,)
)
 vs.)
)
 ELI LILLY AND COMPANY,)
)
 Defendant.)
)
 _____)
 Case No. 3AN-06-05630 CI

VOLUME 7

TRANSCRIPT OF PROCEEDINGS

March 11, 2008 - Pages 1 through 206

BEFORE THE HONORABLE MARK RINDNER
Superior Court Judge

1 A-P-P-E-A-R-A-N-C-E-S

2 For the Plaintiff:

3 STATE OF ALASKA
 4 Department of Law, Civil Division
 Commercial/Fair Business Section
 5 1031 West 4th Avenue, Suite 200
 Anchorage, Alaska 99501-1994
 6 BY: CLYDE "ED" SNIFFEN, JR.
 Assistant Attorney General
 (907) 269-5200
 7 FIBICH, HAMPTON & LEEBRON LLP
 Five Houston Center
 8 1401 McKinney, Suite 1800
 Houston, Texas 77010
 9 BY: TOMMY FIBICH
 (713) 751-0025
 10
 11 CRUSE, SCOTT, HENDERSON & ALLEN, LLP
 2777 Allen Parkway, 7th Floor
 Houston, Texas 77019-2133
 12 BY: SCOTT ALLEN
 (713) 650-6600
 13
 14 RICHARDSON, PATRICK,
 WESTBROOK & BRICKMAN
 15 1037 Chuck Dawley Boulevard, Building A
 Mount Pleasant, South Carolina 29464
 16 BY: DAVID L. SUGGS, Of Counsel
 (843) 727-6522
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 PROCEEDINGS

2 THE COURT: Please be seated. We
3 are on the record in State of Alaska versus Eli
4 Lilly and Company, 3AN-06-5630 Civil. Counsel
5 are present. We are outside the presence of the
6 jury.

7 I have provided to counsel my
8 rulings on the designation -- designations and
9 objections to the trial deposition and the
10 exhibit depositions as to the Joey Eski
11 deposition. I've also revisited and sustained a
12 ruling on the Toleffson deposition and provided
13 that to counsel as well. Counsel will note that
14 with the Eski deposition I have a whole bunch of
15 need to discuss there. These relate almost
16 entirely to the testimony about what I'm going to
17 use shorthand to describe as Lilly's lobbying
18 efforts, and in an effort to, I guess -- the
19 correct way to describe it as exempt drugs from
20 the formulary requirements? Is that --

21 MR. ALLEN: Well, the way I
22 describe it -- I'm sure they wouldn't agree with
23 me -- is they were trying to carve out Zyprexa
24 and all -- and I'll use their phrase and all
25 mental health drugs from a review by the pharmacy

1 A-P-P-E-A-R-A-N-C-E-S, continued

2 For Defendant:

3 PEPPER HAMILTON LLP
 4 301 Carnegie Center, Suite 400
 Princeton, New Jersey 08543
 5 BY: JOHN F. BRENNER
 GEORGE LEHNER
 NINA GUSSACK
 6 (609) 452-0808
 7 LANE POWELL, LLC
 301 West Northern Lights Boulevard
 Suite 301
 Anchorage, Alaska 99503-2648
 8 BY: BREWSTER H. JAMIESON
 (907) 277-9511
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 and therapeutics committee.

2 THE COURT: Okay. That's -- I'll
3 use that for the time being to generally describe
4 the topic. Whether that was it, I just wanted a
5 shorthand way to describe it for the purpose of
6 this --

7 MR. ALLEN: Open access.

8 THE COURT: Okay. Open access.
9 Thank you. I think that's the term that I think
10 the deposition used. The reason that I have need
11 to discuss, is while I previously had ruled that
12 I did not believe that the Noerr-Pennington
13 doctrine which I believe bars claims and not
14 necessarily evidence that relates to claims that
15 aren't barred under that doctrine, I suggested
16 that I had questions about the relevance of that
17 topic to warnings that -- to the claims that are
18 in this lawsuit that was not readily apparent,
19 and the reason I have not discussed is, while I
20 perhaps see a glimmer of something there, I
21 usually can understand at least where one side or
22 the other might be coming from in this case, and
23 I really don't understand how that relates to the
24 warnings claims in this case.

25 And so I'm going to give the

1 Plaintiffs an opportunity to try to educate me on
2 the theory. We'll take that up in some order of
3 things, but that's -- that's why I say I need to
4 discuss. It's -- I just don't understand the
5 theory under which that specific testimony is
6 coming in and how it relates to the warning
7 claim.

8 MR. ALLEN: Succinctly, Your Honor,
9 it does not relate to the warning claim. I'm
10 just going to tell you. It relates to their
11 claim, Defense, that the State has done nothing
12 to restrict the access. And I have to go through
13 this transcript. I'm prepared to argue the other
14 issue you're going to discuss today, but it does
15 not go to warning claims; it rebuts their
16 defense.

17 THE COURT: Okay. Given that we
18 haven't heard their evidence of their defense,
19 that probably makes my ruling a little bit easier
20 because it's kind of -- let me hear what the
21 defense is going to be and see what the evidence
22 is, and if that goes beyond argument, we'll --
23 maybe -- but their -- go on, Mr. Allen.

24 MR. ALLEN: Well, first of all, and
25 again, I will find the transcript references, but

1 when they say something to a jury concerning the
2 fact -- and this is -- I think this is their
3 words, that the State of Alaska hadn't done one
4 thing; people come into this courtroom every day
5 and order the medication to be prescribed; no one
6 knew anything about this lawsuit; Mr. Campana,
7 who is on the P & T -- he's involved in the P & T
8 process didn't know anything about this lawsuit.

9 The door has been flung wide open.
10 You cannot have people just make open statements
11 to a jury and not be allowed to rebut those
12 statements. That's the very essence of opening
13 the door, and under Rule 104, I believe it's (b)
14 of the Alaska Rules of Evidence, as in every
15 state, you can have conditional relevance.
16 Evidence can be admitted conditioned on facts
17 that are expected, otherwise the orderly
18 presentation of evidence would never be able to
19 be conducted. You would have to have all
20 witnesses in the hallway at all times. So
21 evidence can be admitted prior to the
22 introduction of other evidence when it is tied
23 together.

24 THE COURT: Yeah, but you propose
25 to tie it together by their testifying and I

1 can't really --

2
3 MR. ALLEN: No, sir.

4 THE COURT: -- put the burden on
5 you to do that or on them to have to produce that
6 evidence that will tie it together for you.

7 MR. ALLEN: But once -- Your Honor,
8 once you make a statement to the jury -- it
9 wasn't an inadvertent statement, it was an
10 entire, using the Court's words, opening argument
11 that the State -- and I'll find it for you.

12 THE COURT: I remember the
13 argument. The question in my mind is it's
14 argument. And whether I'm going to say the door
15 was open because of argument in opening
16 statements that I already told the jury isn't
17 evidence or again --

18 MR. ALLEN: And we want to prove as
19 part of our case -- we want to prove as part of
20 our case that the State of Alaska, regardless of
21 the claims of deceit, fraud, failure to warn,
22 misrepresentation, the only action we can take is
23 the action we're taking now. The State of Alaska
24 cannot prevent the sale of Zyprexa; it cannot do
25 so. The only thing it can do, the only thing it

1 can do is bring this action and seek penalties as
2 part of the UTPA or it can also, if it's
3 permitted, ask for a P & T review.

4 So it is relevant not only on their
5 defense, but on our claim. And we're entitled to
6 move forward with our claim. And, Your Honor,
7 I've given 24 hours notice now that I have
8 subpoenaed Dr. Duane Hopson who will be here
9 tomorrow to testify, who they mentioned as part
10 of their -- in their opening was one of the --
11 this is their words, the head doctor of Alaska,
12 that will tell you how great this drug is -- and
13 I'm paraphrasing now -- how great this drug is
14 and this drug should not be restricted.

15 And I'm going to play Joey Eski in
16 the morning before I put on Dr. Hopson, so I'm
17 going to have conditional relevance proved up
18 back to back. I'm going to put on Joey Eski's
19 deposition concerning what they did, and I'm
20 going to put Dr. Hopson on, and I surely should
21 be entitled to do that as part of my claim and as
22 part of my rebuttal of their defense.

23 This case has to be tried on the
24 facts. They want to try the case based upon what
25 they say is true. They're entitled to say what

1 they want to say, but we're entitled to say what
2 we say.

3 THE COURT: But the question is if
4 I'm going to allow it and, if so, when. And it's
5 the when -- not restricting this if I think it's
6 appropriate as rebuttal, and that's what you keep
7 on saying, we want to play this as rebuttal, we
8 want to play this to rebut their case, and --

9 MR. ALLEN: Your Honor, as I took a
10 note from counsel, I am not the only counsel in
11 the case. It is not just part of rebuttal.
12 That's what I'm saying.

13 Tomorrow I want to put Ms. Eski's
14 deposition testimony on, then I want to put on
15 the head doctor -- this is their words -- the
16 head doctor of Alaska who will talk about this
17 issue concerning the open access and inability to
18 restrict, which is part of our case which is to
19 prove that this -- this is -- we think it's
20 misleading; we think it's fraudulent; we think
21 it's deceptive. And we're entitled to our view
22 of the case. They're entitled to their view; but
23 I'm entitled to put on evidence of our view of
24 the case.

25 THE COURT: Well, again, without

1 apologize, executive -- I think it is Executive
2 Sales Representative Eski -- I will explain that
3 the State's ability has been affected in part.
4 It's called circumstantial evidence. When you
5 walk to the window and you haven't seen it snow,
6 but you know it snowed because snow is on the
7 ground.

8 I'm going to prove through
9 circumstantial evidence that one of the
10 difficulties the State of Alaska has had in
11 restricting the use of this drug is because the
12 Defendants engaged in conduct in lobbying
13 efforts, public relations firms, Alaska State
14 Action Committees and truth squads to prevent a
15 restriction on their drug.

16 So it is improper -- so the
17 restrictions have been prevented by their
18 conduct. So I wasn't there when the P & T
19 committee did not conduct a review, but I know
20 that the P & T committee did not conduct a review
21 because more likely than not or a relevant reason
22 is they lobbied the legislature and the P & T and
23 the Medicaid department to prevent such a review.
24 So -- and so you say it's not time. Not today,
25 but tomorrow is the time --

1 hearing the testimony and seeing objections, it's
2 a little hard to rule. But I am not necessarily
3 restricting you from putting on a witness that
4 would say, we can't prevent Zyprexa from being
5 sold or to say, we have this committee that
6 reviews these drugs, and then if they want to
7 pursue this -- but Eski goes beyond that. It's
8 not -- if you say that there are ways we do
9 restrict, if that's what you're trying to rebut,
10 Eski doesn't really do that. What she is is a
11 way to avoid that way, and --

12 MR. ALLEN: I see Mr. Brenner
13 agrees with you.

14 THE COURT: If you want to put on
15 evidence that there are things that the State is
16 doing to limit Zyprexa, including bringing this
17 lawsuit and what the State couldn't do, which is
18 to ban Zyprexa, and that the State has a
19 committee that does, in fact, review these things
20 and does look at safety and stuff, if you want to
21 do that, but right now --

22 MR. ALLEN: But it's tomorrow,
23 Your Honor. The State does have a committee.
24 But tomorrow I will explain through Dr. Hopson
25 and Nurse Eski together -- Nurse Eski, I

1 THE COURT: Tomorrow is the time
2 for you, but it's not -- I don't believe it's the
3 time in the -- I mean, you want to quote 104, I
4 think that's --

5 MR. ALLEN: 104(b).

6 THE COURT: -- 104(b). And I'm
7 going to quote 611, which is, The Court shall
8 exercise reasonable control of the mode and order
9 of interrogating witnesses and presenting
10 evidence, so as to make the interrogation and
11 presenting effective for the ascertainment of the
12 truth. And I think that this is rebuttal
13 evidence and there needs to be other things. I'm
14 not precluding you from introducing evidence as
15 to what the State can do, and the kinds of
16 committees the State and whether the State has
17 been able to meet or those kinds of things from
18 this doctor. But the Eski questions that are
19 being asked in my mind don't -- it's not the time
20 yet.

21 MR. ALLEN: Your Honor, could I ask
22 this? Is the Court, upon the close of the
23 evidence -- if they don't come forward with what
24 they said they're going to come forward with,
25 because if they bring up this issue of

1 restricting, I'm clearly, as the Court said, I'm
2 entitled to rebut it. Are you going to instruct
3 the jury prior to the close of the evidence that
4 what they said on opening has to be disregarded?

5 THE COURT: If you -- I'm not going
6 to make rulings about what I'm going to do on the
7 close of the evidence until I've heard the
8 evidence and we'll deal with jury instructions
9 and those things. Everyone, when the cases are
10 over, I'm sure on both sides, I'm going to have a
11 bunch of applications, and I'll take them up
12 then.

13 MR. ALLEN: Okay.

14 THE COURT: But at least for
15 now that's -- as to Eski, my -- I think -- where
16 I've got the -- I'm not going to preclude you
17 from raising it down the road depending on how
18 the evidence comes up. And if you want to put on
19 Dr. Hopson to ascertain what the State can or
20 can't do or did or didn't do and the fact that
21 this lawsuit has been brought, you're able to do
22 that in showing why the State needed to -- needs
23 to bring a lawsuit and that part of bringing this
24 lawsuit is the State's effort to restrict things.

25 MR. ALLEN: I take it -- I got the

1 Court's ruling. Can we, Your Honor, move forward
2 with the issue at hand today, and that's the
3 issue of the admissibility of the risk/benefit
4 and other uses and the admissibility of
5 evidence --

6 THE COURT: Let me just hear.
7 Mr. Lehner wants to be heard on this subject.

8 MR. LEHNER: Your Honor, just very
9 briefly. First time we're hearing today that the
10 Plaintiffs intend to subpoena Dr. Hopson. Dr.
11 Hopson was not on their witness list. Dr. Hopson
12 was on our witness list. We heard earlier on
13 about trial by ambush --

14 THE COURT: Well, he was on your
15 witness list. You said you were going to call
16 him. I'd be really surprised if they didn't have
17 on their witness list all witnesses listed by the
18 Defendants.

19 MR. ALLEN: We did. We did.

20 THE COURT: What's -- I don't know.
21 Maybe they didn't --

22 MR. ALLEN: We did.

23 THE COURT: But we'll -- I mean --

24 MR. ALLEN: We did have that,
25 Your Honor, and we subpoenaed this witness.

1 THE COURT: The -- just so that the
2 record is clear, we're on the Eski deposition I
3 say need to discuss. I suppose the proper
4 decision now is not now, but you can renew your
5 request later.

6 MR. ALLEN: Your Honor, I accept
7 your ruling at this time.

8 THE COURT: All right. There were
9 two issues raised in sort of the letter stuff
10 that what was going on. One was a portion of
11 somebody's testimony -- I'm not recalling exactly
12 who -- where there was about a page and a half of
13 questioning about all the lawyers that this
14 witness consulted with on the defense side, and
15 my understanding, just so that I make sure what
16 I've got it right is -- this was a person who may
17 have been a former Lilly employee but was no
18 longer a Lilly employee at the time that the
19 deposition took place, so at this point we're not
20 talking about attorney/client; is that correct?

21 MR. ALLEN: That's correct.
22 There's actually three witnesses; Torres, Bandick
23 and Jordan. Three ex-employees. They were not
24 current employees, they're ex-employees, and --
25 and you're right.

1 THE COURT: But I do recall that in
2 one particular witness I sustained a bunch of
3 objections to about a page-and-a-half worth of
4 spending time meeting with lawyers for Lilly
5 before the deposition.

6 MR. ALLEN: Jordan.

7 THE COURT: And my understanding is
8 that the Plaintiffs believe that it goes to bias
9 because they met with the lawyers. And the
10 parties can make arguments. I think I
11 understand -- understood the issue when I made my
12 ruling.

13 I just think it's 403 material. I
14 don't want to have to explain to a jury. I think
15 it's confusing. I think that people are entitled
16 to talk to whatever lawyers they want to and not
17 talk to whatever lawyers they want to. Some
18 people are entitled absolutely to talk to lawyers
19 and I don't -- I think in the jury's -- jurors'
20 mind it's confusing and I think the relevance is
21 marginal.

22 MR. ALLEN: Well, Your Honor has
23 made a ruling. I do have a different point of
24 view.

25 THE COURT: You're entitled to make

1 your record.

2 MR. ALLEN: Let me make my record,
3 Your Honor. It's three employees, Ms. Denise
4 Torres, Global Marketing Director on Zyprexa,
5 Mr. Michael Bandick. His title is either Brand
6 Manager or Marketplace Manager. He's primarily
7 responsible for the PCP launch and campaign. And
8 Mr. Jack Jordan who was U.S. Marketing Director
9 for Zyprexa, all ex-employees of Eli Lilly. Of
10 course, if a witness comes -- a witness walks
11 through this door and gets on the stand and gives
12 testimony that is adverse to me and has no
13 connection with either of the parties, that can
14 be more damning than a witness who walks through
15 the door, has a relationship with the parties,
16 has been paid money by the parties and has met
17 with the lawyers prior to the time the witness
18 testified.

19 It goes directly to the issue of
20 both credibility, which the jury's asked to
21 weigh, is the credibility of a witness and the
22 interest or bias or prejudice of a witness. If a
23 witness is on the stand and testifies that I met
24 with this lawyer, and this lawyer and this lawyer
25 and this lawyer and these lawyers back here and

1 lawyers back at the Cook Hotel, and I didn't just
2 meet with them on one occasion, I met with them
3 on numerous occasions over many months, and then
4 I'm trying to get this witness to testify and the
5 witness testifies adversely to me, a reasonable
6 inference can be drawn that the reason that the
7 witness was more difficult and the testimony was
8 more damaging is because he's met with all these
9 people. It makes it more likely than not that
10 the witness's testimony had been affected by his
11 or her relationship with the parties.

12 And I -- the Court -- I accept the
13 Court's rulings, but I will just say -- I have to
14 say, for 24 years, that's just the way I've done
15 it. And maybe I've -- I've been wrong, but I
16 don't know.

17 MR. LEHNER: Your Honor, I just
18 would point to Rule 613, which I think Mr. Allen
19 cited. The requirement to do this requires that
20 a foundation be laid and that the deponent be
21 given an opportunity while testifying to explain
22 or deny any prior statement or admit, deny or
23 explain any bias or interest. I didn't see that
24 in the record. But that's just to complete the
25 record.

1 Can I go back, Your Honor? I
2 didn't raise this issue about Dr. Hopson because
3 I didn't have the piece of paper in front of me.
4 We do have the Plaintiff's final witness
5 statement. There is no savings clause in here,
6 but more importantly, we would not have made the
7 statement in our opening argument that we intend
8 to bring him in their case and they did not
9 intend to bring him had he been on their list, or
10 had there been a savings clause on here. It was
11 very much a part of our argument. I think it's
12 extraordinarily prejudicial now if you allow them
13 to go by ambush literally to come and say they
14 are going to bring Dr. Hopson out when we relied
15 upon what was indeed their final witness
16 statement.

17 THE COURT: Again, I don't consider
18 this to be surprise. I don't know about the
19 final witness statement but Dr. Hopson has been
20 known -- was going to be a witness in this case
21 for quite some time. It's really talking about
22 the order of presentation.

23 MR. LEHNER: We, in fact, deposed
24 him. They took no opportunity to ask any
25 questions at the deposition.

1 MR. ALLEN: That's our choice, and
2 now we've subpoenaed him based upon the evidence
3 that's coming in. We're entitled to subpoena
4 witnesses within witness range and they deposed
5 him, I think, two months ago.

6 MR. LEHNER: Entitled to subpoena
7 witnesses who would be on the witness list.

8 THE COURT: I don't think there's
9 surprise here. It's a witness who was going to
10 testify and has been known as a witness
11 regardless of who called him and I don't think
12 you own a witness when you list him as your own,
13 someone you want to use.

14 MR. LEHNER: I would not argue that
15 it's surprise, Your Honor. Obviously we've used
16 his name. I would argue that it's really
17 prejudice to bring a witness who is not on the
18 witness list, upon a representation upon which
19 we relied that he was not going to be one of
20 their witnesses.

21 THE COURT: Again, I'm going to
22 allow Dr. Hopson to testify if they want to have
23 him in their case in chief.

24 MR. ALLEN: Thank you, Your Honor.
25 For the record I'm going to ask --

1 THE COURT: My ruling stands as to
2 questions regarding talking to lawyers before the
3 deposition.

4 I think that leads us now to a last
5 question, which is what I'll call the testimony
6 and documents on the off-label issue as it
7 relates to -- which is out of the case as it
8 relates to the other issues that should be in
9 this case. I've generally taken the approach
10 that I don't find the relevance of that issue, at
11 least immediately to the warnings claim. It may
12 be relevant for rebuttal purposes. On the other
13 hand, I'm particularly looking at specific
14 questions, which I realize everyone did these
15 depositions while the off-label issue was still
16 in this case, and those questions seemed very
17 probing of that issue and not probing to me, at
18 least, of the issues that remain in this case.

19 The documents, when I read them,
20 have both elements in it. I do find this is sort
21 of particularly -- it's been a difficult way of
22 trying to parse it based on trying to just look
23 at questions and maybe you can explain it some
24 more to me. I particularly found it hard, I'll
25 tell you, with the Jordan deposition which has

1 elements towards the end of some clearly warning
2 claims, but most of it all seemed to be to the
3 off-label claims.

4 MR. ALLEN: Can I make an attempt
5 to do so, sir?

6 THE COURT: Sure.

7 MR. ALLEN: All right.

8 Your Honor, first thing I want to
9 say for the record, I am not asking for the
10 reinstatement of a cause of action on off-label
11 promotion. That is not what I'm asking for at
12 all.

13 THE COURT: That, I understand.

14 MR. ALLEN: Okay. I am not also
15 asking to go into off-the-label matters. I am
16 asking to be able to prove my claims under
17 Alaska's Unfair Trade Practices Act, which
18 includes a misrepresentation of the product's
19 characteristics and a failure to warn.

20 Now, the question is: To whom -- I
21 don't know -- I may have been wrong on the
22 grammar. To whom do we claim Alaska's -- that
23 the Defendants violated the UTPA and failed to
24 warn? Is it to some doctors? Just a few doctor
25 segment? The answer to that is, no, Your Honor.

1 We claim that Alaska's UTPA was violated and the
2 Defendants failed to warn to all doctors --

3 THE COURT: I understand that, too.

4 MR. ALLEN: All right.

5 Now, in evaluating the use of a
6 Zyprexa, it's a two-sided equation. It is both
7 the risk of the product and the benefit of the
8 product for all doctors who prescribe the drug
9 under all circumstances. Evidence should be
10 admissible for either side of this equation, and
11 I think with due respect to the Court that the
12 Defendants have done a good job of convincing you
13 that I'm trying to get into off-label matters --

14 THE COURT: I don't think they've
15 done that. It's how I'm looking at the
16 questions. I mean, again, we -- I do understand
17 this, too, and I see that as kind of a critical
18 issue in trying to figure this out, but I'm
19 looking at particular questions and we'll get to
20 that in a second, I'm sure.

21 MR. ALLEN: I guess the Court would
22 obviously hold that we're entitled to put in
23 evidence concerning the risk for all doctors and
24 the benefit for all doctors. I would think that
25 would be something that evidence is clearly

1 relevant on both the risk side and the benefits
2 side.

3 Now, in order -- when we -- and by
4 the way, evidence can be admissible not only on
5 both sides, it can be admissible on the risk side
6 of the equation or the benefits side of equation
7 or both sides of the equation. Now, Ms. Gussack
8 has told you and Mr. -- Mr. Brenner -- anyhow,
9 that when looking at the risk side of the
10 equation, I'm going to show you in a minute, that
11 you have to look at all the information, all the
12 information that came from Eli Lilly to the
13 prescribers, all the information. And we're also
14 looking at not only the failure to warn, but the
15 UTPA claim, and it's for all prescribers.

16 The benefit side of the equation,
17 evidence on the benefit, it will be different
18 depending on the reason for the prescription.
19 Benefit is not a static concept. In other
20 words --

21 THE COURT: I'm with you --

22 MR. ALLEN: Let me --

23 THE COURT: I'm with you. So I
24 understand this too.

25 MR. ALLEN: Let me go on. And I

1 just want the Court to understand where we're
2 coming from. If you would -- if I could finish,
3 I could wrap it up, maybe we can get a conclusion
4 here.

5 Primary-care doctors prescribe
6 Zyprexa; that's just a fact. And, in fact, they
7 have testified -- I'm going to show you in a
8 second, we're going to get right to the
9 evidence -- Ms. Gussack told this Court and this
10 jury that primary care doctors, the reason they
11 prescribe the drug is for on-label purposes. I
12 am making no off-label claims here. I will show
13 you in a minute, she said primary care doctors
14 prescribe for on-label purposes.

15 So, therefore, if I am trying to
16 produce evidence concerning the PCP campaign by
17 definition and admission of the Defendants, it is
18 for on-label purposes. I think maybe where we've
19 gotten confused is that we've assumed that PCP
20 means off-label. It does not mean off-label in
21 this case by their own admission.

22 By the way, the testimony in the
23 case is 40 percent of prescriptions are for uses
24 other than schizophrenia and bipolar mania and 38
25 percent of the use in Alaska is for other than

1 schizophrenia and bipolar mania, so we have a
2 significant amount of use of this product not for
3 schizophrenia, and not for bipolar mania, so the
4 benefit would have to be different.

5 Here's what I say. PCP is
6 on-label. Here's what Ms. Gussack said to the
7 jury, quoted exactly on opening. That is why
8 when Lilly received approval from FDA in 2000 for
9 Zyprexa to be used in bipolar disorder, that's
10 why it started to move into calling upon
11 primary-care doctors.

12 She's told this jury -- I accept
13 her argument. For purposes of the introduction
14 of the evidence concerning the other uses, I
15 accept Ms. Gussack's own statement. We accept
16 it. That the reason they went into PCP marketing
17 is because for on-label purposes.

18 So it's not off-label. So then I'm
19 entitled -- if you accept Ms. Gussack's
20 statement, then I'm entitled to show -- to
21 present evidence concerning what Lilly did, what
22 Lilly said, what Lilly did or did not say, not to
23 just to a select group of psychiatrists, but to
24 all doctors. I'm entitled to see what they said
25 about the risk and the benefits to all doctors,

1 including PCP doctors, because it was an on-label
2 launch.

3 Here's the risk: Weight gain,
4 hyperglycemia, diabetes, hyperlipidemia, tardive
5 dyskinesia, extrapyramidal side effects and blood
6 monitoring. Here are the benefits -- here's a
7 literal equation. Schizophrenia, bipolar,
8 bipolar maintenance and that would include by
9 definition, mood, thought and behavior disorders.
10 Mood, thought and behavior disorders is not
11 off-label. It's not off-label because the PCP
12 campaign, pursuant to their argument, was an
13 on-label campaign.

14 Here's other uses, which you can
15 look at.

16 How do we solve this issue? How do
17 we solve this issue?

18 I suggest that you look over at
19 this side of the room and ask them this question:
20 Is mood, thought, and behavior disorder within
21 the label for Zyprexa? If they say yes, if they
22 say yes, it's within the label, then anything we
23 introduce concerning that effort is within the
24 label.

25 They will have to tell you yes.

1 They will have to tell you yes.

2 Now, here's what they said on
3 opening statement, Your Honor. They said, but
4 let's get something straight. It's prescription,
5 so what it is -- let me get some water, please.
6 I apologize to the Court. I have to get my
7 glasses on. I can read better from here.

8 Our physicians make that hard
9 choice every day, and this is their statement and
10 we're going to talk a lot about that, and this
11 case is going to involve a lot of information
12 about how doctors make those decisions. They
13 went on to tell this jury that the doctors engage
14 in this risk/benefit analysis every time, every
15 time they prescribe it to a patient. And it says
16 a lot of patients -- now here's where we're
17 talking about mood, thought and behavior
18 disorders. Ms. Gussack says a lot of patients
19 with schizophrenia don't see psychiatrists and
20 that can be for a lot of reasons, not the least
21 of which is large portions of this country there
22 is not a psychiatrist on every corner.

23 In fact, a lot of our mental
24 illness is treated by primary-care physicians or
25 nurse practitioners, and we are lucky because

1 every time a physician who is trained and
 2 educated to identify these patients, that is why,
 3 she says here, right here, that is why when Lilly
 4 received approval from the FDA in 2000 for
 5 Zyprexa to be used in bipolar disorder, that's
 6 why it started to move into calling upon
 7 primary-care physicians.

8 So what she's told this Court and
 9 what she's told this jury and what we will accept
 10 for purposes of this trial is that what they did
 11 with PCP doctors and their campaign to PCP
 12 doctors is not off-label use. It is on-label
 13 use. That's what she says right here. So we're
 14 entitled to see what they said about both the
 15 risk side of the equation and the benefits side
 16 of the equation to PCP doctors.

17 She even went so far as to say,
 18 Your Honor, that we know that doctors aren't
 19 taking out their magnifying glass to look at each
 20 section of the label. Rather, she says, why are
 21 we so sure that doctors haven't been misled?
 22 Because the label and all of the information --
 23 not just some of it, Your Honor, all of the
 24 information that Lilly shares with physicians --
 25 and noted she didn't just say psychiatrists,

1 tells them about the side effects and risks
 2 associated with Zyprexa. Then she goes on to say
 3 that FDA is a cop on the beat. They're not dumb
 4 and stupid. And does Lilly have an obligation to
 5 tell about weight gain? No, doctors have gone to
 6 medical school.

7 And she concludes and says,
 8 concerning what we should look at concerning
 9 warning, concerning warning. But Lilly was
 10 sharing its information with doctors about weight
 11 gain and sharing its information with FDA and it
 12 wasn't -- look what she says, it wasn't just
 13 relying on the label. She says: Lilly trained
 14 its sales representatives who called on
 15 physicians to answer questions about weight gain
 16 and diabetes. And then she says: And what kind
 17 of information were the sales reps sharing with
 18 physicians when they made those calls? What were
 19 they sharing when they made the calls?

20 Now, in the PCP, and this is what
 21 Jack Jordan on the questions you asked about, he
 22 testifies -- remember, again, this is an on-label
 23 campaign. This is my questions to him at the
 24 time, and this is something they're asking you to
 25 reconsider now. I asked him: Anyhow, the

1 position -- and, Your Honor, the position is a
 2 term of art, it's a term of art -- tell the jury
 3 again if you haven't already, can you explain to
 4 the jury what a position is with regard to a
 5 medical product such as Zyprexa?

6 He says: A position is ultimately
 7 how we want your customers to think about your
 8 product.

9 And I said: And the position
 10 listed in this document -- which the Court will
 11 see in a minute, which they're trying to get out
 12 of Jordan's deposition -- is the safe -- safe,
 13 proven solution for mood, thought and behavioral
 14 disorders.

15 He said: That's correct. The very
 16 next sentence says: We will emphasize safety to
 17 address the barriers to adoption. And then he
 18 says: In the positioning of the product, we will
 19 emphasize to the doctors it's safe, and he agrees
 20 the document says that.

21 So, Your Honor, when we're looking
 22 at the PCP, when we're weighing the risk versus
 23 benefit equation, we're weighing this equation,
 24 we have to look at what this company knew and
 25 what conduct they engaged in to effect that

1 equation. This is the PCP strategy overview.

2 Remember, position. Now they
 3 recognize that PCPs may not prescribe
 4 antipsychotics. They say it's a challenge, that
 5 there's a barrier to the prescription and it's a
 6 doctor's aversion --

7 THE COURT: Let me just ask you a
 8 question. The portion of the Jordan deposition
 9 that you've just reviewed, my current ruling on
 10 that is that it's in.

11 MR. ALLEN: That current ruling is
 12 it's in. They asked -- of course --

13 THE COURT: I saw it came in -- I
 14 think it came in this morning.

15 MR. ALLEN: Right. And they're now
 16 trying to strike that and anything else. Yes,
 17 sir, you're right, it's currently in and I think
 18 it should stay in. The reason -- let me go on,
 19 Your Honor. Remember, when you see PCP, I don't
 20 want you to think off-label. I want you to think
 21 on-label. They have said it's on-label. It's
 22 not off-label.

23 They said, the reason it's a
 24 barrier to adoption of PCP is aversion to risk.
 25 It's aversion to risk. So, what are they going

1 to do? They are going to position -- remember,
2 that means how we want doctors to think -- it's
3 the safe, proven solution in mood, thought and
4 behavioral disorders. We will emphasize safety
5 to address the barrier to adoption, the barrier
6 being doctors' aversion to risk.

7 And then remembering Mrs. Gussack's
8 opening statement that says, you have to look at
9 all of the information, you have to look at all
10 of the information, how we trained our sales
11 reps -- that's her words, not mine -- and what we
12 trained them to do.

13 This is the Viva Zyprexa campaign
14 which is an on-label document. It is a primary
15 care document, and here's what they say and
16 here's the documents I want to utilize and
17 they're trying to restrict.

18 Their strategy in this document is
19 to establish the position, again, that's what we
20 want doctors to think of safe, proven solution
21 for mood, thought and behavioral disturbances.
22 And I think when the Court sees, I'm concerned
23 that when the Court sees the words mood, thought
24 and behavior, he's thinking off-label. But they
25 have told this Court and they have told this jury

1 that that is an on-label bipolar disorder of
2 statement.

3 So anytime we see the term mood,
4 thought and behavior, we shouldn't be thinking
5 off-label, we should be thinking on-label,
6 because they said this entire launch was
7 predicated on the approval for bipolar disorder.
8 So, this should not be a key to think off-label.
9 It should be a key to think on-label, on-label.

10 Now, what is the message they're
11 going to deliver? Remembering Ms. Gussack told
12 the jury that you have to look at how we train
13 our sales representatives, you're going to see
14 all the ways, beyond the label -- that's --
15 beyond the label, she said it's not -- you just
16 don't look at the label. I want to show that and
17 emphasize it to the Court.

18 THE COURT: I remember it.

19 MR. ALLEN: It's right there. We
20 don't -- but Lilly was sharing its information
21 and it wasn't just relying on the label, it
22 wasn't just relying on the label.

23 So, here's what they say.
24 Emphasize safety, ease of use, safety is the --
25 and this is the findings concerning their

1 message: Safety is the most important aspect of
2 the information presented. They go on to say
3 there is -- and this is in their key message
4 elements, this is their words, how they want
5 doctors to think. Low risk of medical
6 complications. No blood monitoring is
7 required --

8 THE COURT: All of this stuff
9 you're showing me is in, right?

10 MR. ALLEN: The evidence is in, but
11 you struck the testimony in both Mr. Jordan's
12 deposition and Ms. Torres' deposition and
13 Dr. Lechleiter's.

14 Let me give you one example. I'm
15 sorry. I got up at 5:00 a.m. to do this,
16 Your Honor but I have a very good recall on a lot
17 of these depositions since I took them.

18 Mr. Bandick testified to me under
19 oath that they marketed this drug for -- for
20 people as a mood stabilizer, and for people with
21 mood, thought and behavior disorders.

22 You struck that testimony. You
23 struck it when I tried to introduce it. I guess
24 you struck it under the theory it was off-label.
25 But it's not off-label; it's not. And these

1 people know what they've done. They have taken
2 what used to be an off-label claim and they have
3 told this jury because they have to, Your Honor,
4 they have to.

5 If you ask them this question: Is
6 mood, thought and behavior disorders on-label or
7 is it off-label? If they tell you it's
8 off-label, the federal government authorities,
9 the criminal prosecutors will get them. But --
10 they'll get them, that's what they're looking
11 into. But their position has always been that
12 mood, thought and behavior is in-label. We
13 accept that position for this trial. Mood,
14 thought and behavior is within the label.

15 THE COURT: Let me ask that
16 question. If it's within the label, why isn't
17 all this testimony coming in yet? If all of
18 these documents and testimony goes into
19 establishing that Lilly did all this stuff within
20 the label, then why isn't what Lilly told doctors
21 about these things -- I mean, Mr. Allen is
22 probably correct.

23 I struck this because I saw this as
24 being efforts to establish that Lilly was doing
25 things off-label, but if all of this stuff is

1 on-label and it was perfectly proper for Lilly to
 2 be doing this stuff, why doesn't it come in.
 3 MR. BRENNER: Your Honor, it is
 4 on-label, but we've had a complete reversal of
 5 position here. Throughout all the depositions,
 6 they took the position it's off-label, and I
 7 don't understand how it's an element of the
 8 State's case to prove that we were on-label.
 9 Let's be clear about what's going
 10 on here. They're not trying to prove it's
 11 on-label. They're trying to back-door what Your
 12 Honor's ruled out. And that's what's going on.
 13 Yes, our position consistently has been that's
 14 on-label. If the State wants to stipulate to
 15 that, we can take it out of the case. That's not
 16 why they are putting in these proofs, Judge.
 17 MR. ALLEN: I'll stipulate to it.
 18 MR. BRENNER: Then you can't argue
 19 from it that that really means off-label. Then
 20 we have no proof, if we stipulate to it, Judge,
 21 all of that can come our for both sides.
 22 MR. ALLEN: I will stipulate for
 23 purposes of this case that the documents for
 24 mood, thought and behavior disorder are on-label.
 25 I will not contend it's off-label but I'm

1 entitled to produce the evidence of what they
 2 told physicians about mood thought and behavior.
 3 THE COURT: A lot of what I struck
 4 was about whether treating for Alzheimer's is
 5 on-label, whether or not treating with kids is
 6 on-label, whether treating common depression is
 7 on-label. There's a lot of that testimony is
 8 what I struck. And --
 9 MR. BRENNER: And that is the
 10 State's off-label case that's no longer germane
 11 to our proceedings here today.
 12 MR. ALLEN: Your Honor, can I
 13 answer these false charges? Let's go back to
 14 what -- what we're talking about here,
 15 Your Honor, and let me finish and then we'll
 16 conclude. I think we now have an agreement from
 17 the Defendants that any document dealing with
 18 mood, thought and behavior disorder, because they
 19 have to say it, is within label. So, all
 20 questions surrounding mood, thought and behavior
 21 should come in. Here's one of the documents that
 22 they have --
 23 THE COURT: Again, I'm hearing --
 24 I'm seeing Mr. Brenner shake his head, and I
 25 don't think you have -- I'm not clear whether

1 you've got an agreement about what -- I certainly
 2 am clear that you don't have an agreement that
 3 all documents or questions should come in.
 4 What's being agreed to that the
 5 jury could hear a stipulation on I'm less clear
 6 on.
 7 MR. ALLEN: Your Honor, in
 8 promotion of this 3 by 3 campaign -- your Honor,
 9 I have to take the case as it lies. I cannot
 10 redraft their documents. They have what they
 11 call a 3 by 3 message. It's very important,
 12 Your Honor. The 3 by 3 message is mood, thought
 13 and behavior, safety and ease of use. And I'm
 14 entitled to present that.
 15 Now, let me go on to say you struck
 16 this. This is an on-label document. One of our
 17 theories in our case of failure to warn is that
 18 they overemphasized the benefits -- and let me
 19 find this for you, Your Honor. Let me find this.
 20 And we know that this is improper,
 21 because we remember the November, 1996 FDA
 22 letter. The November, 1996 FDA letter says: You
 23 must engage in appropriate balance. And it is
 24 false and misleading to not do so, and, in fact,
 25 they said -- and it goes directly to the heart of

1 what they're doing now. They said -- the FDA has
 2 said, not Scott Allen, that the labeling pieces
 3 identified above contain one or more of the
 4 violations enumerated. They are all lacking in
 5 balance relating to adverse events and
 6 precautionary information and present a
 7 misleading impression of Zyprexa as -- this is
 8 what they said then -- as a superior,
 9 highly-effective, virtually free of side effects,
 10 easy-to-use product.
 11 This impression is contrary to the
 12 labeling. That is precisely what they did in the
 13 Viva Zyprexa campaign. And we should be able to
 14 introduce evidence that their goal was not to
 15 warn. You ask: How does this relate to the
 16 failure to warn claim? How does it relate? That
 17 was a question the Court asked.
 18 It relates to the claim because
 19 we're entitled to show that they engaged in
 20 efforts not to warn, but in unfair balance and
 21 they misrepresented the characteristics of the
 22 product. And they, through their campaign went
 23 out and did it on a concerted effort to doctors
 24 and their words of their song 24 hours a day --
 25 they wish there were 40 more -- we can't rest,

1 I've got to run, might tell a doctor 50 times, 50
2 times, it says, I might tell a doctor. Now, hold
3 on, and I'm -- here's -- this is a document they
4 want stricken and they want taken out of the
5 Jordan deposition.

6 This is a Zyprexa product knowledge
7 conference call. This is an on-label campaign
8 now. Here's the question that's asked: Here's
9 the question -- they're teaching their sales reps
10 and people in the field how to use and promote
11 Zyprexa. It says: What if a doctor says, I
12 don't see these types of patients, and it says
13 the doctor is thinking he does not see
14 schizophrenic or bipolar patients, but he
15 probably does see patients with symptoms of
16 behavior -- which they just said is on-label,
17 mood, on-label, or thought disturbances. We need
18 to focus on symptoms and patient types of Martha,
19 on-label, David, on-label, Christine, on-label.

20 Even if the doctor does not have
21 diagnosis, he should treat anyway. He needs to
22 treat the symptoms until the patient can see a
23 psychiatrist. Ask him if he uses drugs like
24 Haldol or Risperdal and Zyprexa has less side
25 effects than either of them. They're asking you

1 to take this document out of the Jordan
2 deposition.

3 THE COURT: Again, right now that
4 document is in, so the taking out is a different,
5 it's a separate question that I haven't gotten
6 to.

7 MR. ALLEN: Yes, sir, I totally
8 understand your position. Let me show you one
9 thing, and I've never been good with paper, but I
10 want to show you something so the Court
11 understands where I am coming from as we move
12 forward. I apologize. Bear with me one second,
13 Your Honor.

14 Here it is. You remember that last
15 exhibit and that last statement? It is precisely
16 what Ms. Gussack told this jury on opening
17 statement. It says: A lot of bipolar
18 patients -- and that's mood, thought and behavior
19 disorder -- don't see psychiatrists. That's
20 exactly what that doctor said -- I mean, what
21 that document said.

22 A lot of patients with
23 schizophrenia don't see psychiatrists. And that
24 can be for a lot of reasons, not the least of
25 which is in large portions of the country, like

1 Alaska, there is not a psychiatrist on every
2 corner. In fact, a lot of our mental illness is
3 treated by -- is treated by primary-care
4 physicians or nurse practitioners, and we are
5 lucky -- she says, we're lucky, because every
6 time -- that's very important, every time a
7 physician who is trained and educated to identify
8 serious mental illness does and then treats it,
9 people are on the road to reintegrating the
10 quality of their life with what they are capable
11 of. That is why when Lilly received approval in
12 2000 we moved into the PCP market.

13 So, Your Honor, I beg of the Court,
14 and I plead with the Court to go back and look at
15 the depositions of Denise Torres, Michael
16 Bandick, and Mr. Jordan, and see where you have
17 stricken -- or I can identify if the Court would
18 like --

19 THE COURT: No, I've got my
20 rulings.

21 MR. ALLEN: Okay -- and look at it
22 and see whether or not you have stricken claims
23 concerning -- and evidence -- evidence of mood,
24 thought and behavior disorders. I just ask you
25 to do it because mood, thought and behavior

1 disorders, Mr. Brenner and this team and Ms.
2 Gussack to this jury said it's on-label. So this
3 is no longer an issue. It's no longer an issue
4 if I'm trying to get in off-label evidence. It's
5 just an issue of whether I'm getting in evidence
6 of what they said about the risks and what they
7 said about the benefits.

8 Now, let's talk about Alzheimer's
9 and children.

10 I have the document from Dr. John
11 Lechleiter, the head of this company, a CEO of
12 this corporation, Dr. John Lechleiter. He wrote
13 an e-mail back in March of 2003. Let me get this
14 focused.

15 There you go.

16 And he wrote it regarding notes
17 from a day in the field with a neuroscience sales
18 representative. And he says -- not Scott
19 Allen -- I have highlighted -- and this is his
20 e-mail. I have highlighted in bold the inputs
21 that I consider -- this is the CEO of the
22 company -- to be most significant or that came up
23 most often, and would appreciate it if the global
24 and U.S. teams for Zyprexa would follow up as
25 appropriate.

1 And here is what he says. He says,
2 Dr. John Lechleiter, CEO of Eli Lilly says: It
3 appears to me that the fact that we are now
4 talking to child psychiatrists -- I want to go to
5 this, Your Honor, risks versus benefits, you have
6 to look -- in order to determine if they properly
7 warned about the risk --

8 THE COURT: I understand.

9 MR. ALLEN: Okay. Since we're now
10 talking to child psychiatrists and pediatricians,
11 that's short for pediatricians about Strattera,
12 that's a whole other drug that they market, means
13 that we must seize the opportunity to expand our
14 work with Zyprexa in this same child-adolescent
15 population.

16 Your initial ruling in the
17 deposition of Dr. Lechleiter was to allow me to
18 present this evidence.

19 They came to you this weekend,
20 after you had ruled in my favor and asked you to
21 reconsider. And you struck it. You did the
22 right thing the first time, Your Honor.

23 We are entitled -- it may -- it
24 doesn't go to off-label promotion --

25 THE COURT: Sure sounds to me like

1 it does --

2 MR. ALLEN: Dr. John Lechleiter was
3 engaged in off-label promotions?

4 THE COURT: That's another
5 question. That's part of what goes on here.
6 Part of the reason -- I mean, it's an interesting
7 box you're putting the defense in and we'll talk
8 about that in a bit, but when I strike -- most of
9 the questions I strike is because regardless of
10 what positions people may be taking now, a lot of
11 these questions sound like they may -- there's at
12 least enough smoke that there may have been
13 off-label promotion and I'm sure that's why the
14 government is looking into this.

15 MR. ALLEN: Your Honor, you said I
16 put them in a box. I have not put these people
17 in a box -- but here's the point -- the jury's
18 going to be asked to consider this question,
19 though, Your Honor. It's not because Scott Allen
20 put them in a box. Did they give an adequate
21 warning? When ordered to determine if they gave
22 an adequate warning, we have to look at who they
23 knew they were warning. This was not a matter of
24 speculation for them.

25 You struck this question. Denise

1 Torres admits in her deposition that 40 percent
2 of the use of Zyprexa was off-label. That's just
3 a fact. That has nothing to do with promotion.
4 That means they knew, they knew 40 percent was
5 off-label. So when you know it's off-label, you
6 know it's being used in children, you know it's
7 being used in \$500 million worth of business,
8 \$500 million a year in dementia, then you know
9 you have a duty to warn those doctors and you
10 have a duty to not make misrepresentations. It's
11 not because Scott Allen put them in the box.
12 It's because they knew how the product was being
13 used.

14 THE COURT: Let me ask the defense
15 team: If the testimony of Denise Torres is, as
16 Mr. Allen relates it, that 40 percent of the use
17 was off-label, and to the extent that there is a
18 lot of stuff about risk/benefit analysis, don't
19 the -- doesn't that risk/benefit analysis change
20 if the benefits are different than -- if they're
21 not -- in other words, if there's off-label use
22 being done and you've got a witness of your own
23 that's saying there is, don't you look at that
24 risk/benefit analysis differently depending on
25 what use the benefit is for?

1 In other words, if it's for
2 schizophrenia and you've tried two other drugs
3 and this is the one that's -- the miracle pill
4 for these patients, that's a very different risk
5 than common depression, I'll just use as an
6 example, when there's lots of other things that
7 maybe you won't take a drug with -- I think you
8 understand.

9 MR. BRENNER: I do, Your Honor.

10 You could draw an even more dramatic
11 hypothetical. Some doctor gets it in his mind
12 that it's a useful for treating the common cold.

13 THE COURT: Well, I'm not worried
14 so much about some doctor getting it into his
15 mind. I'm worried about Lilly -- what Lilly
16 tells -- that if Lilly is -- if there's off-label
17 use being gone on by Lilly and these questions
18 seem to go to that in my mind, do you have
19 different obligations than with warnings and what
20 your sales force are telling people on that
21 basis?

22 MR. BRENNER: I think there we're
23 back to that's more the off-label claim that's
24 been taken out of the case. I think, Your Honor,
25 we've gotten a little off track on risk/benefit.

1 Risk -- the core issue before the Court now in
 2 Phase 1 is adequacy of the warning. Shanks gives
 3 us the definition of that. Its: The warning
 4 should be sufficient to put the physician on
 5 notice of the nature and extent of any
 6 scientifically knowable risks or dangers inherent
 7 in the use of the drug. Risk/benefit goes to how
 8 the doctor uses or doesn't use the warning and
 9 it's the same as Mr. Allen -- talk about
 10 overemphasis -- that's proximate cause; that's
 11 not adequacy. That's a different -- that's a
 12 different issue for a different day.

13 THE COURT: But your opening
 14 statement -- or Ms. Gussack's opening statement,
 15 I guess, Lilly's opening statement clearly
 16 suggested that this had to be all looked at in
 17 the context of what this pill does for people.
 18 And to the extent that that's going to be the
 19 defense, don't you have to know what people
 20 you're talking about this drug does something
 21 for?

22 MR. BRENNER: I'm not sure I would
 23 characterize it as the defense, Your Honor.

24 I mean, the notion of risk/benefit,
 25 first of all, that was presented to the jury

1 first by Mr. Allen where he said at page 110 in
 2 his opening they wanted to eliminate the risk,
 3 eliminate the issue of diabetes from the
 4 risk/benefit equation. They don't want to warn.
 5 They want to eliminate this risk from the
 6 doctor's mind when he makes the decision to use
 7 this drug.

8 THE COURT: There's lots of
 9 testimony about that already.

10 MR. BRENNER: Sure and as
 11 Your Honor has pointed out to us today and to the
 12 jury, opening argument is not evidence. If
 13 there's evidence that we put in that needs to be
 14 rebutted, that's why you have a rebuttal case.
 15 It doesn't need to come in in the State's case
 16 right now.

17 Risk/benefit, of course, can change
 18 a doctor's mind given what he or she is
 19 prescribing it for what the condition of the
 20 patient is or is not, and how the risks stack up
 21 against those benefits. But, again, Your Honor,
 22 respectfully, that is not under Alaska law part
 23 of how one analyzes the adequacy of the warning.
 24 It is useful or relevant, if at all, in a
 25 proximate cause context. What was the impact of

1 the warning on the doctor and that, of course, is
 2 not for this phase.

3 As to the State's suggestion that,
 4 well, this is all on-label, okay, but proving
 5 on-label use is not part of the State's case.
 6 It's not part of any element of their case. They
 7 have no need to prove that. And so with all
 8 respect, and it's -- it's marvelous advocacy and
 9 lawyering. They're trying to drag back in that
 10 which has been ruled out, which is off-label. We
 11 should be direct and candid. This is a back-door
 12 way to try to get in what the Court says they may
 13 not. The State has no obligation, nor desire,
 14 nor would it be useful to advance their case to
 15 prove to the jury that Lilly engaged in on-label
 16 promotion.

17 THE COURT: To the extent that it's
 18 Lilly's position that they didn't violate the law
 19 and everything was on-label, then don't you have
 20 to know, as the jury, to evaluate whether the
 21 risks were adequately disclosed, the purpose for
 22 which the pill is being used and the populations
 23 that it's being used for?

24 MR. BRENNER: The indicated uses,
 25 that's right, Your Honor. The reality, of

1 course, is this has come up in other proceedings,
 2 we note, yes, off-label prescription occurs.
 3 That's a legal right of doctors. We say we
 4 didn't promote to it, but in all events it's out
 5 of the case. To drag that back in is a very
 6 collateral matter and not germane to the core
 7 issues that I think Your Honor's trying to put
 8 before this jury.

9 MR. ALLEN: Your Honor, can I
 10 answer those charges?

11 First of all, he read you the
 12 warning and then his quote and I wrote it down,
 13 the issue of a warning is how the physician uses
 14 the warning. How he uses the warning. He said
 15 it's relevant. Let me quote to you from Ms. --
 16 and then he says how he uses it is not important.
 17 Here's what they said on opening -- talking about
 18 their expert, Dr. Kahn. So when Dr. Kahn comes
 19 and tells you, how do I think about what to
 20 prescribe for my patient, he has to think about
 21 what are the risks that this patient presents and
 22 the needs of this patient. And what are the
 23 risks of the medications I choose?

24 He's going to explain to you how he
 25 makes that risk/benefit analysis every time he

1 prescribes Zyprexa, and he will tell you that one
2 of the reasons he prescribes Zyprexa is because
3 the benefit will outweigh the risk in a
4 particular patient. They said that's relevant,
5 and it is.

6 And so when we're looking at
7 risk/benefit analysis and the issue of failure to
8 warn, it is not Scott Allen and the State of
9 Alaska that has brought this issue up. It is the
10 defense. And -- and it's not in just a broad
11 sense; it is in regard to each patient every
12 time.

13 And when they know that their
14 product is used for schizophrenia, bipolar mania
15 and almost half -- Your Honor, as I did the math,
16 4.6 million prescriptions of the 23 million that
17 they touted, 4.6 million of the 11 and a half
18 million, which are in the United States, 50
19 percent, 4.6; it is a substantial number, 4.6.
20 It wasn't 100 -- was for purposes other than
21 that.

22 And so when they know that their
23 duty and responsibility to warn and to not
24 only -- and we're not just talking about a
25 common-law cause of action. We're talking about

1 the Alaska Consumer Protection Act that's by very
2 definition is to protect consumers. They know,
3 so they have a duty and responsibility to tell
4 the truth and we say they didn't. They said they
5 did. So they said it, Your Honor, on opening
6 statement. Every time a doctor makes this
7 decision he has to weigh it in balance.

8 THE COURT: I'm prepared to rule.
9 Again, whether this evidence
10 becomes relevant at this point is partially a
11 timing issue, and what other testimony it is.
12 I'm not -- I will -- if you give me the citations
13 to the portion of the Torres deposition that you
14 said I struck where she talks about there being a
15 certain percentage of Zyprexa use for off-label
16 without it going into whether Lilly promoted that
17 or not, I'll reconsider -- I'll take a look at
18 that portion of the testimony again.

19 But as -- as a general rule, I am
20 adhering to my private -- my prior rulings in
21 keeping that testimony out at least right now
22 from being played at this point in the
23 deposition. But I want to make very clear,
24 depending on the testimony of the Lilly
25 witnesses, particularly the witnesses from the

1 company, but possibly other witnesses, this all
2 may be proper grounds for cross-examination, and
3 if I think that there's arguments being made in
4 terms that that would lead me to think the door's
5 open, this may all come in on rebuttal.

6 I'm not foreclosing that at all,
7 which is whether you're putting them in the box
8 or I'm putting them in the box, there's a very
9 difficult line I think I'm giving you in terms of
10 what to bring up with your witnesses and whether
11 they're allowed to cross-examine or put on
12 rebuttal testimony. And I may be giving you a
13 line that's going to be very difficult to adhere
14 about, but that's right now the line I'm giving
15 you.

16 I just am not inclined at this
17 point -- I still feel that most of these
18 questions really go to establishing off-label use
19 despite the different -- the positions that
20 people may be taking at this point. That's how I
21 read the questions, and I think it interjects
22 that whole issue into the case, and at least at
23 this point, I'm not going to interject that issue
24 into this case on the warnings thing.

25 On the other hand, to the extent --

1 which I certainly didn't appreciate when I read
2 the Torres deposition at first -- there was
3 off-label use that would have nothing to do with
4 Lilly promoting an off-label use. Just
5 establishing that there is that use, I think
6 that's -- I'll look at the questions again if you
7 give me citations.

8 MR. ALLEN: I understand, and of
9 course, we agree -- we disagree. We'll abide by
10 the Court's ruling. Let me ask this: But I take
11 it by your comments that you then -- they have
12 already said what they said about mood, thought
13 and behavior. You had already made your rulings
14 on Jordan, and you stand by the rulings on
15 Jordan --

16 THE COURT: Right. I tell you --
17 sometimes I reveal these things and wish I
18 hadn't, or suggest things. When I read the
19 Jordan deposition, it was kind of like, there
20 were a few things in and I struck a lot of the
21 deposition, and I was thinking why don't you wade
22 through the whole deposition. That's your case,
23 not mine. That certainly came to my mind.

24 MR. ALLEN: We're going to call
25 Mr. Jordan as our first witness this morning by

1 videotape.

2 MR. LEHNER: We have an offer of
3 proof yesterday. And I think we still had some
4 reconsideration with respect to Mr. Jordan. We
5 were told that we were going to hear first from
6 Dr. Beasley; we were told to concentrate on that.
7 Mr. Jordan was not on the list. There are some
8 matters with respect to Mr. Jordan in front of
9 you, if you could rule on those.

10 THE COURT: I'll have to take a
11 look at this.

12 MR. ALLEN: We'd ask you to do it
13 here on the break, Your Honor, because it's back
14 on this point he just did it. And he's not
15 telling -- I have an e-mail which I've saved. I
16 e-mailed him yesterday when I got back to the
17 hotel and said we're going to play Jordan today.
18 And he knows it.

19 MR. LEHNER: I said that we had
20 some objections with respect to that. I e-mailed
21 him back with respect to that.

22 MR. ALLEN: In a trial there can be
23 objections but if they're overruled, I'm entitled
24 to proceed.

25 THE COURT: I ruled on the on

1 Jordan other than this reconsideration. I'll
2 look at the reconsideration thing before we bring
3 the jury in.

4 MR. ALLEN: Thank you.

5 THE COURT: And we'll know what
6 we're doing with reconsideration on Jordan.

7 MR. ALLEN: Thank you, Your Honor.

8 MR. LEHNER: Your Honor, while the
9 jury's out and maybe you want to do it now or at
10 the break, I think we really do need to talk
11 about some of the order of proof going down the
12 week so we can make some plans. We haven't been
13 able to get a lot of clarity about that.

14 Now we know Mr. Hopson is
15 apparently coming tomorrow. I really would like
16 to know whether or not we're going to be required
17 to put a witness on by the end of the week. If
18 they're going to finish their case by the end of
19 the week --

20 MR. FIBICH: The answer is no, they
21 do not have to have a witness here this week.

22 THE COURT: Okay.

23 MR. ALLEN: The order of proof, 24
24 hours' notice, I am calling Dr. Hopson tomorrow.

25 MR. FIBICH: Your Honor, one other

1 matter if I may, while we're outside the
2 presence. Yesterday at the conclusion of
3 Dr. Gueriguan's testimony there was a question
4 about labels. We have had notebooks put
5 together, 12 of them with the labels by year.

6 THE COURT: PDR?

7 MR. FIBICH: PDR.

8 THE COURT: Have the defense had an
9 opportunity to review this?

10 MS. GUSSACK: No, Your Honor, and
11 we would object because the PDR is not the
12 official label, the package insert presented by
13 the company is.

14 THE COURT: I understand that, but
15 there was a question from the juror yesterday and
16 I suggested that maybe we can give everybody all
17 and everybody nodded no problem.

18 MS. GUSSACK: Your Honor, I think
19 that what we -- I recall the discussion of the
20 PDR. I think that what was clear there were
21 questions about what the publication date that
22 would have incorporated or not incorporated the
23 label and there are supplements.

24 THE COURT: One of the jurors
25 wanted a PDR label actually read.

1 MR. FIBICH: Your Honor, why don't
2 we go ahead with the jury.

3 MR. ALLEN: Go make your rulings
4 and reconsider because we need to play
5 Mr. Jordan.

6 THE COURT: I'll go look at Jordan
7 and make rulings on that. Let me ask before I go
8 on break: I got objections to somebody this
9 morning.

10 MR. LEHNER: Breier --

11 THE COURT: Was the Breier -- when
12 are you likely to use Breier? I'm not sure I
13 would get Breier tonight.

14 MR. ALLEN: Breier will be one of
15 our last witnesses.

16 THE COURT: I've got a little time
17 with Breier?

18 MR. ALLEN: You have a little time.
19 I also have cuts for Toleffson --

20 MR. ALLEN: Toleffson --

21 THE COURT: Two T's. Two T's.
22 There was a list of five cuts when you gave me
23 your list. You give me six on Sunday, your
24 handwritten list. You gave me six people and one
25 of the T's was missing. Maybe it was Toleff- --

1 I'll take a look. That witness seems to have
2 gotten a little lost.

3 MR. ALLEN: I think this -- this
4 may be the case -- I'll have to check. It may be
5 Sidney Taurel who was a former CEO. I don't
6 think we're going to play him. I think we're
7 not. And so if that's the issue, I will check
8 it --

9 THE COURT: I think that is -- I
10 just noticed that -- as of this weekend. I had
11 seven of these cuts and you had only listed six
12 people, I think on your list, and that was where
13 my question is.

14 MR. ALLEN: All right, Your Honor,
15 I believe that's the case. I'll tell you if I'm
16 wrong.

17 THE COURT: I'll go read the Jordan
18 thing and then we'll get the jury in. I'll give
19 you my ruling and then we'll get the jury in.

20 MR. FIBICH: How long do we have,
21 Your Honor, ten minutes?

22 THE COURT: Yeah, I think so.

23 MR. ALLEN: Thank you. Thank you,
24 Your Honor.

25 THE CLERK: Please rise, Superior

1 Court now stands in recess.

2 Off record.

3 (Off record.)

4 THE COURT: Please be seated.

5 I've reviewed Eli Lilly and
6 Company's motion for reconsideration of rulings
7 on objections to affirmative deposition
8 designations of Jack Jordan, and I'm overruling
9 all of the objections. I'm sticking with my
10 original rulings on the subject.

11 We'll give the jury a few-minute
12 heads up. And then is today all TV day?

13 MR. ALLEN: It's all movie day.

14 THE COURT: The jury has asked if
15 they're getting out at 1:30. And I've got a
16 1:45; so, I'm telling them yes. Plus, as I
17 recall, Ms. Mitchell has an appointment that I
18 want to be sure she keeps.

19 MR. ALLEN: No problem.

20 THE COURT: We'll be off record.

21 THE CLERK: Off record.

22 (Break.)

23 (Jury in.)

24 (Bench conference.)

25 MR. BRENNER: We decided to play

1 our counterdesignations in our own case, at least
2 with respect to Jordan. Beasley, we'll play
3 Beasley in our own case as well. I don't know
4 how you want to explain the process.

5 THE COURT: I was going to give
6 this instruction, which is kind of the normal
7 instruction I give on videotaped depositions.

8 MR. ALLEN: You can explain what
9 Mr. Lehner wants you to explain. That's
10 perfectly fine.

11 THE COURT: Okay. I'll explain
12 then.

13 (End bench conference.)

14 THE COURT: Good morning, ladies
15 and gentlemen of the jury and the record should
16 reflect that we are back on the record and all
17 members of the jury are present. We're going to
18 begin our presentation today. I got a message
19 that some of you inquired whether you were going
20 to get out of here at 1:30, and the answer is
21 yes.

22 Today is going to be a day where
23 you're going to -- all the testimony today is
24 going to be coming through videotaped deposition
25 testimony. There aren't going to be any live

1 witnesses. The deposition testimony -- when a
2 deposition is taken -- and a deposition is just
3 an interrogation of a witness under oath just
4 like you see in the courtroom.

5 And when a deposition is taken, the
6 witness takes an oath that is identical in
7 purpose to the oath given to the witnesses to
8 testified before you in the courtroom. All
9 parties are given an opportunity to ask questions
10 of a witness during a deposition. You should
11 weigh the testimony of the witness whose
12 testimony was videotaped in the same way that you
13 weigh any other testimony. However, you may
14 consider that the witness did not actually
15 testify in your presence. It is for you to
16 decide whether this is significant in light of
17 the fact that the witness could be seen and heard
18 on the videotape.

19 And the way the process works in
20 this case is the Plaintiffs can choose which
21 portion of the videotaped deposition they want to
22 show you. Once they're done, the Defendants will
23 have an opportunity, if they wish to, to present,
24 as you would, cross-examination, their own
25 testimony that they want to present. But the

1 Defendants can also decide that they may want to
2 wait to do that until they start putting on their
3 case in chief after the Plaintiff rests in their
4 case.

5 So my understanding at least for
6 the first witness is that you're going to see
7 that the Defendants have decided that rather than
8 put on cross-examination, they're going to wait
9 and may show you portions of that witness'
10 testimony when they put on their case, and that's
11 entirely up to them as to whether or not they
12 want to do so.

13 So at this time we're going to
14 start with our deposition testimony. And why
15 don't you identify the witness for the jury, Mr.
16 Allen.

17 MR. ALLEN: Yes. We are going to
18 call as an adverse witness, Mr. Jack Jordan, U.S.
19 Marketing Director for Zyprexa for Eli Lilly
20 taken on October 6th, 2006. We offer
21 Mr. Jordan's testimony.

22 VIDEOTAPED TESTIMONY OF JACK JORDAN

23 Q. Good morning.

24 A. Good morning.

25 Q. How are you this morning?

1 A. I'm fine.

2 Q. Can you tell the jury your name, please?

3 A. My name is Jack E. Jordan.

4 Q. You have an MBA?

5 A. It's called a Master's of Science and
6 Management; it's an MBA equivalent.

7 Q. Right. And after you completed your --
8 the equivalent of a master's in business at
9 Purdue University in 1988, what did you do next?

10 A. I went to work for Eli Lilly and
11 Company.

12 Q. And you worked for Eli Lilly from 1988
13 until when, sir?

14 A. Until April of 2004.

15 Q. You were the brand leader for the drug
16 Zyprexa for Eli Lilly from when to when?

17 A. From May of 1998 until about August of
18 2003.

19 Q. We will, of course, explore it in some
20 detail.

21 Can you tell us, as an executive,
22 as a brand leader for Zyprexa from May of 1988 to
23 August of 2003, can you tell this jury in
24 laymen's terms what it means to be a brand leader
25 in that position?

1 A. It's -- it's really two areas of
2 responsibility. One was to be responsible for
3 the marketing strategy for the U.S., and the
4 second area was to make sure there was alignment
5 across the organization around that strategy.

6 Q. You were Mr. Bandick's superior, were
7 you not?

8 A. When he was the Zyprexa brand manager
9 for the year and a half he did report to me, yes.

10 Q. Now, you -- during this time period from
11 1998 to 2003, where -- where were you physically
12 located in your job? Here in Indianapolis?

13 A. I was, yes.

14 Q. From 1998 to 2003, you told us your
15 title regarding Zyprexa, were you responsible for
16 the marketing or brand leadership of any other
17 Lilly products during that time period?

18 A. I -- during that time period, I did have
19 responsibility for a period of time for the
20 Symbyax -- the Symbyax marketing team.

21 Q. Symbyax, for the jury -- tell the jury
22 what that product was.

23 A. Symbyax was and is a combination of
24 Zyprexa, olanzapine and Prozac, fluoxetine.

25 Q. At its height, at its height, during the

1 time you were brand leader and marketing director
2 for Zyprexa in the United States, how many sales
3 representatives were involved in the promotion
4 and representation of Zyprexa?

5 A. Approximately a couple thousand sales
6 reps.

7 Q. And the sales division -- was there
8 different sales forces in Eli Lilly?

9 A. Yes.

10 Q. Sigma sales force?

11 A. Yes.

12 Q. The -- of course we know, and you agree,
13 you tell the jury the sigma sales force promoted
14 Zyprexa, correct?

15 A. They were the launch sales, primary
16 care, yes.

17 Q. Then you have a neuroscience sales
18 force; is that correct?

19 A. Yes.

20 Q. And that's a separate sales force from
21 the sigma sales force, is it not?

22 A. Yes.

23 Q. And, then, the neuroscience force was --
24 had job responsibilities for promotion and using
25 of Zyprexa, did they not?

1 A. That was part of their responsibility,
 2 yes.
 3 Q. Then you had a long-term care sales
 4 force, did you not?
 5 A. Yes.
 6 Q. And that was a separate sales force from
 7 the sigma sales force and the neuroscience force;
 8 is that correct?
 9 A. Yes.
 10 Q. Can you testify whether or not, in your
 11 opinion, as the marketing director and brand
 12 leader for Zyprexa as to whether or not Zyprexa
 13 was the single most important product for Eli
 14 Lilly from at least the fall of 2000 until the
 15 time you left in 2003?
 16 A. Our CO had highlighted, I believe it was
 17 four or five products that were going to be the
 18 priority during those years.
 19 Q. Did any product take a priority over
 20 Zyprexa?
 21 A. Not that I know of.
 22 Q. Zyprexa was the biggest profit-maker for
 23 Eli Lilly from at least the fall of 2000 until
 24 the time you left; is that correct?
 25 A. Yes.

1 Q. Let me -- before I do that, let me ask
 2 this: The on-label indication of schizophrenia
 3 is a diagnosis, is it not? Schizophrenia is a
 4 diagnosis?
 5 A. It is, yes.
 6 Q. Bipolar mania is a diagnosis, is it not?
 7 A. Yes, it is, yes.
 8 Q. Just so the record is clear, Zyprexa was
 9 never indicated for bipolar disorder, was it,
 10 sir?
 11 A. No, it wasn't, no.
 12 Q. Sir, I'm going to hand you what's been
 13 marked as Exhibit No. 5. This e-mail concerned a
 14 conference call of December the 9th, 2000, did it
 15 not? Hi, Crew, wanted to give you a summary of
 16 the Zyprexa conference call that was held today,
 17 right?
 18 A. Yes.
 19 Q. Now, in this question-and-answer
 20 document, it says what if the doctor says -- this
 21 is question No. 8 following question No. 7 --
 22 what if the doctor says, I don't see those types
 23 of patients. You see that question?
 24 A. I do.
 25 Q. The document says, the doctor's thinking

1 that he does not see schizophrenic or bipolar
 2 patients. Continue with reading the document,
 3 please, sir?
 4 A. But he probably does see patients with
 5 symptoms of behavior, mood and thought
 6 disturbances.
 7 Q. Or thought disorders -- disturbances,
 8 right?
 9 A. Yes.
 10 Q. Okay. So is there a difference between
 11 schizophrenic and bipolar patients and patients
 12 with behavior, mood or thought disturbances?
 13 A. There might or there might not be.
 14 Q. Okay. Continue reading the answer to
 15 the question, what if the doctor says, I don't
 16 see those types of patients?
 17 A. Need to focus on symptoms and patient
 18 types of Martha, David and Christine even if the
 19 doctor does not have a diagnosis, he should treat
 20 anyway. He needs to treat the symptoms until the
 21 patient can see a psychiatrist. Ask him if he
 22 uses Haldol, Risperdal and Zyprexa has less side
 23 effects than any of them.
 24 Q. Sir, do you recognize Exhibit No. 8 as
 25 coming from your files?

1 A. I don't know if it did or didn't. My
 2 handwriting is there, so.
 3 Q. Your handwriting is there at the bottom,
 4 correct?
 5 A. Yes.
 6 Q. Sir, do you remember the primary-care
 7 physician launch in October of 2000?
 8 A. I do.
 9 Q. Were you intimately involved in that
 10 launch?
 11 A. The person that reported to me, Mike
 12 Bandick, was responsible for the launch, yes.
 13 VENIREPERSON: Your Honor.
 14 THE COURT: Hold on a second. I
 15 cannot see --
 16 VENIREPERSON: The text thing is
 17 out of focus.
 18 MR. ALLEN: We're not going to put
 19 it up anymore because you can't get it focused
 20 and we're going to have the documents to publish
 21 when the examination's over.
 22 THE COURT: Thank you.
 23 VIDEOTAPED TESTIMONY CONTINUES
 24 Q. You had to approve his work, right?
 25 A. Yeah, I had a good feel on what was

1 going on, yes.
 2 Q. You not only had a good feel, you
 3 appeared at the launch itself and spoke to the
 4 audience in Orlando, Florida; correct?
 5 A. I did.
 6 Q. This was a -- by the time of the launch
 7 of Zyprexa, year X was upon you, correct, by that
 8 time?
 9 A. It was.
 10 Q. You had lost your patent on Prozac?
 11 A. We had, yes.
 12 Q. You were anticipating generic
 13 competition, correct?
 14 A. We were.
 15 Q. You knew you would have decreased
 16 revenues in Prozac, right?
 17 A. We did.
 18 Q. Prozac was your No. 1 selling
 19 multi-billion blockbuster as of that time, right?
 20 A. It was.
 21 Q. Isn't it true your entire company was
 22 geared up around the Viva Zyprexa primary-care
 23 physician launch? Wasn't it true, sir?
 24 A. It was an opportunity that we certainly
 25 were excited about helping that patient group and

1 increase revenues, yes.
 2 Q. Okay, sir. Anyhow, the position that --
 3 tell the jury again, if you haven't already, can
 4 you explain to the jury what a position is in
 5 regard to a medical product such as Zyprexa, what
 6 a position is?
 7 A. A position is ultimately how you want
 8 your customers to think about your product.
 9 Q. Right. And the position listed in this
 10 document is the safe, proven solution for mood,
 11 thought and behavior disorders; is that correct?
 12 A. That's how Mike wrote it in this
 13 document, yes.
 14 Q. The very next sentence says -- begins:
 15 We will emphasize safety to address the barriers
 16 to adoption.
 17 Did I read that correctly?
 18 A. You did.
 19 Q. And when you say, we'll emphasize
 20 safety, that means we in positioning the product
 21 for our customers, including the doctors, will
 22 emphasize to them that this product is safe,
 23 right?
 24 A. As written in this document, yes.
 25 Q. Then, going down under position it says,

1 quote, mental disorders, closed quotes, is
 2 intentionally broad and vague, providing latitude
 3 to frame the discussion around symptoms and
 4 behaviors rather than specific indications.
 5 Did I read that correctly?
 6 A. You did.
 7 Q. Now, after the launch of Zyprexa into
 8 the primary-care market, you didn't -- you in the
 9 marketing as the brand leader didn't just leave
 10 things to chance, you wanted to see if the proper
 11 message was getting out to the doctors, didn't
 12 you?
 13 A. We did do message recall, yes.
 14 Q. And you wanted to see whether or not
 15 your campaign had been successful and doctors
 16 were responding to your message; isn't that true?
 17 A. Almost all our segments we did do
 18 message recall, yes.
 19 Q. Who is Zohar Porat?
 20 A. She was a market research associate.
 21 Q. Sir, I'll hand you what's been marked as
 22 Jordan Exhibit No. 13.
 23 This is entitled, Qualitative
 24 Telephone Focus Groups, Sales Rep and DM. DM
 25 stands for district managers, doesn't it, sir?

1 A. It does, yes.
 2 Q. Sales Rep and District Manager Topline
 3 Reaction to PCP Launch, December 2000, Zohar
 4 Porat, Lilly, Answers That Matter; is that
 5 correct?
 6 A. Yes.
 7 Q. Of the Sales Rep and District Manager
 8 Topline Reaction to the Primary Care Physician
 9 Launch, can you read for the jury out loud, the
 10 first bullet point under recommendations?
 11 A. Now, I'm going to assume this was a
 12 summary given, you haven't given it to me, of the
 13 first part of the detail where they talk about
 14 the symptoms and then go on to the diagnosis as
 15 part of the message, which is what I saw trained.
 16 So in that context continue focusing on patient
 17 symptomology and having PCPs identify specific
 18 patients rather than on patient diagnosis.
 19 Q. Let's see if I could read this a little
 20 slower for the jury. The first bullet point
 21 under Recommendations on the last page of Exhibit
 22 13 reads as follows: Continue focusing on
 23 patient symptomatology and having primary-care
 24 physicians identify specific patients rather than
 25 on patient diagnoses.

1 Did I read that correctly?
 2 A. Your reading is correct, but I don't
 3 know -- I don't know that it's represented
 4 correctly without seeing everything.
 5 Q. Donna -- you remember Donna, do you not,
 6 sir?
 7 A. I do, yes.
 8 Q. Now, sir, let's go to Exhibit 15. Are
 9 you there at Donna?
 10 A. On page 4, yes.
 11 Q. Yes, sir. We have a circle next to
 12 Donna that says: Anxiety, irritability, mood
 13 swings and disruptive sleep, right?
 14 A. Yes, those are what symptoms --
 15 Q. Now we go to the page on Donna. It
 16 says, Donna, single mom in her 30s, presents in
 17 drab clothing and seems ill at ease, quote, I
 18 feel so anxious and irritable lately, closed
 19 quotes. Her history is reports she has been
 20 sleeping more than usual, has trouble
 21 concentrating at work and at home. Several
 22 appointments earlier she was talkative, elated
 23 and reported little need for sleep. Next bullet
 24 point: You had treated her with various
 25 medications including antidepressants.

1 Did I read that correctly?
 2 A. You did.
 3 Q. Are you at the page Zyprexa Primary Care
 4 Vision and Strategy?
 5 A. I am, 71?
 6 Q. Yes. In the vision for the PCP launch
 7 was Expand Zyprexa's market by redefining how
 8 primary-care physicians treat mood, thought and
 9 behavioral disturbances.
 10 Did I read that correctly?
 11 A. You did.
 12 Q. And in fact, the Zyprexa, page 72,
 13 Strategic Intent says, Zyprexa can and will
 14 become an everyday agent in primary care,
 15 correct?
 16 A. Given that antidepressants are one of
 17 the most frequently used products by primary care
 18 physicians, and if you think about potentially up
 19 to a third actually have bipolar disorder, there
 20 was the opportunity that doctors would write it
 21 every day. Primary care physicians would write
 22 it every day, yes.
 23 Q. You're familiar with the 2001 marketing
 24 plan, aren't you?
 25 A. I am, yes.

1 Q. Okay. You signed the letter attached to
 2 the 2001 marketing plan, did you not?
 3 A. I did, yes.
 4 Q. I will read your letter, portions into
 5 the record. Turn to the first, your letter, Dear
 6 Zyprexa Teammates, Last year you often heard me
 7 say 2000 is the critical year. Now that 2000 is
 8 complete, we can be proud that we delivered
 9 outstanding results in the critical year, all
 10 caps, the, exclamation points. We had many
 11 successes, not the least of which that we
 12 fulfilled our promise of selling \$1.7 billion of
 13 Zyprexa, we launched into new markets, launched a
 14 new indication, launched new formulations,
 15 forged new relationships with a broader range of
 16 customers, improved our internal alignment and
 17 reestablished the Zyprexa team as truly
 18 incredible. Thanks for the outstanding
 19 performance in 2000, exclamation point. The
 20 "blank" patent expiration; that would be the
 21 Prozac patent expiration, wouldn't it?
 22 A. I'm assuming.
 23 Q. The Prozac patent expiration presents
 24 Lilly with even greater challenges than
 25 anticipated and provides new opportunities for

1 the Zyprexa team. Oddly enough 2000 may now be
 2 all caps, the critical year. 2001 is different.
 3 It's not just a critical -- it's a chance to do
 4 the extraordinary. Yes, we face challenges, we
 5 have to deliver over \$400 million of incremental
 6 net sales in the same that Zeldox is launching
 7 and our current competitors will continue to
 8 challenge us.
 9 Did I read that correctly?
 10 A. You did, yes.
 11 Q. The title of the -- or the theme of the
 12 Zyprexa marketing plan was Limitless; isn't that
 13 true? Limitless?
 14 A. It was, yes.
 15 Q. That's why you positioned your marketing
 16 plan for the year 2001?
 17 A. It was -- the position for what I would
 18 hope that people would have a year of top-level
 19 performance.
 20 Q. The high-level position of the position
 21 on diabetes is as follows: I'm reading, quote,
 22 Diabetes may occur in patients taking
 23 antipsychotics and/or mood stabilizers. Zyprexa
 24 and other agents have a comparable rate of
 25 diabetes.

1 Did I read that correctly?
 2 A. You did, yes.
 3 Q. I've handed you Exhibit 22. It's Issues
 4 Management Planning, Diabetes. You've seen this
 5 document before, have you not, sir?
 6 A. I don't know.
 7 Q. Do you see on the second page, Diabetes
 8 Our Position? Second page, Diabetes Our
 9 Position?
 10 A. Yes.
 11 Q. And doesn't it say just like the 2001
 12 marketing plan: Our position is stated as
 13 diabetes slash hyperglycemia may occur in
 14 patients taking antipsychotics and/or mood
 15 stabilizers including Zyprexa at comparable rates
 16 with a possible exception of clozapine.
 17 Doesn't it say that?
 18 A. It does.
 19 Q. And isn't that consistent with the
 20 stated position on diabetes as contained in the
 21 2001 marketing plan?
 22 A. Yes.
 23 Q. And the rationale for the position as
 24 stated in Exhibit 22 is: Showing that diabetes
 25 is a common occurrence for all antipsychotics and

1 not just Zyprexa will help reduce the perception
 2 that diabetes is linked to specifically to
 3 Zyprexa and, in turn, will help to eliminate this
 4 risk from the risk/benefit equation.
 5 Isn't that what it says?
 6 A. It does say that, yes.
 7 Q. Yes. And so wasn't Eli Lilly trying to
 8 reduce the perception that diabetes is
 9 specifically linked to Zyprexa?
 10 A. Again, as -- as our medical folks did
 11 extensive analysis, we saw diabetes as an issue
 12 in this patient population because of its
 13 incidence and because they reviewed the data is
 14 that it was comparable across products. The
 15 concern was if the confusion in the marketplace
 16 made choosing a product just on one specific
 17 attribute and not see the entire -- all the data
 18 for all the molecules, we were concerned that
 19 physicians might make an inappropriate choice for
 20 that specific patient.
 21 Q. What is Project BAD? Do you remember
 22 Project BAD?
 23 A. I do, yes.
 24 Q. Okay, sir. Exhibit 25 is Project BAD,
 25 August the 2nd, 2002. Defining Success. Do you

1 see that, sir, Defining Success, the second
 2 category?
 3 A. Yes, I do.
 4 Q. The third bullet point under Defining
 5 Success on Project BAD, can you read that out
 6 loud for the jury, please?
 7 A. It says, Reduce negative impact of
 8 diabetes issue on the Zyprexa business.
 9 Q. Yes, sir. Now, did you or did you not
 10 in marketing try to reduce the negative impact
 11 the issue of diabetes was having on the Zyprexa
 12 business?
 13 A. Insofar as customers were -- there was a
 14 lot of confusion in the marketplace, and we felt
 15 like if we could clear up that confusion through
 16 good data, we thought it would have a positive
 17 impact on the business, yes.
 18 Q. What was the confusion?
 19 A. A lot of -- I shouldn't say -- we were
 20 hearing from the marketplace through market
 21 research that they were hearing that Zyprexa was
 22 causing diabetes, even went so far as some
 23 customers saying they heard that Zyprexa was
 24 going to be pulled from the market because of the
 25 diabetes issue.

1 MR. ALLEN: Your Honor, that
 2 concludes our offer of Mr. Jordan, and we'd ask
 3 the Court to allow publication to the jury. I
 4 believe these documents have previously been
 5 admitted, but Plaintiff's Exhibit 5073.
 6 MR. LEHNER: Your Honor, can we
 7 just take a quick look --
 8 THE COURT: Yeah, why don't you
 9 show him the whole pile of exhibits and make sure
 10 they're the ones we've previously made rulings
 11 on.
 12 MR. LEHNER: Thank you, Your Honor.
 13 Those are all preadmitted.
 14 THE COURT: Subject to Lilly's
 15 previous objections, those documents may all be
 16 published to the jury.
 17 MR. ALLEN: Your Honor, I publish
 18 to the jury, Plaintiff's Exhibit 5073;
 19 Plaintiff's Exhibit 8479, the Primary Care
 20 Strategy and Implementation Overview; Plaintiff's
 21 Exhibit 5846, Zyprexa Launch Meeting, Viva
 22 Zyprexa; Plaintiff's Exhibit 8632, December 2000
 23 Zohar Porat Qualitative Telephone Focus Groups;
 24 Plaintiff's Exhibit 284, the Zyprexa Novel
 25 Psychotropic Detail Piece For Physicians;

1 Plaintiff's Exhibit 1301, the 2001 Zyprexa U.S.
2 Marketing Plan; and Plaintiff's Exhibit 9739
3 concerning Project BAD.

4 Thank you, Your Honor. That
5 concludes the offer of Mr. Jordan.

6 THE COURT: And as I understand it,
7 Lilly will reserve any further examination by
8 videotape of Mr. Jordan for later on in the case?

9 MR. LEHNER: That's right,
10 Your Honor. Thank you.

11 MR. ALLEN: Your Honor, can we
12 approach?

13 (Bench conference.)

14 MR. ALLEN: I can do it now or
15 outside the presence. I want to make a formal
16 offer of the rejected portions of my Jordan
17 testimony so I can preserve my record for appeal.
18 Do you want me to do it off the record?

19 THE COURT: Off the record -- or
20 outside the presence of the jury.

21 MR. LEHNER: We're going to move in
22 light of what you saw in the first half of that
23 testimony, to move to strike the first 12 pages.
24 I think you saw what the back door approach when
25 you saw that. I'd like to preserve the record.

1 THE COURT: I'll give everybody a
2 chance to make their record.

3 (End bench discussion.)

4 MR. ALLEN: Thank you, Your Honor.
5 Are we ready for the next?

6 THE COURT: Mr. Suggs.

7 MR. SUGGS: Your Honor, the State
8 of Alaska next calls Dr. Charles Beasley whose
9 deposition was taken on July 26th, 2006. At the
10 time of the deposition he was the chief
11 scientific officer for global product safety.

12 VIDEOTAPE TESTIMONY OF DR. CHARLES BEASLEY

13 Q. Good morning, Dr. Beasley. Would you
14 state your full name for the record, please?

15 A. Yes. My name is Charles M. Beasley, Jr.

16 Q. And how old are you, sir?

17 A. I am 56.

18 Q. And are you currently employed by Eli
19 Lilly?

20 A. Yes, I am.

21 Q. And what's your current job title?

22 A. My current job title is distinguished
23 Lilly scholar and chief scientific officer for
24 global product safety.

25 Q. And you received your medical degree in

1 1983 from the University of Kentucky College of
2 Medicine; is that correct?

3 A. That's correct.

4 Q. And then you did a three-year residency
5 in psychiatry at the University of Cincinnati in
6 Ohio between 1984 and 1987; is that correct?

7 A. That would be correct. I completed the
8 residency in June of 1987.

9 Q. Okay. And I believe you became board
10 certified in psychiatry in 1988; is that correct?

11 A. That would have been correct. It's a
12 two-step process, and I believe that I completed
13 the second part -- I believe it was October of
14 1988.

15 Q. Okay. And you joined Eli Lilly as an
16 associate research physician in July of 1987; is
17 that correct?

18 A. That's correct.

19 Q. Were you ever in private practice in
20 psychiatry after you completed your residency and
21 before joining Eli Lilly?

22 A. No, I was not. I came directly from --
23 to Lilly from my residency.

24 Q. Okay. I'm going to hand you what has
25 been previously marked Plaintiff's Exhibit

1 1349 -- by the way, for the record, this appears
2 to be a PowerPoint presentation. It's 24 pages.
3 The first page has a heading Human Metabolism,
4 and I'd like to direct your attention to page 5,
5 if you would. And there there's a heading
6 entitled Development Milestones.

7 Do you see that page?

8 A. Yes, I do.

9 Q. Okay. And it indicates there that the
10 molecule olanzapine, which was later marketed
11 under the trade name Zyprexa, was first
12 synthesized in April of 1982; does that square
13 with your understanding?

14 A. That would be my understanding.

15 Q. Okay. And, in this case the first
16 double-blind placebo-controlled dose was given in
17 November of 1991; is that correct?

18 A. That's correct.

19 Q. And I believe you said you started
20 working with Zyprexa in 1991. Were you involved
21 in that very first clinical testing?

22 A. Yes, I was. Although I did not design
23 those -- those clinical trials, I took over
24 responsibility for the supervision of the
25 molecule as those trials were beginning.

1 Q. Okay. And then the document indicates
2 that the completion of core studies occurred in
3 February of 1995. And can you describe for us
4 what is meant by the term "core studies"?

5 A. Yes. These would have been the studies
6 that would have been included in both the New
7 Drug Application, the NDA, in the United States
8 as well as the regulatory submissions in other
9 countries.

10 Q. And am I correct that the largest of the
11 core studies that was done was a study that was
12 referred to as HGAJ?

13 A. That was the largest.

14 Q. Okay. And it had approximately how many
15 subjects?

16 A. It had 1,996 subjects.

17 Q. Okay. And was it the largest, by far,
18 of the various clinical studies that were done in
19 connection with the drug?

20 A. It was.

21 Q. You've described various testing that
22 was done on Zyprexa before it was -- went on the
23 market. That testing was done by Eli Lilly,
24 correct?

25 A. I would characterize it as being done by

1 the -- by the investigators. It was designed and
2 administered by Lilly.

3 Q. Now, I understand, sir.

4 A. The FDA didn't actually do the studies
5 or contract to have them done.

6 Q. Am I correct that Lilly employed an
7 outside advisory panel with respect to Zyprexa?

8 A. There was -- there was -- there was both
9 an international and a U.S. advisory panel for
10 the molecule during its development that I'm
11 familiar with.

12 Q. And back at that time, in December,
13 1995, were you reporting directly to
14 Dr. Toleffson, then?

15 A. Yes, I was.

16 Q. And about the middle of that paragraph,
17 four lines from the bottom, it states: For all
18 patients treated with olanzapine for any amount
19 of time, 40 percent gained greater than or equal
20 to 7 percent body weight.

21 Do you see that language?

22 A. Let me just -- yes, I do.

23 Q. And it's generally accepted that an
24 increase in weight of 7 percent or more is
25 clinically significant, correct?

1 A. This has been a criteria established
2 with the FDA for which the term is used
3 potentially clinically significant.

4 Q. And that paragraph goes on that patients
5 who remained on olanzapine for 12 months gained
6 an average of 24 pounds at the end of 12 months,
7 correct?

8 A. That's correct.

9 Q. Okay. By the way, if 40 percent of the
10 people who took the drug for any period of time
11 had more than -- had equal to or more than 7
12 percent body weight, that means that 40 percent
13 of the people who took the drug for any length of
14 time had potentially clinically significant
15 weight gain, correct?

16 A. That's correct.

17 Q. Okay. And then there's a paragraph
18 below that that's in italics which states, quote,
19 Several advisers commented on the association of
20 olanzapine with weight gain and encouraged Lilly
21 to subject the data to a full analysis.
22 Clinically significant weight gain is a risk
23 factor for other conditions such as increased
24 blood pressure, increased cholesterol and type 2
25 diabetes. The advisers also noted that Lilly has

1 an opportunity to develop strategies to help
2 manage the weight gains.

3 Do you see that language?

4 A. Yes, I do.

5 Q. But if you step away from the
6 individuals and look at the population, it's a
7 virtual certainty that if you increase the risk
8 of an adverse reaction that some people within
9 that group will, in fact, contract the adverse
10 reaction as a result of using the drug, correct?

11 A. That is certainly the -- the theory that
12 would be intuitive and logical. What I am
13 pointing out is that one would then need, as I
14 think these advisers were doing, suggesting,
15 scrutinizing our data and looking for whether or
16 not those phenomena were observed.

17 Q. Your labeling also did not specifically
18 inform physicians that patients who remained on
19 olanzapine for 12 months gained an average of 24
20 pounds at the end of those 12 months, correct?

21 A. No, it did not.

22 Q. Okay. On page 8 at the bottom
23 there's -- the last paragraph, there's a heading
24 that says, Laboratory Anolytes?

25 A. Yes.

1 Q. And what does that phrase mean?

2 A. This would refer to all of those things
3 that are measured in blood or urine. Specific
4 measurements or things such as sodium, glucose,
5 or white blood cells that are measured in a
6 laboratory.

7 Q. And, in fact, the laboratory testing
8 that was done on HGAJ subjects show that there
9 was a statistically significant increased
10 incidence of high glucose and also high
11 cholesterol; isn't that correct?

12 A. Again, without benefit of looking at
13 the -- at the entirety of the data, my only
14 recollection is with regard to a analysis of
15 the -- what we call the categorical incidence of
16 elevated glucoses relative to haloperidol based
17 on what we call anytime data, I recall this
18 number as being statistically significant. That
19 is, one number that needs to be appropriately put
20 into context of actually about nine analyses.

21 Q. You say based on what we call anytime
22 data, I remember this number as being
23 statistically significant. What was this number
24 that you were referring to?

25 A. I believe it was the percentage of

1 A. That's correct.

2 Q. And what you were doing in this study
3 was comparing the incidence of these different
4 types of laboratory analytes between those folks
5 that took Zyprexa and those who took Haldol,
6 correct?

7 A. That's correct.

8 Q. And on page 11 here, this portion of the
9 printout regarding glucose, nonfasting shows a
10 statistically significant increased incidence of
11 high glucose in the Zyprexa group as compared to
12 the Haldol group, correct?

13 A. Yes. I'm seeing an incidence of 2.6
14 percent high for olanzapine; 1.1 percent for
15 haloperidol, and the P value there by this test
16 is .031 which is less than .05, which is
17 generally considered the standard for statistical
18 significance.

19 Q. Dr. Beasley, could I get you to look at
20 page 12 of Exhibit 1605? And you see that at the
21 top of the page there, there's the results of
22 some laboratory testing on cholesterol, correct?

23 A. Yes, I do.

24 Q. And it also shows a statistically
25 significant increased incidence of high

1 individuals who showed a -- a shift from a normal
2 glucose to what would be considered a high
3 glucose.

4 Q. Okay. And you were aware of that at
5 what point in time?

6 A. I -- I don't know the specific point the
7 data were analyzed.

8 Q. Would it be fair to say that if computer
9 analyses were done of the data from the HGAJ
10 study back in June of 1995 that you and
11 Dr. Toleffson would have been aware of the
12 results of those analyses?

13 A. Yes, we would have been.

14 Q. Okay. I direct your attention to page
15 11.

16 A. Yes.

17 Q. Do you see there there's a heading for
18 lab tests of glucose nonfasting?

19 A. Yes, I do.

20 Q. In this study, HGAJ, there were actually
21 two groups of patients, some of whom were taking
22 olanzapine or Zyprexa. The other group was
23 taking another drug referred to as a
24 first-generation antipsychotic drug called Haldol
25 or haloperidol; is that correct?

1 cholesterol, correct?

2 A. Yes, that's correct, 2.3 percent
3 versus 0.8 percent.

4 Q. When Zyprexa came on the market in 1996,
5 in October, am I correct?

6 A. I believe that was the case; 13 months
7 after the NDA filing.

8 Q. And the labeling that was in effect at
9 that time when the product came out in the market
10 did not warn physicians that your clinical
11 studies had found statistically significant
12 increased incidence of high glucose in Zyprexa
13 users, correct?

14 A. That is correct.

15 Q. Do you recall that by 1998 Lilly had
16 almost 200 reports of blood sugar elevations?

17 A. Are you speaking about spontaneous
18 adverse event reports?

19 Q. Yes.

20 A. And the year was --

21 Q. 1998.

22 A. 1998. I cannot give you the -- the
23 specific number in 1998, but that would seem to
24 me to be approximately correct.

25 Q. I'm handing you Exhibit 988. For the

1 record, this is a 26-page document bearing on the
2 title page, the title, Census of Spontaneous
3 Reports for Olanzapine During the First Two Years
4 of Marketing, September 27, '96 to September 30,
5 1998. It was apparently prepared by Ken
6 Hornbuckle and Man Fung, of the Worldwide
7 Pharmacovigilance and Epidemiology Department at
8 Eli Lilly and Company and it is marked
9 Confidential.

10 And are you aware, sir, that it's
11 generally estimated that only 1 percent, maybe 10
12 percent of the number of adverse events that
13 actually occur in the use of the drug ever get
14 reported?

15 A. The literature that I am familiar with
16 estimated between 1 in 5 and 1 in 30 cases would
17 be reported. This was in this time frame when I
18 was more involved with Drs. Fung and Hornbuckle.
19 I believe that more recent literature has
20 suggested it may be as low as 1 in a hundred.

21 Q. If I can direct your attention to page
22 14, and I'm referring to the bottommost number of
23 page 14.

24 In any event, whoever prepared this
25 report, Dr. Hornbuckle and Fung have a bold

1 the 194 by 5, that's almost 1,000 and if we
2 multiplied by 100, it would be almost 20,000
3 cases of blood sugar elevation, correct?

4 A. That is correct.

5 Q. With respect to Exhibit 988 -- let's
6 see -- the one we had there, it's marked
7 Confidential on every page. Was it standard
8 drill at Eli Lilly to mark reports of adverse
9 event reports as -- as Confidential?

10 A. Actually, I don't know whether all such
11 reports would be so marked. Clearly, these
12 are -- these are information that are -- the
13 reports themselves and -- and analysis similar to
14 this are not confidential because they are shared
15 with Food & Drug Administration and other
16 regulatory bodies.

17 Q. Do you recall that by December of 1998,
18 just a couple of months after the cutoff period
19 for this report, Lilly was struggling about what
20 to say regarding the link between weight gain and
21 diabetes?

22 A. Again, in the -- I don't recall any
23 specific information or discussion about what
24 Lilly was going to say in any specific context in
25 that time period.

1 heading there entitled Blood Sugar Elevation,
2 correct?

3 A. That's correct.

4 Q. And then below that, they have six
5 different subcategories, including hyperglycemia,
6 diabetes mellitus, diabetic acidosis, diabetic
7 coma, ketosis, and glucose tolerance decreased,
8 correct?

9 A. That's correct.

10 Q. And then below that, they have another
11 bold heading that says Unduplicated Reports,
12 correct?

13 A. That's correct.

14 Q. And that -- and it shows that if you
15 looked at all four corners or, I guess it's
16 actually eight quarters from '96 to '98, there
17 were a total of 194 unduplicated reports of what
18 they had grouped together as blood sugar
19 elevation, correct?

20 A. That's correct.

21 Q. Okay. And, again, using the numbers we
22 talked about before, if we multiply it by --
23 well, the numbers we talked before in terms of
24 what the range might be with respect to what's
25 happening out in the real world, if we multiply

1 Q. Let me hand you what's been previously
2 marked as Plaintiff's Exhibit 6890.

3 For the record, this is an e-mail
4 from Mary Ann Adams to Michael Bandick, Charles
5 Beasley, Dr. Alan Breier, Alan Clark, Ann Marie
6 Crawford, Charles Feehan, there may be another
7 name that's cut off, and the subject is Agenda
8 Zyprexa Medical Marketing Meeting. And it's --
9 the agenda is dated December 9, 1998.

10 A. Yes.

11 Q. Do you see that under the agenda there
12 is -- there's several bullet points. The middle
13 one is Weight Gain and Link to Diabetes, question
14 mark. What Does the Data Say and What is Our
15 Action Plan, question mark.

16 Do you see that reference?

17 A. Yes, I do.

18 Q. And then there's a handwritten note at
19 the bottom relating to weight gain, correct?

20 A. Yes, there is.

21 Q. By the way, do you recognize that
22 handwriting?

23 A. No, I don't.

24 Q. The handwritten note says, weight gain
25 and genetic vulnerability lead to hyperglycemia,

1 correct?

2 A. Yes, it does.

3 Q. Do you recall talking to people in the
4 marketing department in December of 1998 about
5 the issue of weight gain and diabetes?

6 A. I don't recall specifically. I may well
7 have -- have done so in the process of trying to
8 educate individuals that were specializing in
9 neuroscience as opposed to diabetes care, about
10 sort of the basics of -- of diabetes.

11 Q. Do you recall telling the people in the
12 marketing department back in December of 1998
13 that the use of antipsychotic drugs could result
14 in weight gain and that people who gain weight
15 may develop insulin resistance which can lead to
16 hyperglycemia and diabetes?

17 A. I may have been explaining that -- that
18 there are these associations.

19 Q. Okay. Was it your belief at the time,
20 back in December of 1998, that antipsychotics --
21 that the use of antipsychotic drugs could result
22 in weight gain?

23 A. Yes, I think the data for -- for that
24 are rather clear as reflected in our package
25 insert, specifically for our drugs, and I think

1 the -- the David Allison article that, I think
2 was published by this time to which we had
3 contributed, looked at antipsychotics in general
4 and suggested that.

5 Q. And was it your view back in December of
6 1998 that people who gained weight may develop
7 insulin resistance which can lead to
8 hyperglycemia and diabetes?

9 A. I would characterize it as a -- as a
10 risk factor for developing.

11 Q. And if someone has a risk factor, that
12 means that they may develop that problem,
13 correct?

14 A. That -- that puts them at increased
15 risk -- to be very precise, that puts them at
16 increased risk relative to patients or
17 individuals without that risk factor.

18 Q. Would you agree, sir, that if you have a
19 group of people who are at increased risk of
20 having some adverse event occur, that it is more
21 probable than not at the end of the day, that
22 some of those people will, in fact, develop the
23 adverse event as a result of using the drug that
24 increased their risk?

25 A. All I can say is that there is increased

1 probability among those individuals with that
2 risk factor of developing the condition if
3 they -- if they did not have the risk factor.

4 Q. Let's talk a little bit about the teams
5 that were working on Zyprexa. I'm going to hand
6 you what's been previously marked as MDL
7 Plaintiff's Exhibit 8042 which, for the record,
8 is a November 29, 1999 e-mail from Michelle Sharp
9 to Gail Uminger which then copies several other
10 e-mails.

11 The first of which is an e-mail on
12 November 28th, 1999 from Edmundo Muniz to Michael
13 Clayman and Timothy Franson with copies to
14 Gregory Brophy, Kenneth Hornbuckle, Kenneth
15 Kwong, correct?

16 And I believe you said earlier that
17 Mr. Muniz --

18 A. Dr. Muniz.

19 Q. That he was the member of the
20 pharmacovigilance department; is that correct?

21 A. That is correct.

22 Q. Who is Michael Clayman, one of the
23 recipients of this?

24 A. He was -- I believe at the time he would
25 have been the international director for

1 regulatory.

2 Q. Okay. And who was Timothy Franson?

3 A. And Timothy Franson at the time, I
4 believe, was the head of regulatory for the
5 United States.

6 Q. Okay. And who was Gregory Brophy?

7 A. And Gregory Brophy would have been one
8 of the regulatory people for the United States
9 that interacted specifically with the
10 neuropharmacology division of the FDA.

11 Q. And in his e-mail, Dr. Muniz says, Mike
12 and Tim, below you will find the summary of
13 issues discussed this week regarding
14 hyperglycemia and Zyprexa. There are two types
15 of initiatives and then he lists what those two
16 different types are, correct?

17 A. There are two types of initiatives, yes.

18 Q. And the first was a -- what he refers as
19 a cross-functional action team led by Alan
20 Breier. You see that?

21 A. Yes, I do.

22 Q. He states that the goal of this team is
23 to bring to the same table all the groups and
24 functions working to address the hyperglycemia
25 issue, correct?

1 A. Yes.

2 Q. And the hyperglycemia issue was the fact
3 that by November of 1999 there were published
4 medical articles linking hyperglycemia with
5 Zyprexa, and you also had a number of
6 adverse-event reports linking hyperglycemia and
7 Zyprexa, correct?

8 A. Yes. That would be correct.

9 Q. And then Dr. Muniz states under that
10 section, while Val Simmons, Man Fung, Kenneth
11 Kwong and Charles Beasley have been working
12 closely together on this issue, it was felt that
13 a broader involvement of regulatory
14 pharmacovigilance Mike Clayman, Tim Franson, Greg
15 Brophy and Edmundo Muniz was needed to evaluate a
16 short-term plan.

17 Did I read that correctly?

18 A. Yes.

19 Q. Would it be fair to say, sir, that by
20 this -- this memo reflects that in November of
21 1999 the hyperglycemia issue had -- with Zyprexa
22 had become quite an issue, correct?

23 A. I think what this reflects is the
24 company had very clearly intended to increase the
25 resources, both number and level of resources

1 that would bring -- were being brought to bear to
2 assess the topic.

3 Q. It's pointed out by Dr. Muniz in the
4 background section of this e-mail that the
5 discussion regarding hyperglycemia slash weight
6 gain and antipsychotic drugs goes back as far as
7 the early 1950s, and for more than two decades
8 until the 1980s there was a large number of
9 publications, but the interest of the scientific
10 community and the regulators decreased until very
11 recently. Do you see that language, sir?

12 A. Yes, I do.

13 Q. And were you aware of that discussion of
14 hyperglycemia and weight gain being linked with
15 antipsychotic drugs going back to the early
16 1950s?

17 A. This was actually part of my -- my
18 residency training.

19 Q. And right below that section in item B
20 in the background, Dr. Muniz states: Two
21 regulatory agencies, EMEA and Canada, have
22 proactively asked questions about hyperglycemia
23 and Zyprexa?

24 A. Yes.

25 Q. And were you involved in responding to

1 those questions raised by the regulatory agencies
2 and EMEA in Europe and Canada?

3 A. I certainly would have been involved
4 along with the pharmacovigilance people who would
5 have developed the responses.

6 Q. Were the regulatory agencies in Canada
7 and Europe concerned with hyperglycemia and being
8 linked with Zyprexa?

9 A. They had certainly asked us to conduct
10 specific evaluations of our post-marketing
11 surveillance data.

12 Q. And, in fact, by this point in time,
13 November of 1999, the European regulatory
14 agencies had already requested that hyperglycemia
15 be a precaution in the Europe labeling; is that
16 correct?

17 A. I -- the -- the European label does not
18 make a distinction between warnings and
19 precautions. There's one unified section. I
20 don't have specific recollection of when they
21 requested that it be included as a warning.

22 Q. If I were to suggest to you that it was
23 requested in late 1998 and that Lilly finally
24 added it to the warning slash precaution section
25 of European labeling in July of 1999, would that

1 refresh your recollection?

2 A. I could well believe that that was
3 correct. Again, I don't remember the specific --

4 Q. You don't have any reason to doubt those
5 times I stated there, do you?

6 A. No, I do not.

7 Q. Okay. And regardless of the precise
8 month, you would agree with me that at least by
9 this point in time, November of 1999,
10 hyperglycemia had been added to the precaution
11 slash warning section in here, correct?

12 A. That's correct.

13 Q. Okay. In fact, there's even a
14 handwritten note at the bottom of this e-mail
15 saying Precaution in Europe, correct?

16 A. Yes.

17 Q. Okay. And by this point in time,
18 hyperglycemia was mentioned in the U.S. labeling,
19 but only in the adverse reaction section,
20 correct?

21 A. Hyperglycemia, among other
22 diabetic-related terms, yes.

23 Q. In the adverse reaction section, not in
24 the precaution section, not in the warning
25 section, correct?

Page 110

1 A. That's correct.
2 Q. And am I correct that GPLC stands for
3 global product labeling committee?
4 A. That's correct.
5 Q. And what was the global product labeling
6 committee?
7 A. This is a committee made up of a number
8 of individuals holding fairly senior positions
9 within the company at the time it was chaired by,
10 I believe, Dr. Clayman, so --
11 Q. I'm sorry?
12 A. Dr. Clayman, so it was a -- it is
13 essentially a regulatory committee. But members
14 from various components of medical, toxicology,
15 ADME, manufacturing and other individuals that
16 would ultimately make decisions, approve or
17 disapprove labeling changes.
18 Q. And was the Zyprexa label the subject of
19 a GPLC session in the weeks or months following
20 this e-mail?
21 A. I don't recall.
22 Q. Let me hand you what's been previously
23 marked as Plaintiff's Exhibit 990. For the
24 record, this is a seven-page document, the first
25 page of which is labeled Confidential, do not

Page 111

1 forward, to be distributed only by global
2 operations labeling department in Indianapolis,
3 Attachment E. And, Dr. Beasley, if I can refer
4 you to the second physical page of the document.
5 A. Uh-huh.
6 Q. There is a heading towards the top of
7 the page, below the Confidential label that says,
8 Olanzapine Labeling Change on Hyperglycemia for
9 February 21, 2000 GPLC Meeting. Do you see that?
10 A. Yes, I do.
11 Q. Dr. Beasley, if I could refer you to the
12 second physical page of the document.
13 A. Uh-huh.
14 Q. There is a heading towards the top of
15 the page, below the Confidential label, that says
16 Olanzapine Labeling Change on Hyperglycemia for
17 February 21, 2000 GPLC Meeting.
18 Do you see that?
19 A. Yes, I do.
20 Q. Regardless of whether you personally
21 drafted the text that's in here, would it be fair
22 to say that you not only reviewed but approved
23 this language?
24 A. Yes.
25 Q. Okay. So your analysis is reflected in

Page 112

1 this document for the clinical trial data yielded
2 results that showed that the frequency of
3 hyperglycemia was common or frequent, correct?
4 A. Yes, by -- by this nomenclature,
5 absolutely. And in our preliminary set of
6 analyses.
7 Q. Okay. And then there's a box below that
8 says: How has this proposal arisen?
9 A. Yes.
10 Q. And the language of that says: Recent
11 review of random glucose levels of patients in
12 olanzapine clinical trials reveal that the
13 incidence of treatment-emergent hyperglycemia in
14 olanzapine group 3.6 percent was higher than the
15 placebo group, 1.05 percent, for common events
16 incidences from clinical trials provide more
17 meaningful information. Did I read that
18 correctly?
19 A. That's correct.
20 Q. Okay. Now, this recent review that's
21 being referred to there was the review that you
22 and Dr. Kwong had done on your own initiative
23 because you felt it was important to do; is that
24 correct?
25 A. That's correct.

Page 113

1 Q. And then there's a box below that that
2 says: How has this proposal arisen?
3 A. Yes.
4 Q. And the language of that says: Recent
5 review of random glucose levels of patients in
6 olanzapine clinical trials reveal that the
7 incidence of treatment-emergent hyperglycemia in
8 olanzapine --
9 MR. ALLEN: Sorry for that. That's
10 a technical --
11 Q. -- was higher than the placebo group,
12 1.5 percent, for common events incidences from
13 clinical trials provide more meaningful
14 information. Did I read that correctly?
15 A. That's correct.
16 Q. Okay. Now, this recent review that's
17 being referred to there was the review that you
18 and Dr. Kwong had done on your own initiative
19 because you felt it was important to do; is that
20 correct?
21 A. That's correct.
22 Q. And that incidence in the olanzapine
23 group was almost three and a half times higher
24 than in the placebo group, correct?
25 A. The number is in excess of threefold.

1 Q. Almost three and a half, correct?
 2 A. Yes.
 3 Q. Okay. Now, that language there could
 4 have been added to -- you could have suggested
 5 that that language be added to the label,
 6 correct?
 7 A. Yes, we could have.
 8 Q. If I can direct your attention to the
 9 following page, you also indicate in here that
 10 there were a number of literature reports
 11 published regarding hyperglycemia and olanzapine,
 12 correct?
 13 A. I'm seeing the literature reports and I
 14 think these would reviewed -- briefly summarized
 15 such reports.
 16 Q. Okay. And you also make reference to
 17 Dr. Daniel Casey from Oregon presenting a seminar
 18 at Lilly at the end of 1999 in that box, correct?
 19 A. That's correct.
 20 Q. Is this the same Dr. Daniel Casey who is
 21 one of the expert advisers who you spoke with at
 22 the December, 1995 meeting in Puerto Rico?
 23 A. Yes, he was.
 24 Q. The section of the document goes on to
 25 say that Dr. Casey performed chart review of 136

1 veteran patients who have been exposed to
 2 olanzapine therapy for at least four months with
 3 an average of 1.4 years. Of the 39 patients who
 4 had normal fasting glucose levels before
 5 olanzapine therapy, seven or 18 percent had
 6 fasting glucose levels of 126 milligrams per
 7 deciliter or higher during olanzapine therapy,
 8 and then it notes that threshold met the 1998 ADA
 9 diagnostic theory for diabetes?
 10 A. Yes.
 11 Q. The ADA refers to the American Diabetic
 12 Association?
 13 A. That's correct.
 14 Q. So, what he found during that review is
 15 that 18 percent of the people who had normal
 16 fasting glucose levels before they started using
 17 Zyprexa had thresholds that met the 1998 ADA
 18 diagnostic criteria for diabetes after they used
 19 Zyprexa, correct?
 20 A. That's correct.
 21 Q. I'm going to hand you what's been
 22 previously marked as Plaintiff's Exhibit 4858.
 23 For the record, this is a May 9, 2000 letter to
 24 FDA from Gregory T. Brophy. Now, it has in the
 25 upper right-hand corner, the first page, a bold

1 label that says Special Supplement Changes Being
 2 Effected. Do you see that?
 3 A. Yes, I do.
 4 Q. And am I correct that there is a
 5 provision of the FDA regulations which permits a
 6 drug company to add a warning to the labeling
 7 without prior FDA approval as long as the -- the
 8 label change strengthens the warnings?
 9 A. That's correct. Or provides new
 10 information on safety, as I understand.
 11 Q. In any event, this label change which
 12 was made in May of 2000, without prior FDA
 13 approval had three elements to it, correct?
 14 A. I focused on the glycemc numbers, but I
 15 believe it also had a change to the NMS section
 16 and then the -- the term diabetic coma was also
 17 added.
 18 Q. The second numbered item in this letter
 19 refers to the change that was actually made
 20 regarding hyperglycemia; am I correct?
 21 A. It's with reference to the laboratory
 22 findings of hyperglycemia.
 23 Q. Just with respect to the language that
 24 was used in the label change, did you tell
 25 doctors that the incidence of hyperglycemia was

1 common or frequent? Did you use those words?
 2 A. We did not use those words; we provided
 3 the numbers.
 4 Q. Can you -- would you read for the jury
 5 the -- the language that is -- was used?
 6 A. Yes. In the olanzapine clinical trial
 7 database as of September 30, 1999, 4,577
 8 olanzapine-treated patients begin, paren,
 9 representing approximately 2,255 patient-year
 10 exposure, end paren, and 445 placebo-treated
 11 patients who had no history of diabetes mellitus
 12 and whose baseline random plasma glucose levels
 13 were 140 milligrams per deciliter or lower were
 14 identified. Persistent random glucose levels
 15 greater than or equal to 200 milligrams per
 16 deciliter, paren, suggestive of possible
 17 diabetes, end paren, were observed in 0.8 percent
 18 of olanzapine-treated patients, paren, placebo
 19 0.7 percent, end paren.
 20 Transient paren, i.e., resolved
 21 while the patients remained on treatment, end
 22 paren, random glucose levels greater than or
 23 equal to 200 milligrams per deciliter were found
 24 in 0.3 percent of olanzapine-treated patients,
 25 begin paren, placebo, 0.2 percent, end paren.

1 Persistent random glucose levels greater than
2 160 -- greater than or equal to 160 milligrams
3 per deciliter, observed in 1.0 percent of
4 olanzapine-treated patient, begin paren, placebo,
5 1.1 percent, end paren.

6 Transient random glucose levels
7 greater than or equal to 160 milligrams per
8 deciliter but less than 200 milligrams per
9 deciliter were found in 1.0 percent of
10 olanzapine-treated patients, paren, placebo 0.4
11 percent, end paren.

12 Q. And that's the final language that went
13 in the label, correct?

14 A. That's correct.

15 Q. We'll talk about that in just a minute.
16 Let's finish up with this label change that you
17 guys did in May of 2000. What happened five
18 months later was that the FDA came back and made
19 you take that language out of the label, is that
20 correct?

21 A. That's correct.

22 Q. Let me show you what's been previously
23 marked as Plaintiff's Exhibit 195 which, for the
24 record, is an October 11, 2000 letter from
25 Russell Katz, the director of the division of

1 With respect to the FDA's impression, that is
2 correct.

3 I view these data, quite frankly,
4 as not reassuring, although not ominous, not
5 reassuring because of the difference. I clearly
6 felt it was important to report these incidences.

7 Q. In the proposed -- well, in the labeling
8 that you guys actually put in in May 2000, which
9 the FDA made you take out five months later in
10 October, there was no indication of any
11 statistically significant differences in
12 hyperglycemia between Zyprexa and other patients,
13 correct?

14 A. There is clearly not in this -- in this
15 information that was added.

16 Q. Okay. Let me show you what's been
17 previously marked as Plaintiff's Exhibits 5565.
18 For the record, this is a series of e-mails and
19 you had received a request from Ralph Dittman --
20 by the way, who was Ralph Dittman?

21 A. Ralph Dittman was a German -- he was a
22 German psychiatrist in our German affiliate.

23 Q. And he was asking you for information on
24 hyperglycemia, correct?

25 A. Let me, if I can --

1 neuropharmacological drug products at FDA to
2 Gregory Brophy. And if we just cut to the chase
3 here, what happened was FDA five months after you
4 made that label change on your own without prior
5 FDA approval, FDA came back on October 11th, 2000
6 and said you have to take that language out,
7 correct?

8 A. That's correct.

9 Q. And the reason why they made you take
10 that out was because the FDA said -- this is on
11 the second page of the document -- the
12 descriptive data that is provided expresses a
13 certain level of implied safety with respect to
14 treatment-emergent hyperglycemia.

15 Do you see that language, sir?

16 A. Yes, I do.

17 Q. And, in fact, that was the case, the
18 data that you reported in there, the statements
19 that you had in the labeling showed that there
20 was essentially no difference between
21 hyperglycemia and Zyprexa users versus placebo
22 patients and the FDA concluded that that
23 expresses a certain level of implied safety;
24 isn't that correct?

25 A. I think you've asked me two questions.

1 Q. If you look at the top of the second
2 page.

3 A. Yes.

4 Q. Okay. And you wrote back to him and you
5 said, in part: Our continuous analyses show that
6 olanzapine does result in statistically
7 significant mean increases in random glucoses
8 relative to placebo and haloperidol.

9 Did I read that correctly?

10 A. That's correct.

11 Q. By the way, if I forgot to point out for
12 the record, the date of this e-mail is February
13 22, 2001.

14 A. Right. These would have been analyses
15 that were conducted subsequent to those that had
16 been done as part of the review of data by myself
17 and Dr. Kwong.

18 Q. Then, would these analyses had been done
19 before the May, 2000 label change or after?

20 A. I think they would have been done
21 afterward.

22 Q. Three months later, you and others gin
23 up language that goes into labeling under special
24 supplement Changes Being Effectuated, which shows
25 essentially no difference between the incidence

1 of hyperglycemia and Zyprexa users versus placebo
2 users and five months after that FDA makes you
3 take out that language because they say it gives
4 an implied sense of safety, correct?

5 A. I agree with you with respect to the
6 action of the FDA. In your question you
7 characterize our actions in a certain fashion
8 that I would disagree with.

9 Q. And then five months after the FDA makes
10 you take out that language which they said was
11 expressing a certain level of implied safety with
12 respect to treatment-emergent hyperglycemia, you
13 do another analysis which finds a statistically
14 significant mean increase in random glucose for
15 Zyprexa relative to placebo and haloperidol,
16 correct?

17 A. That was my understanding at the time,
18 having not been involved in those analyses.

19 Q. And, sir, if I can direct your attention
20 to the remaining language in that paragraph you
21 go on to state: These increases are occurring as
22 early as week one, correct?

23 A. Yes.

24 Q. That would be week one after beginning
25 use of the drug?

1 A. That's correct.

2 Q. And you say: These changes are
3 accounted for in part, but not entirely by weight
4 increase, correct?

5 A. I think you have excluded a
6 parenthetical in the -- in this, but that states
7 may not represent a true deterioration in
8 glyceic metabolism but simply an increase in
9 food intake since these are random and not
10 fasting glucoses.

11 Q. And then you go on to say, These changes
12 are encountered for in part but not entirely by
13 weight increases, correct?

14 A. Yes.

15 Q. Then you say, Categorical analyses to
16 values above a set of thresholds: 126, 140, 160,
17 200 milligrams per deciliter do not reveal
18 significant findings, but trends are there except
19 for the comparison of clozapine to olanzapine to
20 the lower two thresholds. Clozapine more,
21 correct?

22 A. That's correct.

23 Q. Sir, when you do categorical analyses
24 like that, you are splitting the data up into
25 different chunks, correct?

1 A. That's correct. We have been talking --
2 most of what we've been talking about so far has
3 been categorical analysis. You define a certain
4 value that makes a distinction between normal and
5 abnormal. At this time 126 was the ADA criteria,
6 so if you were 125, you would be considered
7 normal. If you were 126 or above, you would be
8 considered abnormal.

9 Q. And describe for the jury what the
10 difference is between a categorical analysis and
11 a continuous analysis.

12 A. A continuous analysis is where you take
13 averages. You have a certain number of
14 individuals who have a baseline, or a before
15 treatment value, and each one of those patients
16 has an individual value and they're observed to
17 have multiple values that's measured while
18 they're on treatment, and each of those patients
19 will then have a change at each point in the
20 observation, and those changes are taken as an
21 average.

22 Q. And, sir, it was your continuous
23 analysis that you're referring to here which
24 showed that olanzapine does result in
25 statistically significant mean increases in

1 random glucose relative to placebo and
2 haloperidol, correct?

3 A. That was my understanding of the work
4 that had been done at that time.

5 Q. And sir, it was continuous analyses
6 which your company's own outside experts
7 recommended that you needed to be looking at,
8 correct?

9 A. Yes, and I think that was the reason
10 they were looked at.

11 Q. Let's talk about that right now. Do you
12 recall that in October of 2000 you and various
13 representatives of Eli Lilly had a meeting with a
14 group of outside experts in Atlanta?

15 A. Yes, I do.

16 Q. Okay. And those were -- the people that
17 you met with, those outside experts were an
18 academic advisory board, correct?

19 A. That's correct.

20 Q. Now, Eli Lilly is a drug company which
21 makes not only psychiatric drugs but also makes
22 and distributes a number of drugs for the
23 treatment of diabetes, correct?

24 A. That's correct.

25 Q. And do you recall --

1 THE COURT: Can we stop for a
2 second?
3 THE CLERK: Off record.
4 THE COURT: Are we doing okay? Do
5 you want to take a break now -- how much longer
6 do we have with the deposition, about? As I
7 recall, it was about an hour and 12 minutes.
8 MR. ALLEN: We have about half-hour
9 left. Forty minutes was -- this was the longest
10 depo we had. So I think -- how much time?
11 THE VIDEOGRAPHER: Looks like about
12 40 minutes.
13 MR. ALLEN: He said about 40
14 minutes.
15 THE COURT: Do you want to take
16 your break now? I see some nods. Let's take a
17 15-minute break now.
18 We'll be off record.
19 (Jury out.)
20 (Break.)
21 (Jury in.)
22 THE COURT: Please be seated.
23 And we're back on the record. All
24 members of the jury are present.
25 Are we going to resume?

1 MR. ALLEN: Yes, sir. We resume
2 with Dr. Beasley. Can we dim those lights
3 behind? Thank you, Your Honor.
4 RESUME VIDEOTAPE TESTIMONY OF DR. CHARLES BEASLEY
5 Q. And do you recall that -- that people in
6 Lilly referred to these outside experts as being
7 in the Who's Who of diabetes?
8 A. I don't recall that characterization,
9 but these are -- were certainly a number of very,
10 very prominent academic individuals.
11 Q. Okay. And so -- and so you and Chris
12 Bomba and Patrizia Cavazzoni and Suni Keeling and
13 Robert Baker all went down there in October of
14 2000 to meet with them, correct?
15 A. That's correct.
16 Q. And did you give them a presentation?
17 A. I believe it was Dr. Cavazzoni that
18 basically, presented the results of the work that
19 had been represented in what Dr. Kwong and I put
20 together.
21 Q. Would it be fair to say that the end
22 result of the analysis and the research that you
23 and Dr. Kwong put together was what was reflected
24 in the labeling that was implemented in May of
25 2000?

1 A. Yes. Certainly, that work that
2 Dr. Kwong and I have performed, obviously, with a
3 lot of assistance led to the labeling change.
4 Q. Right. And fair to say that the results
5 of your analysis at the end of the day were the
6 numbers that we saw before that were stated in
7 that labeling change that was made?
8 A. That's correct.
9 Q. Okay. That's the same labeling change
10 that the FDA made you take out of the label five
11 months earlier, correct?
12 A. That's correct.
13 Q. Okay. And, in fact, that letter that
14 the FDA sent instructing you to take that
15 language out of the labeling was dated October
16 11th, correct, 2000. It's in the upper
17 right-hand corner.
18 A. There's a stamp here, October 11th,
19 2000.
20 Q. And that was just days after the meeting
21 you had the meeting with the outside experts in
22 Atlanta?
23 A. Again, I'm getting a bit confused on my
24 dates -- I think it was October.
25 Q. I apologize. Let me hand you what's

1 been previously marked as Exhibit 6998. For the
2 record, this is an e-mail dated October 9, 2000
3 from Robert Baker to Charles Beasley, Christopher
4 Bomba, Alan Breier, Thomas Brody, Patrizia
5 Cavazzoni, James Gregory, John Holcombe, Jack E.
6 Jordan, Suni Keeling, Bruce Kinon, Michael
7 Murray, John Richards, Eugene Thiem and Mauricio
8 Tohen and Paula Trzepakcz, correct?
9 A. Yes.
10 Q. And in this e-mail Dr. Baker states that
11 in the first paragraph, For your information
12 Lilly's -- Lilly's diabetes slash endocrine group
13 held an academic advisory board meeting this
14 weekend in Atlanta.
15 That would have been days before
16 October 9, correct?
17 A. Yes.
18 Q. Okay. And we know that on October 11
19 the FDA comes out and says you've got to take
20 that label language out, right?
21 A. Correct.
22 Q. Okay. So, within days after you meet
23 with the outside experts, FDA tells you to take
24 the label out, right?
25 A. Yes.

1 Q. Okay. Dr. Baker, in Exhibit 6998 goes
2 on in his e-mail to say, they kindly allotted two
3 hours for discussion of olanzapine's potential
4 hyperglycemia risks and Charles Beasley, Chris
5 Bomba, Patrizia Cavazzoni, Suni Keeling and I
6 attended. Unfortunately, this consultation
7 reinforced my impression that hyperglycemia
8 remains quite a threat for olanzapine and may
9 merit even further medical attention and
10 marketing focus on the topic.

11 Did I say that correctly?

12 A. Yes, that's correct.

13 Q. In the second paragraph he goes on to
14 state: They were, however, concerned by our
15 spontaneous AE reports -- that's referring to
16 adverse event reports, correct?

17 A. That's correct.

18 Q. And quite impressed by the magnitude of
19 weight gain on olanzapine and implications for
20 glucose. Much of their input for helpful steps
21 came back to addressing weight gain.

22 Did I read that correctly?

23 A. That's correct.

24 Q. And you had been warned about the weight
25 gain problem by another panel of outside experts

1 as we talked about right at the beginning of your
2 deposition back in December of 1995, correct?

3 A. That's correct, and this was something
4 that we described and from my perspective, given
5 Dr. Breier's efforts, we were attending to.

6 Q. And continuing on in his e-mail
7 Dr. Baker said, citing methodological questions
8 at least the vocal members were not reassured
9 adequately by our analyses such as the finding
10 that relative risk was not higher than
11 comparative drugs. Disconcertingly, one member
12 compared our approach to Warner-Lambert's
13 reported argument that Rezulin did not cause more
14 hepatic problems than other drugs in its class.

15 Do you see that language?

16 A. Yes I do.

17 Q. Were you familiar with what
18 Warner-Lambert was doing with respect to Rezulin?

19 A. No. I was familiar with the drug and I
20 was familiar with the fact that it was ultimately
21 withdrawn from the market.

22 Q. Okay. Because of safety problems,
23 correct?

24 A. Because of the perception that it had a
25 risk of hepatic dysfunction.

1 Q. Let me show you another e-mail regarding
2 this meeting that you had with the outside
3 experts in October of 2000. I'm going to hand
4 you what's been previously marked as Exhibit
5 1449. I want to direct your attention, first, to
6 that e-mail from Thomas Brody to Robert Baker and
7 Eugene Thiem that starts in the middle of the
8 first page.

9 First of all, who was Thomas Brody?

10 A. I don't know who Mr. Brody was.

11 Q. Do you know who Eugene Thiem was?

12 A. I think he was an individual involved in
13 the marketing area in the U.S. affiliate.

14 Q. Okay. And the subject is the meeting
15 with endocrinology consultants, correct?

16 A. Yes.

17 Q. And Mr. Brody says: Robert -- referring
18 to Robert Baker -- clearly this group of
19 endocrinologists who spoke up and I would rate
20 those who did speak up as the leaders of the
21 pack, are very concerned with the approach Lilly
22 is taking towards the issue that Zyprexa leads to
23 diabetes. I can only hope that you and all of
24 the team who attended the NADAB meeting are
25 gaining the ear of senior leadership and

1 articulating this finding. Although the board's
2 recommendation is probably not the way Lilly
3 typically does business, I do believe they made a
4 very strong point that unless we come clean on
5 this, it could get much more serious than we
6 might anticipate.

7 You see that language, sir?

8 A. Yes, I do.

9 Q. Okay. Now, you did, indeed, have the
10 ear of senior leadership within the corporation,
11 did you not?

12 A. Yes, I would characterize my position as
13 at least having their ear.

14 Q. And the man that you had the ear of was
15 Dr. Gary Toleffson, correct?

16 A. That's correct.

17 Q. And did you have the ear of any others
18 who would be regarded as senior leadership with
19 the company?

20 A. I believe that I also was able to speak
21 freely with Dr. Breier.

22 Q. And now, sir, if I could direct your
23 attention to the third physical page. At the top
24 of the page is an e-mail from Robert Baker to
25 you, Alan Breier, Christopher Bomba, Patrizia

1 Cavazzoni, Suni Keeling, again referring to the
 2 meeting with endocrinology consultants, correct?
 3 A. Yes.
 4 Q. And in that e-mail Dr. Baker does two
 5 things, No. 1, he forwards to you and the others
 6 there that original e-mail that he'd gotten from
 7 Thomas Brody, the one where he said that although
 8 the board's recommendation is probably not the
 9 way Lilly typically does business, I do believe
 10 they made a very strong point that unless we come
 11 clean on this it could get much more serious than
 12 we might anticipate. Correct?
 13 A. Excuse me. I was -- I was looking at
 14 this and I believe that was on page 1, as I
 15 recall.
 16 Q. Sir, the language I just read was --
 17 you're correct, in the e-mail at the bottom of
 18 page 1. It's also in the e-mail that's at the
 19 bottom of page 3, because on page -- what page 3
 20 does is reflects an e-mail that Robert Baker sent
 21 to you and others forwarding that e-mail from
 22 Thomas Brody, correct?
 23 A. Yes.
 24 Q. And it was in that e-mail from Thomas
 25 Brody that Mr. Brody said that I can only hope

1 that you and all of the team who attended the
 2 meeting are gaining the ear of senior leadership
 3 and articulating this finding, correct?
 4 A. That's correct.
 5 Q. And so, in fact, by Robert Baker sending
 6 this memo on to Alan Breier, he put this in the
 7 ear of senior leadership of the company, correct?
 8 A. That's correct.
 9 Q. So, Alan Breier was informed in October
 10 of 2000 that these consultants were saying that
 11 they made a very strong point that unless we come
 12 clean on this, it could get much more serious
 13 than we might anticipate, correct?
 14 A. That's correct.
 15 Q. Okay. And then in his e-mail to Robert
 16 Baker -- pardon me -- in Robert Baker's e-mail to
 17 you and others at the top of this page, 3, he
 18 has -- he starts off by saying, my take was that
 19 this board of academic endocrinologists was
 20 impressed enough by the magnitude of weight gain
 21 and number of reports in the spontaneous report
 22 database that they were predisposed towards
 23 subsequent testimony to an analysis that did not
 24 find a hyperglycemia rates between olanzapine and
 25 comparators, correct?

1 A. That's correct.
 2 Q. Then he goes on to have a message to you
 3 and also to Alan Breier, correct?
 4 A. That's correct.
 5 Q. And he says to you, do you think it's
 6 appropriate to look at secondary analysis that
 7 does not exclude baseline abnormalities and
 8 another looking at mean changes in glucose?
 9 A. That's correct.
 10 Q. And the looking at mean changes in
 11 glucose is the continuous analysis that we
 12 referred to earlier, correct?
 13 A. That's correct.
 14 Q. And that's the one that when you did it
 15 a couple of months later, your understanding in
 16 February, 2001 that it did, indeed, show a
 17 statistically significant increase in random
 18 glucose for Zyprexa relative to placebo and
 19 haloperidol, correct?
 20 A. That is my -- that was my understanding
 21 of those analyses at the time. I am not -- I
 22 have no knowledge of the -- the ultimate outcome
 23 of what may have been continuing analysis here.
 24 Q. Okay. Because by that point you were
 25 out of it, right? You were gone?

1 A. I was transitioning out of it, yes.
 2 Q. They took you out of the Zyprexa group
 3 and they send you over to deal with Cialis,
 4 correct?
 5 A. That's correct.
 6 Q. Let's continue on with what -- was
 7 telling Alan Breier, senior management in October
 8 of 2000. Dr. Baker says: Alan, I believe that
 9 what Tom is referring to as, quote, not the way
 10 Lilly typically does business, end quote, are
 11 suggestions to more vocally assert that
 12 olanzapine may have a problem on the glucose
 13 issue. And rather than moving forward with our
 14 analyses turning all info over to an independent
 15 board for review, conclusions and dissemination.
 16 You see that language here?
 17 A. Yes, I do.
 18 Q. And so what Baker was telling Breier --
 19 by the way, Breier was not at the meeting,
 20 correct?
 21 A. No.
 22 Q. So Baker's telling Breier that these
 23 experts were saying that you should actually
 24 assert that Zyprexa may have a problem on the
 25 glucose issue, correct? Is that what he says?

1 A. That was apparently Dr. Baker's
2 recollection at the time.

3 Q. So he's saying that the experts are
4 saying, hey, go out and tell doctors that Zyprexa
5 may have a problem with glucose, right?

6 A. Again, that was apparently Dr. Baker's
7 recollection at the time.

8 Q. I want to show you another e-mail -- a
9 series of e-mails relating to this meeting in
10 October of 2000. For the record, I'm handing you
11 what's been previously marked Plaintiff's Exhibit
12 1453. Sir, would you agree with me that the
13 e-mail that's at the bottom of page 3 of this
14 exhibit is the same e-mail that we were just
15 talking about in the prior exhibit?

16 A. I believe it is.

17 Q. Okay. And then what's above that, which
18 actually starts on page 2, is your e-mail
19 response back to Dr. Baker?

20 A. Let's see. I -- I haven't read it yet,
21 but that would appear to be -- on the 10th at
22 8:43. He would have presumably sent that late in
23 the afternoon and I would have seen it the
24 next -- the next morning.

25 Q. And in this e-mail you're giving your

1 Q. And when you refer to the animals on
2 fixed diets in this e-mail, am I correct that
3 that's referring to scientific studies conducted
4 by Lilly which showed that animals on fixed diets
5 also showed significant weight gain?

6 A. I don't recall the specific basis at
7 this point 6 years later for this statement on my
8 part. Dr. Breier, on toxicology, were conducting
9 studies with animals and studies had been
10 previously conducted, so I cannot recall the
11 specific studies that I was referring to here.

12 Q. Okay. But if your -- if your e-mail is
13 correct and you were the one that wrote this --

14 A. Right.

15 Q. -- that there were findings of
16 significant weight gain in animals on fixed
17 diets, that means that you were seeing weight
18 gain in animals whose diet was controlled
19 experimentally so that they were not just free to
20 feed as they wished, but they were given a fixed
21 amount of food, correct?

22 A. That would be correct.

23 Q. Now, did these experts give you any
24 examples of what they meant when they said that
25 Lilly should aggressively face the issue?

1 take on the situation, correct?

2 A. That's correct.

3 Q. And then in the second paragraph you
4 say: These guys were solely concerned about the
5 weight gain, not only because of the diabetes
6 risk, but all the other potential health risks.
7 They initially thought it might simply be a
8 response to improvement and schizophrenia with a
9 few outliers, rather naive view but they ain't
10 shrinks. When they have seen this is not seen in
11 nonpsychotic patients, and fixed diets, and
12 olanzapine is the worst offender rather than
13 clozapine, they advocated a different marketing
14 strategy than we are taking. They believe we
15 should aggressively face the issue and work with
16 physicians to address methods of addressing
17 weight gain.

18 Did I read that correctly?

19 A. That's correct.

20 Q. Now, when you make a reference to
21 nonpsychotic normals, am I correct that you're
22 referring to clinical studies done by Lilly which
23 showed that normal people, nonpsychotics when
24 they take Zyprexa, have significant weight gain?

25 A. Yes.

1 A. I can't recall any. Obviously, I have
2 my impressions that -- of what they -- what they
3 meant.

4 Q. Okay. You go on to say at the bottom of
5 that first paragraph that, again, talking about
6 the weight gain, when you translate 1 to 2
7 percent gain of 40-plus kilos into the absolute
8 number based on 5 million patients, the number is
9 50,000 to 100,000; 100,000 people putting on 90
10 pounds of weight is a lot.

11 A. And that was a speculation on my part as
12 a possibility to underscore this to the people we
13 communicated with.

14 Q. Okay. How did you arrive at that
15 calculation?

16 A. My recollection is that we had -- the
17 number of analyses had been done looking at
18 weight gain in our clinical trials, and I believe
19 that Dr. Kinon was, in fact, the primary
20 individual running these -- running these --
21 having these analyses run. And my recollection
22 is that I would have seen listings that would
23 have shown percentages of patients with different
24 amounts of weight gain who had been treated for
25 various lengths of time.

1 Q. And, sir, do you recall writing a memo
2 some months later in which you said: It would be
3 ludicrous to state that such a patient is not at
4 long-term increased cardiac risk relative to
5 prior to gaining that weight, especially in
6 temporal association with that weight gaining the
7 patient developed an increase in fasting glucose
8 and lipid levels?

9 A. I don't recall that specifically, but I
10 may well have written that. Gaining body fat is
11 clearly recognized as a risk factor for
12 cardiovascular disease. I think I learned that
13 in my first year physiology course.

14 Q. For the record, Exhibit 6128 is another
15 series of e-mails. If I could direct your
16 attention to page 3 of the document. That's an
17 e-mail from Ernie Anand and to Andrea Smith
18 asking if there was a standby statement to
19 clarify Lilly's position as to whether Zyprexa
20 can cause cardiovascular complications due to
21 weight gain and diabetes which are clinically
22 recognized risk factors.

23 See that, sir?

24 A. Yes.

25 Q. And then this gets forwarded on to you

1 as reflected in -- on page 2 of the document
2 which is an e-mail from you to Andrea Smith with
3 copies to Ernie Anand, Patrizia Cavazzoni,
4 Margaret Sowell, Anna Thornton in which you
5 respond and say: One thing that we can say
6 definitively is that olanzapine causes weight
7 gain for approximately 50 percent of patients in
8 trials who remained on the drug for more than six
9 months. The amount of gain was more than ten
10 pounds. Some patients in clinical trials gained
11 as much as 80-plus pounds, lacking empirical data
12 to the contrary, it would be ludicrous to state
13 that such a patient is not at long-term increased
14 cardiac risk relative to prior to gaining that
15 weight especially if in temporal association with
16 that weight gain the patient developed an
17 increased in fasting glucose and lipid levels.

18 Do you see that language, sir?

19 A. Yes.

20 Q. Do you recall writing that e-mail on or
21 about March 15, 2001?

22 A. No. I mean, again, I do not recall
23 writing it.

24 Q. You don't dispute that you indeed did
25 that, though?

1 A. No, not at all.

2 Q. Directing your attention back to Exhibit
3 1453 --

4 A. 1453.

5 Q. -- that's your October 10, 2000 e-mail
6 to Alan Breier with copies to Robert Baker, Paul
7 Berg, Scott Clark, John Holcombe, Roland Powell,
8 Alvin Rampey, and Roy Tamura. You go on in your
9 e-mail about ten lines down from there to say --
10 actually, it's one, two, three, four, five, six,
11 seven, eight, nine -- ten lines from the top.

12 The problem is the arbitrary nature
13 of the cut points and the potential for big
14 shifts depending on those cut points and the fact
15 that we chose the cut points, not really they
16 came from the ADA web site. They specifically
17 referred to the data as being tortured.

18 Did I read that correctly?

19 A. That's correct.

20 Q. Do you recall who it was that referred
21 to the data as being tortured?

22 A. No, I do not.

23 Q. Dropping down to the next paragraph in
24 the second sentence you say, They, referring to
25 the outside consultants, they want the continuous

1 data using all data analyzed over time covarying
2 for both static, diabetic diagnosis, baseline
3 obesity, et cetera, and dynamic covariants,
4 weight gain, alteration in hyperglycemic dose.
5 Similar to David Allison one or two would be
6 happy to take all our data and perform the
7 correct analysis like we don't have competent
8 statisticians.

9 Did I read that correctly?

10 A. That's correct.

11 Q. You go on to say towards the end of that
12 paragraph, I will say that I believe we should
13 have a full-time, dedicated, sophisticated
14 statistical resource that does nothing but
15 hyperglycemia. No meetings, no surveys, zilch
16 until we have completely tortured the data.

17 Did I read that correctly?

18 A. That's correct.

19 Q. And did you completely torture the data?

20 A. Well, again, what I mean by torture the
21 data here, a reference to the paragraph above
22 where it was used in a positive context, we
23 thoroughly analyzed the data. Coming out of this
24 meeting, we had the two individuals that were
25 interested in working with us. We had

1 Dr. Cavazzoni assigned full-time, and I believe,
2 although I could be incorrect, that Dr. Breier
3 took steps to see that additional statistical
4 resources were added. We also increased the time
5 commitment of the endocrinologist that was
6 working with us on these matters.

7 Q. And additional statistical work that you
8 did, at least your understanding of it, up until
9 the time you left the Zyprexa project and
10 later -- some months later in 2001 was that the
11 continuous analyses that the outside consultants
12 had asked for showed that olanzapine does result
13 in statistically significant mean increases in
14 random glucose relative to placebo and
15 haloperidol; is that correct?

16 A. And that was my understanding at the
17 time of where those analyses stood. I do not
18 know if those were the final analyses of those
19 data.

20 Q. I'd like to direct your attention to the
21 last paragraph of your e-mail. It says: With
22 regard to the marketing side of this issue of
23 impaired glucose tolerance slash diabetes, the
24 message was clear: Don't get too aggressive
25 about denial. Blaming it on schizophrenia or

1 claiming no worse than other agents until we are
2 sure of the facts and sure that we can convince
3 regulators and academicians. WL with Rezulin was
4 the example. Sounds like what Dan -- strike
5 that. Sounds exactly like what Dan Casey was
6 saying.

7 Did I read that correctly?

8 A. That's correct.

9 Q. Now, the WL that's referring to is
10 Warner-Lambert, correct?

11 A. I believe that would be correct.

12 Q. We talked a little bit about that
13 Rezulin example before. And when you said that
14 sounds exactly like what Dan Casey was saying,
15 when had Dan Casey told Lilly that you shouldn't
16 be too aggressive about denial, blaming it on
17 schizophrenia or claiming that Zyprexa was no
18 worse than other agents?

19 A. Well, again, I don't recall specifically
20 when Dr. Casey would have made those suggestions
21 to us.

22 Q. Sir, in fact, despite these
23 recommendations by your outside consultants, in
24 fact, what Lilly did for years after this was to
25 insist that the rate of hyperglycemia and

1 diabetes with Zyprexa was comparable to other
2 drugs, correct?

3 A. I do not have specific knowledge of the
4 marketing materials that were put together over
5 time and have been used over time. I did
6 recall -- I did review one initial marketing
7 piece that did present the data that was
8 presented in our package insert.

9 Q. This is the American Diabetes
10 Association -- let me show that -- of course,
11 see -- there it is. The title's All About
12 Diabetes, and it's put out by the American
13 Diabetes Association, Cure, Care and Commitment.
14 You see that?

15 A. Yes.

16 Q. And you've already told this jury that
17 they're much more qualified than you to discuss
18 issues concerning the seriousness or lack of
19 seriousness of diabetes, right?

20 A. I think that would be the generally held
21 opinion.

22 Q. And, certainly -- this says diabetes is
23 a disease in which the body does not produce or
24 properly use insulin. Insulin is a hormone that
25 is needed to convert sugar, starches and other

1 food into energy such -- energy needed for daily
2 life. The cause of diabetes continues to be --
3 let me see -- continues to be a mystery, although
4 both genetics and environmental factors such
5 as -- what, sir?

6 A. You're asking me to read the --

7 Q. Yeah, such as what?

8 A. As obesity and lack of exercise
9 appear --

10 Q. Appear to play a role.

11 You testified under oath that
12 diabetes is a known risk -- excuse me -- obesity
13 is a known risk factor for diabetes, right?

14 A. That's correct.

15 Q. And, in fact, you testified that the
16 weight gain that you saw in Zyprexa, I think
17 your -- you can correct me, because you'll
18 probably get it -- you testified that 40 percent
19 of patients who take Zyprexa have clinically
20 significant weight gain within six months?

21 A. Actually, I think the best
22 representation -- that's from the HGAJ study. I
23 think the best representation is actually the --
24 from the combining of the data which would
25 suggest it's 56 percent of individuals.

1 Q. Have clinically significant weight gain
2 within six months?

3 A. Potentially significant defined as 7
4 percent or greater.

5 Q. Which would put them at a increased risk
6 of developing hyperglycemia and diabetes?

7 A. It would be a risk factor and might put
8 them at risk.

9 Q. And you certainly, then, would agree
10 with me that Zyprexa causes clinically
11 significant weight gain, which is a risk factor
12 for diabetes, correct?

13 A. I have said that -- that there is a
14 strong association and I believe that in some
15 patients Zyprexa can cause weight gain. I've
16 also testified that weight gain is a risk factor
17 for diabetes.

18 Q. Right. Now, you've also taken -- and
19 you certainly -- it's not a preferable thing to
20 increase your risk factor for diabetes since
21 diabetes is such a severe disease, is it not?

22 A. Well, again, one does not want to
23 increase any risk factor that would put one at --
24 at increased risk of any disease, including
25 diabetes.

1 Q. Right. And diabetes, we know, and
2 hyperglycemia itself is -- has numerous severe
3 medical complications, does it not?

4 A. There are a number of complications that
5 are associated with both hyperglycemia and more
6 importantly, diabetes.

7 Q. Right. Such as heart disease and
8 stroke. This is --

9 A. Yes, your page here --

10 Q. You probably don't need to read the
11 page. You can just tell us. Diabetes carries
12 with it the risk of heart disease and stroke,
13 kidney disease, eye complications including
14 blindness, diabetic neuropathy, that's loss of
15 feeling and sensation in your periphery, right?

16 A. Yes. It's -- actually in diabetes it's
17 actually a painful sensation.

18 Q. Nerve damage, foot complications, which
19 I know can lead to gangrene and amputations.
20 Skin complications and depression. It can cause
21 depression in and of itself?

22 A. It has been associated with depression.

23 Q. Right. Just so we're all communicating
24 now, you and I now agree and you can tell the
25 jury under oath that diabetes and hyperglycemia

1 are serious medical conditions, are they not?

2 A. Diabetes clearly has very serious
3 potential outcomes. The -- the condition can,
4 therefore, be considered clinically serious.
5 Many cases would not meet the regulatory
6 definition of seriousness.

7 Q. Well, how about when I say you can get
8 amputations, heart disease, loss of vision,
9 peripheral neuropathy, are you telling this jury
10 that doesn't meet the FDA regulation definition
11 of serious?

12 A. Those things that you've just mentioned
13 would meet the FDA criteria.

14 Q. Right. And aren't all those things as
15 reported in this document, Beasley No. 1,
16 secondary factors that occur following -- can
17 occur following diabetes?

18 A. Can occur. Yes, sir.

19 Q. Okay. Sir, I don't think you need to
20 pull it out. It's Exhibit 1453, but it is in
21 that stack here. Just so the record is clear,
22 this is your e-mail that you wrote on October
23 10th, 2000 following -- this is No. 1453. You
24 knew that in the -- this is your words -- I'll
25 read it. These guys, talking about after the

1 meeting, were really concerned about the weight
2 gain, not only because of diabetes risk, but all
3 the other potential health risks.

4 So we have right here a statement
5 that the people at the meeting were concerned
6 about weight gain and diabetes, right?

7 A. You know, that is my recollection of
8 their main topic of interest was the weight gain.

9 Q. Sir, and I'm not trying to argue with
10 you. You didn't call it a topic of interest.
11 You said these guys were really concerned, isn't
12 that what you said, not me?

13 A. Yes, that's correct.

14 Q. So, the doctors in Atlanta who talked
15 about why they were concerned about weight gain
16 were concerned because weight gain can lead to
17 hyperglycemia, which is prediabetes, and diabetes
18 can occur and all those risks such as peripheral
19 neuropathy, amputations and blindness are
20 concerns, right?

21 A. Those would be consequences for adverse
22 outcomes of diabetes.

23 Q. And these -- and that's exactly what
24 these doctors were concerned about --

25 A. I think my reference here is to the

1 other potential health risks, such as cardiac
2 disease and those things.

3 Q. Let's go on. They initially thought it
4 might simply be a response to improvement in
5 schizophrenia with a few outliers, and you put
6 this parenthetical, parens, a rather naive view,
7 but they ain't shrinks.

8 A. Just a good old boy.

9 Q. You thought that was a rather naive
10 view, correct?

11 A. That's correct.

12 Q. When they understood this is seen in
13 nonpsychotic normals, which you told Mr. Suggs we
14 see weight gain in individuals who are
15 nonschizophrenic and psychotic?

16 A. That's correct.

17 Q. And animals on fixed diets, we see it on
18 animals in testing when they have fixed food
19 intake, correct?

20 A. That was clearly my understanding of
21 preclinical studies at the time. I don't recall
22 the studies.

23 Q. You were trying to be accurate when you
24 wrote this e-mail?

25 A. Yes.

1 Q. Less concerned with animals and that
2 olanzapine is the worst offender other than
3 clozapine. They advocated a different marketing
4 strategy than we are taking.

5 Did I read that correctly?

6 A. That's correct.

7 Q. And that's a long way it took me to get
8 there is what you were saying is Zyprexa, as
9 opposed to other second-generation antipsychotics
10 such as Seroquel, Risperdal, Abilify, Geodon,
11 Zyprexa is the worst offender concerning the
12 issue of weight gain, true?

13 A. And these are certainly the -- what I
14 wrote here and what they've characterized is the
15 fact -- and, again, I would come back to the data
16 analysis. It comes in No. 2. It's not
17 necessarily far away from some of the other
18 second-generation.

19 Q. It's No. 2 worst offender behind
20 clozapine for causing weight gain, correct?

21 A. That's correct.

22 Q. And that's certainly what you wrote in
23 your e-mail, Exhibit 1453, on October 10th, 2000
24 at 8:33 in the morning.

25 A. That's correct.

1 MR. ALLEN: Your Honor, that
2 concludes our offer of Dr. Charles Beasley, but
3 Mr. Suggs has some documents.

4 THE COURT: Okay.

5 MR. ALLEN: Mr. Suggs has some
6 documents he would like to publish to the jury.

7 THE COURT: Before we do that, am I
8 correct that the defense is going to defer
9 playing any portions of Dr. Beasley's deposition?

10 MR. LEHNER: That's correct. We'll
11 put that in our case.

12 MR. SUGGS: Your Honor, there were
13 two exhibits that were referenced in
14 Dr. Beasley's deposition that have not yet been
15 formally admitted, although, Your Honor, I think
16 has been on those. And those would be Exhibits
17 AK6090 and 8042. And there were a number of
18 other exhibits that had been admitted previously
19 in one form or another, but they have not been
20 published and those are Exhibits 1349, 1605, 988,
21 6998, 4858, 1449, 1453, 195, 990, 5565 and 6128.

22 And we'd request permission to
23 publish all of these exhibits to the jury,
24 Your Honor.

25 MR. LEHNER: Your Honor, with

1 respect to the two that you haven't ruled on,
2 perhaps we should approach the bench and discuss
3 those briefly.

4 (Bench discussion.)

5 MR. SUGGS: I believe Your Honor
6 has already addressed these in the deposition
7 testimony.

8 MR. LEHNER: I think the only
9 objection is the handwriting on there,
10 Your Honor. Should we publish them without the
11 handwriting?

12 MR. SUGGS: Your Honor, the
13 handwriting is the most critical part of the
14 document.

15 THE COURT: I'm going to admit
16 these for notice purposes. 6890. And what is
17 the other one?

18 MR. SUGGS: 8042. That was the one
19 that had an extraneous page at the back.

20 THE COURT: Okay. So that's been
21 pulled, the extraneous page?

22 MR. SUGGS: Yes.

23 THE COURT: Based on my previous
24 rulings in this matter, 6090 and 8042 are both
25 admitted, and those two documents, along with

1 1349, 1605, 988, 6998, 4858, 1459, 1453, 195,
 2 990, 5565, 6128, all of those are State's
 3 exhibits, I believe?
 4 MR. SUGGS: Yes, Your Honor.
 5 THE COURT: All of those may be
 6 published to the jury.
 7 MR. SUGGS: Thank you, Your Honor.
 8 (End bench conference.)
 9 MR. ALLEN: Your Honor, we now have
 10 the deposition of Robin Wojcieszek -- I believe
 11 is how I pronounce it -- Robin Wojcieszek. It is
 12 40 -- if my math is right, it's 49 minutes long.
 13 That might be a good time to end for the day.
 14 THE COURT: Why don't we show the
 15 jury Robin Wojcieszek's deposition, and it sounds
 16 like we'll be able to let them go a little early.
 17 MR. ALLEN: Yes, sir. Thank you.
 18 MR. SUGGS: With this deposition
 19 we're going to try some of the documents
 20 contemporaneously while we're playing the
 21 deposition. I'll show them on the Elmo and put
 22 the screen up in front of Your Honor, if that's
 23 okay.
 24 THE COURT: That's okay. It's fine
 25 to have the screen in front of me. Ladies and

1 gentlemen, we'll try to do the deposition --
 2 MR. ALLEN: My sheet says 49
 3 minutes two seconds.
 4 THE COURT: Rather than take our
 5 second break, we'll try to get that played and
 6 let you go early.
 7 MR. FIBICH: Your Honor, can we
 8 practice the screen before we start the
 9 deposition?
 10 VIDEOTAPE TESTIMONY OF ROBIN WOJCIESZEK
 11 ROBIN WOJCIESZEK,
 12 having been called as a witness via deposition,
 13 testified as follows:
 14 DIRECT EXAMINATION
 15 Q. Good morning.
 16 A. Good morning.
 17 Q. Would you state your full name for the
 18 record, please?
 19 A. Robin Pitts Wojcieszek.
 20 Q. And what's your occupation?
 21 A. I am a pharmacist, and I work at Eli
 22 Lilly and Company in regulatory affairs.
 23 Q. And when did you begin working for Eli
 24 Lilly?
 25 A. I began working for Lilly in August of

1 2002.
 2 Q. Did you have any job responsibilities
 3 with Zyprexa after you came to Lilly?
 4 A. Yes.
 5 Q. Okay. If you can describe those for me?
 6 A. I began working on Zyprexa in April of
 7 2003 as a regulatory scientist.
 8 Q. And who did you report to?
 9 A. Greg Brophy.
 10 Q. And who reported to you?
 11 A. I don't have anyone reporting to me.
 12 Q. Okay. How did you come to be designated
 13 as the person to testify on behalf of Lilly in
 14 this deposition?
 15 A. I was responsible for some of the
 16 supplemental applications that are referred to in
 17 this communication or in this deposition. And I
 18 have primary responsibility for interactions with
 19 FDA regarding Zyprexa and labeling changes.
 20 Q. Okay. And how long have you had that
 21 responsibility?
 22 A. Since 2003.
 23 Q. Okay. Are you also the prime person
 24 responsible for communicating with FDA regarding
 25 Symbyax?

1 A. Yes, I am.
 2 Q. Okay. Let's talk about the first item
 3 in the notice of deposition, which is regarding
 4 Lilly's responses to a letter from FDA in March
 5 of 2007 which was the subject of Plaintiff's
 6 Second Set of Interrogatories and Document
 7 Requests to Defendants in the Alaska litigation.
 8 And I hand you -- I'll hand you
 9 what we'll have marked as Plaintiff's Exhibit 2.
 10 This appears to be a copy of a fax
 11 of a letter that bears several dates on the front
 12 page. The earliest in time of which was March
 13 28th, 2007, and I notice that on the very last
 14 page there is an electronic signature of Thomas
 15 Laughren that's dated March 28th, 2007.
 16 Do you see that?
 17 A. Yes, I do.
 18 Q. Was this letter faxed to you on March
 19 28th, 2007?
 20 A. Yes, it was.
 21 Q. Okay. The letter from FDA makes
 22 reference to a number of regulatory filings with
 23 FDA by Lilly regarding Symbyax, correct?
 24 A. Correct.
 25 Q. And Symbyax is a combination drug

1 containing both Zyprexa and Prozac, correct?
 2 A. That's correct.
 3 Q. Or I guess the generic terms would be
 4 containing both olanzapine and fluoxetine,
 5 correct?
 6 A. That's correct.
 7 Q. And in those regulatory submissions
 8 Lilly was seeking approval from FDA to market the
 9 combination drug, Symbyax, for use in
 10 treatment-resistant depression or TRD, correct?
 11 A. That's correct.
 12 Q. And it indicates that these prior
 13 submissions had occurred in September of 2006, in
 14 November of 2006, December of 2006 and February
 15 of 2007, correct?
 16 A. That's correct.
 17 Q. Okay. And am I correct that those
 18 submissions made by Lilly to FDA included
 19 information from clinical studies of the
 20 combination drugs?
 21 A. That's correct.
 22 Q. Okay. And among other things, that
 23 clinical data included information regarding
 24 changes in the blood glucose of patients who were
 25 exposed to the combination drug as compared to

1 people who were just receiving placebo, is that
 2 correct?
 3 A. That's correct.
 4 Q. And since those submissions occurred in
 5 the fall of 2006, the studies that contain that
 6 data would have been concluded sometime before
 7 that, correct?
 8 A. That's correct.
 9 Q. And do you know when it was those
 10 clinical studies were done which contained the
 11 data that was submitted to FDA in the submissions
 12 that are referenced here?
 13 A. They had completed over numerous years
 14 but the last study that completed, which was to
 15 support the indication, which was HDAO, completed
 16 in the fall of 2005.
 17 Q. Fall of 2005. And that was the latest
 18 of those studies, correct?
 19 A. That's correct.
 20 Q. And what was -- what would have been the
 21 earliest of those studies?
 22 A. I -- I don't recall. They were -- some
 23 of the studies that we included in the submission
 24 were also submitted with the original application
 25 for Symbyax in 2002.

1 Q. I want to make sure I understand it. So
 2 that the submissions that occurred in the fall of
 3 2006 to support the -- the additional indication
 4 for treatment-resistant depression included data
 5 from the studies that had been conducted in
 6 support of the original Symbyax submission in
 7 2002 as well as other studies after that point,
 8 the last of which had been completed by the fall
 9 of 2005; is that a fair statement?
 10 A. That's a fair statement, yes.
 11 Q. Okay. And the earliest of those studies
 12 that had been done in support of the 2002
 13 submission, I presume, would have been completed
 14 sometime before 2002; is that correct?
 15 A. That's correct.
 16 Q. Do you know when it was that they would
 17 have been completed?
 18 A. I don't know the exact dates, but
 19 typically they're done about six months prior to
 20 a submission.
 21 Q. Probably 2001 sometime?
 22 A. Some of them were, yes.
 23 Q. Okay. So it'd be fair to say that
 24 the -- that the data that's being referenced here
 25 in this letter is data that was generated

1 between, say, early 2002 and 2005, in that time
 2 frame, correct?
 3 A. Majority of the data, yes.
 4 Q. Okay. Now, in order to approve Symbyax
 5 for use in treatment-resistant depression, FDA
 6 needed to approve the labeling for the drug,
 7 correct?
 8 A. Correct.
 9 Q. Okay. And on the first page of the
 10 letter there's a bolded heading that states:
 11 Updated information on risks of weight gain,
 12 hyperglycemia, and hyperlipidemia. You see that?
 13 A. Yes, I do.
 14 Q. In the first paragraph, right after that
 15 heading it states: A primary concern with this
 16 application and the primary basis for our not
 17 taking a final action is our view that we lack
 18 important safety information needed to adequately
 19 update the labeling with all relevant risk
 20 information. In particular, we are concerned
 21 that the labeling is deficient with regard to
 22 information about weight gain, hyperglycemia, and
 23 hyperlipidemia that's associated with olanzapine
 24 use, whether taken along or in combination with
 25 fluoxetine. You must fully address these

1 concerns before we will be able to take a final
2 action on this application.

3 Do you see that language that I
4 read?

5 A. Yes.

6 Q. And I read it correctly?

7 A. Yes, you did.

8 Q. And it was clear, was it not, that the
9 concerns about weight gain, hyperglycemia and
10 hyperlipidemia that it's referring to in
11 connection with Symbyax, had to deal with the
12 Zyprexa portion of the drug and not the Prozac
13 portion, correct?

14 A. That's correct.

15 Q. Okay. And, in fact, FDA has not
16 requested any change in the labeling of Prozac
17 regarding weight gain, hyperglycemia, and
18 hyperlipidemia recently, have they?

19 A. No, they have not.

20 Q. Okay. Now, if I can direct your
21 attention to the following page. In the first
22 full paragraph on that page, FDA is talking about
23 the data that they would like to see presented in
24 the -- in the labeling, correct?

25 A. What they or -- they're asking for is

1 greater than or equal to 200 milligrams per
2 deciliter compared to .3 percent of
3 placebo-treated patients.

4 Do you see that?

5 A. Yes.

6 Q. When they talk about OFC, that's another
7 way of talking about Symbyax or the combination
8 of olanzapine and fluoxetine, correct?

9 A. That's correct.

10 Q. And was it your understanding that blood
11 glucose levels greater than or equal to 200
12 milligrams per deciliter was regarded as
13 diagnostic for diabetes by the American Diabetes
14 Association?

15 A. Yes, based on the kind -- the ADA
16 guidelines.

17 Q. Okay. So what the FDA was saying here
18 is the data that you had presented to them
19 already indicated that 2.9 percent of the
20 patients who had baseline random blood glucose of
21 less than 140 wound up having on-treatment levels
22 greater than or equal to 200 compared to .3
23 percent of the placebo-treated patients, correct?

24 A. That was an analysis included in the
25 application.

1 regarding, if you look at the previous paragraph,
2 it's an extension of what type of information
3 that they would like to see prior to making any
4 labeling change.

5 Q. Ah, okay, good point. So the FDA is
6 telling you before they can approve a labeling
7 change, to allow for further indication of
8 treatment-resistant depression, they wanted to
9 see the type of data that they're referring to in
10 the first full paragraph on page 2, correct? Is
11 that a fair statement?

12 A. That's -- that's a fair statement, yes.

13 Q. Okay. And what they said in that
14 paragraph was: Regarding data displays and
15 overall strategy would be the subgroup patients
16 on the basis of their status at baseline so that
17 clinicians can better understand the risks
18 associated with treatment of patients following
19 into different risk categories. For example, we
20 note that your proposed Symbyax label includes
21 information only on proportions of patients who
22 are relatively normal at baseline relative to
23 glucose, paren, less than 140 milligrams per
24 deciliter, end paren, i.e., 2.9 percent of such
25 patients receiving OFC had on-treatment levels

1 Q. Okay. And was that an analysis that had
2 been done by Lilly or by FDA?

3 A. By Lilly.

4 Q. Okay. So, Lilly itself had concluded,
5 then, that 2.9 percent of the patients receiving
6 the combination drug who originally had
7 nondiabetic levels of blood glucose went over
8 the -- the 200 mark, which is diagnostic for
9 diabetes as compared to only .3 percent of the
10 placebo-treated patients; is that correct?

11 A. What this is saying is this is a
12 particular analysis, categorical analysis or a
13 shift analysis that was done in the application.
14 The overall conclusions would be something that
15 are -- are -- our medical group would make. This
16 is one of many analyses that we conducted.

17 Q. This particular analysis, however,
18 showed essentially a tenfold higher rate of
19 patients going from nondiabetic levels of blood
20 glucose to -- to blood glucose levels over 200,
21 correct?

22 A. For this particular analysis?

23 Q. Yes.

24 A. Yes.

25 Q. Okay. And do you know who within Lilly

1 did that analysis finding that tenfold increase?

2 A. That would have been done with our
3 statistical group, with the medical group doing
4 an evaluation of the results.

5 Q. Okay. And I'm presuming that at some
6 point they provided you in regulatory affairs
7 with that data or writeup of the data which you
8 then submitted to FDA, correct?

9 A. That's correct.

10 Q. Okay. They then go on to say in their
11 letter, FDA does: However, note that 46 percent
12 of patients who are borderline to high baseline,
13 140 to 200, have such on-treatment levels
14 compared to only 5 percent of placebo-treated
15 patients.

16 Do you see that?

17 A. Yes.

18 Q. And it was your understanding they were
19 say -- what they were saying there, that when you
20 look at the data that Lilly had generated, it
21 showed that those folks who had somewhat elevated
22 levels of blood glucose in the 140 to 200 range,
23 that when you look at those folks, about 46
24 percent of those people who were exposed to the
25 combination drug went over 200 as compared to

1 only 5 percent of the placebo-treated patients,
2 correct?

3 A. That's correct.

4 Q. Okay. And that, again, would -- I'm
5 presuming would have been another analysis done
6 by Lilly itself, correct?

7 A. That's correct.

8 Q. Okay. So in both of these statements
9 here about what that data showed, FDA was really
10 talking about what Lilly's own analysis had
11 shown, and this was not some separate analysis
12 that FDA had done; is that a fair statement?

13 A. That's a fair statement.

14 Q. Okay. Continuing down in the letter a
15 couple of lines, the FDA said, I believe, making
16 reference to that latter analysis where the 46
17 percent of patients had blood levels over 200
18 after treatment, they go on to say: In addition,
19 we were troubled that this important finding was
20 not included in your proposed label; do you see
21 that?

22 A. Yes.

23 Q. And do you know who it was that made the
24 decision not to include that information in the
25 proposed label?

1 A. That's a decision that's made -- that's
2 actually a very cross-functional group of
3 individuals within medical, regulatory and global
4 patient safety.

5 Q. I'm sorry. I don't know why that word
6 came to mind.

7 Let's see here. Do you know --
8 that particular data that we've been talking
9 about on which those analyses were made, do you
10 know when they would have been -- when that data
11 would have been generated?

12 A. That data would have been generated, you
13 know, prior to our submission, so in the summer
14 of 2006.

15 Q. Okay. I think you said that the -- that
16 the data ranged from between 2002 and 2005.

17 A. Correct. But this -- this particular
18 analysis is of a pooling of studies.

19 Q. Ah, okay. Okay. So this analysis was
20 done, you believe, probably in the summer of
21 2006?

22 A. Yes.

23 Q. The analysis that was done in the summer
24 of 2006 as referred to in this first full
25 paragraph on page 2 was an analysis of data that

1 had been actually generated sometime between 2002
2 and 2005; fair statement?

3 A. That's correct.

4 Q. Okay. If I can direct your attention to
5 the third full paragraph on the second page of
6 the FDA's letter, the one that starts off, Our
7 overall goal. You see that?

8 A. Yes, I do.

9 Q. It states, quote, Our overall goal is to
10 improve labeling with regard to these findings so
11 that clinicians will be better informed on what
12 the risks are for their patients. They cannot
13 make reasonable treatment decisions until they
14 have such information. We do not feel that
15 current labeling for either Symbyax or Zyprexa
16 provides sufficient information on these risks
17 and we fully intend to ensure that these labels
18 are enhanced with the best available information
19 to characterize these risks.

20 You see that language?

21 A. Yes, I do.

22 Q. Now, are you aware that in the Zyprexa
23 litigation, not only in this case in Alaska, but
24 in thousands of other cases around the country,
25 Lilly has been asserting that its Zyprexa label

1 was already sufficient and adequate?
 2 A. Yes.
 3 Q. But at least the -- and Lilly has never,
 4 to your knowledge, admitted that its labeling was
 5 inadequate, has it?
 6 A. Yeah, that's correct.
 7 Q. But in this March, 2007 letter, FDA told
 8 the company that it felt that Zyprexa labeling
 9 was not adequate, correct?
 10 A. That's correct.
 11 Q. Now, after receiving this communication
 12 from FDA in March of 2007 that it did not believe
 13 that the Zyprexa label was adequate, the company
 14 did not change the label in April, did it?
 15 A. No, it did not.
 16 Q. Or May?
 17 A. No.
 18 Q. Or June?
 19 A. No.
 20 Q. Or July?
 21 A. No.
 22 Q. Or August?
 23 A. No.
 24 Q. Or September?
 25 A. No.

1 Q. There was finally a label change in
 2 October of 2007, correct?
 3 A. That's correct.
 4 Q. The second full paragraph on page 2 of
 5 the FDA letter makes reference to a New York
 6 Times article. Do you see that?
 7 A. Yes, I do.
 8 Q. I gather that FDA was -- was wanting to
 9 know Lilly's response to the information that was
 10 presented in this article; is that correct?
 11 A. That's correct.
 12 Q. I'm going to hand you what we'll have
 13 marked as Plaintiff's Exhibit 4. Before I do
 14 that, am I correct that there were essentially
 15 three parts to Lilly's response to FDA regarding
 16 the New York Times article?
 17 A. Yes.
 18 Q. Okay. One was -- Part 1 was submitted
 19 in February of 2007. Part 2 was submitted in May
 20 of 2007, and Part 3 in June of 2007, correct?
 21 A. Part 3 was in July.
 22 Q. I'm sorry, July 9th of 2007, correct?
 23 A. If I recall, it was July 2nd.
 24 Q. Okay. Okay. I'm going to hand you what
 25 I've marked as Plaintiff's Exhibit 4, and I

1 realize this is Part 2, but at least the way the
 2 documents were presented to me, this is -- I have
 3 to refer to parts in here to try to track through
 4 the sequence.
 5 A. Okay.
 6 Q. For the record, Part 2 is a 77-page
 7 document produced to the State bearing the title
 8 Regulatory Response, Response to the FDA Query
 9 Regarding the New York Times Article, Part 2, and
 10 bears the date May 10, 2007.
 11 Did I describe that accurately?
 12 A. Yes, you did.
 13 Q. And were you involved in preparing this
 14 response and then submitting it to FDA?
 15 A. Yes.
 16 Q. Okay. If I could direct your attention
 17 to page 41, they're numbered in the upper
 18 right-hand corner, this is on that page a copy of
 19 a letter from FDA to Lilly to the attention of
 20 your boss, Gregory Brophy, that is dated January
 21 12th, 2007.
 22 Do you see that?
 23 A. Yes.
 24 Q. And is that the January 12 letter that
 25 was referred to in the FDA's March 27 -- or March

1 28 letter?
 2 A. Yes, it is.
 3 Q. Did we mark that as 2, Exhibit 2?
 4 A. It's 2.
 5 Q. And in the second paragraph of FDA's
 6 January 12th letter they state, quote, Recent
 7 articles in the New York Times reported on
 8 clinical trial data from 70 clinical trials on
 9 Zyprexa that showed patients taking Zyprexa
 10 experienced high blood sugar levels and weight
 11 gain that may have differed from information Eli
 12 Lilly revealed publicly and to the FDA.
 13 Did I read that correctly?
 14 A. Yes.
 15 Q. And if you could drop down to the last
 16 paragraph on the page, FDA says, By this letter
 17 we are asking you to ensure that you are in
 18 compliance with all applicable statutes and
 19 regulations, and we further request that you
 20 submit to the agency all data and information,
 21 including, but not limited to, those referenced
 22 in the recent New York Times articles that bear
 23 on the safety of Zyprexa. In particular, we are
 24 interested in receiving data and analyses bearing
 25 on these concerns about weight gain and

1 hyperglycemia that have not already been
2 submitted to the agency. Additionally, if you're
3 in possession of other information not
4 specifically required to be submitted by statute
5 or regulation, but that would nevertheless be
6 useful to FDA in evaluating the safety of Zyprexa
7 regarding these concerns of weight gain and
8 hyperglycemia, we request that you please submit
9 this information to us as well.

10 Do you see that language?

11 A. Yes.

12 Q. So, basically, what they were -- they
13 were asking for was for Lilly to submit data and
14 analyses about weight gain and hyperglycemia that
15 have not already been submitted, and they were
16 telling you to submit any other information that
17 would be useful to FDA and analyze the safety of
18 Zyprexa regardless of whether such information
19 was specifically required to be submitted by
20 statute or regulation; is that correct?

21 A. That's correct.

22 Q. At any time after receiving this letter
23 in January of 2007, did Lilly tell FDA, no, we
24 are not going to comply with your request to
25 submit information bearing on this issue even if

1 it's not called for by statute or regulation?

2 A. What we did is we -- with receipt of
3 this letter in January of this year -- shortly
4 after receipt, we had a teleconference with FDA
5 to get a better understanding and clarity of what
6 they would like us to submit that we were not
7 required to submit under the regulations, so
8 getting clarity around that.

9 Q. But it's fair to say that Lilly never
10 told the FDA, no, if it's not called for by
11 statute or regulation, we're not giving it to
12 you?

13 A. No.

14 Q. Lilly never said that, right?

15 A. No.

16 Q. Okay. So FDA would have been under the
17 impression that if you had information that bore
18 upon the safety of Zyprexa, you were going to
19 provide it to them even if it wasn't specifically
20 called for by regulation or statute, correct?

21 A. Post -- post this letter we -- we did
22 commit and responded to this request.

23 Q. Suppose that there was a particular
24 document that was found and it was come across,
25 it indicated that Zyprexa was probably causally

1 related to higher blood sugars, who within the
2 team that was working on responding to FDA's
3 requests here, who on the team would have decided
4 whether that was something to be included or not,
5 what was submitted to FDA?

6 A. We had ultimately Dr. Charles Beasley
7 was involved in determining what was deemed as
8 potentially discrepant.

9 Q. You said he was involved, was he the
10 lead play caller on that?

11 A. He was -- there were some additional
12 physicians that were involved in the review.
13 He -- he had the oversight of those definitions.

14 Q. He would have been the most senior
15 person involved in making that decision as to
16 what was discrepant and should be submitted
17 versus what was not?

18 A. That's correct.

19 Q. Okay. I'm going to hand you what's been
20 previously marked as Plaintiff's Exhibit 6128,
21 and this is another e-mail chain, and I'm
22 particularly concerned with the e-mail that is on
23 the second page. I'd direct your attention in
24 particular to the e-mail on the second page which
25 is an e-mail from Charles M. Beasley on March 15,

1 2001 to Andrea Smith, Ernie Anand, Patrizia
2 Cavazzoni, Margaret Sowell and Anna Thornton, the
3 subject being olanzapine and cardiovascular risk.

4 If I could direct your attention to the third
5 line down on that e-mail it states, quote, One
6 thing that we can say definitively is that
7 olanzapine causes weight gain and for
8 approximately 50 percent of patients in trials
9 who remained on the drug for more than six
10 months, the amount of gain was greater than ten
11 pounds. Some patients in clinical trials gained
12 as much as 80-plus pounds. Lacking empirical
13 data to the contrary, it would be ludicrous to
14 say that such a patient is not at long-term
15 increased cardiac risk relative to prior to
16 gaining that weight especially if in temporal
17 association with what weight gain the patient
18 developed an increase in fasting glucose and
19 lipid levels. Could you see what language?

20 A. Yes.

21 Q. That e-mail was not submitted to FDA as
22 part of the response, was it?

23 A. No, it was not.

24 Q. Okay. And have you ever seen that
25 e-mail before I showed it to you today?

1 A. No.
 2 Q. Okay. By the way, the Zyprexa labeling,
 3 even today does not state that olanzapine causes
 4 weight gain, does it?
 5 A. No.
 6 Q. Dr. Beasley said that he could say that
 7 definitively back on March of 2001, correct?
 8 A. Not understanding the overall connection
 9 of what data he's remarking on or the situation,
 10 I don't feel comfortable answering what question.
 11 Q. Okay. I'm going to hand you what's been
 12 previously marked as Plaintiff's Exhibit 7802.
 13 For the record, this is a one-page document, what
 14 appears to be a chart. I'll represent to you
 15 that the database that was provided to us by Eli
 16 Lilly says that document is dated June 24, 2000,
 17 and I'll also represent to you that the database
 18 provided to us by Lilly says what it contains are
 19 the files of Michelle Sharp, and I believe what
 20 Michelle Sharp was, at least once a colleague of
 21 yours in regulatory affairs, correct?
 22 A. That's correct.
 23 Q. And back in 2000, she had responsibility
 24 for Zyprexa, did she not?
 25 A. Yes.

1 Q. Okay. And the title of this document is
 2 Listing of Treatment-Emergent Abnormal Lab
 3 Findings in Olanzapine-Treated Patients,
 4 Placebo-Controlled F1D-MC-HGFU studies one and
 5 two combined. You see that?
 6 A. Yes.
 7 Q. And then are a listing of various
 8 laboratory findings, abnormal laboratory
 9 findings, and do you see that there's a listing
 10 for glucose, nonfasting high?
 11 A. Yes.
 12 Q. And could you see what it indicates what
 13 the percentage of olanzapine patients who had
 14 high glucose was and that the percentage for
 15 placebo patients was 0 percent?
 16 A. Yes, I could.
 17 Q. And could you see what are to the right
 18 of that, several -- several A's, the letter A's?
 19 A. Yes.
 20 Q. Okay. And if you look down at the
 21 bottom there is a little legend as to what the
 22 letters mean.
 23 A. Uh-huh.
 24 Q. And it says, according to A, what the
 25 letter A means quote, event probably causally

1 related. You see that?
 2 A. Yes.
 3 Q. And this document was submitted to FDA
 4 as part of the response in 2007?
 5 A. No, it was not.
 6 Q. In fact, had you ever seen this document
 7 before I showed it to you this morning?
 8 A. No, I have not.
 9 Q. I'm going to hand you what's been
 10 previously marked as Plaintiff's Exhibit 8666,
 11 which is another e-mail chain. I'm concerned
 12 really only with the -- the one on the first
 13 page, which is the last one. It is dated June
 14 27, 2002. It is from Dr. Simeon Israel Taylor to
 15 a number of individuals, and if I can direct your
 16 attention to the last two sentences in the first
 17 paragraph over towards the right. You see where
 18 it starts off, However, two lines from the
 19 bottom?
 20 A. Yes.
 21 Q. It states, However, I feel that we need
 22 to deal with the scientific facts, whatever they
 23 are. Ultimately, I expect that a fair-minded
 24 scholarly evaluation of the available data is
 25 likely to support several conclusions. No. 1,

1 Zyprexa, like other members of the class, causes
 2 weight gain.
 3 Two, like other causes of weight
 4 gain, Zyprexa-induced weight gain probably
 5 increases the risk of diabetes.
 6 Do you see that language?
 7 A. Yes.
 8 Q. And this was not provided to FDA in the
 9 response of 2007, was it?
 10 A. Taking a minute to look through it.
 11 Q. Sure.
 12 A. No.
 13 Q. Okay. And, in fact, had you ever seen
 14 this document before I showed it to you this
 15 morning?
 16 A. No.
 17 Q. Do you recall that about two months
 18 after receiving Part 3 of your submission --
 19 A. Okay.
 20 Q. -- and after having reviewed that prior
 21 submissions that Lilly made to the agency, the
 22 agency wrote to Lilly on August 28th, 2007
 23 requesting that Lilly make substantial changes to
 24 the Zyprexa labeling to protect the public
 25 health?

1 A. They sent us a communication on that
2 date requesting labeling changes.

3 Q. And they did that because they thought
4 it was in the best interest of the public health,
5 correct?

6 A. That was a statement made in that
7 particular letter.

8 Q. Let me hand you what we'll have marked
9 as Plaintiff's Exhibit No. 8.

10 For the record, Exhibit 8 is a
11 letter dated August 28th, 2007 from Thomas A.
12 Laughren to Ms. Wojcieszek. In the third
13 paragraph they said: We have reviewed the data
14 that you have submitted thus far as well as the
15 available literature, and we would like to
16 request that you make the labeling changes listed
17 below pertaining to the effect of olanzapine and
18 Symbyax on body weight, lipids and glucose.

19 Do you see that language?

20 A. Yes.

21 Q. Okay. So, notwithstanding the fact that
22 Lilly had taken the position that the labeling
23 did not need to be changed and the FDA after
24 reviewing all of the material that you had
25 submitted thus far by August 28th, was of the

1 view that, indeed, the labeling did need to be
2 changed, correct?

3 A. That's correct. The one point that we
4 were also getting -- trying to get clarity is
5 what data they were referring to that they had
6 reviewed thus far.

7 Q. Okay. They go on to say: We anticipate
8 that additional labeling changes will be
9 necessary when we have reviewed the results of
10 the additional analyses that we have requested.

11 Do you see that language?

12 A. Yes.

13 Q. Referring back to the FDA's letter in
14 that same paragraph, FDA goes on to say, quote,
15 Given that you're completing these analyses and
16 our review of them will take some time, we
17 believe that it is in the best interest of the
18 public health to make interim labeling changes
19 now based on the data that we already have
20 available.

21 Do you see that language?

22 A. Yes.

23 Q. Okay. But then FDA proceeds to lay out
24 the language that they were suggesting with
25 respect to changes to the warning section,

1 correct?

2 A. Correct.

3 Q. Okay. But with the full contemplation
4 that these changes might well only be interim and
5 that there might be additional changes that may
6 or may not come into play after you submit all of
7 your other data, correct?

8 A. That's correct.

9 Q. Okay. And the first section that they
10 have changes that they request have to do with
11 the hyperglycemia and diabetes mellitus sections
12 of the warnings of Zyprexa, correct?

13 A. Correct.

14 Q. Okay. What they show there is by
15 strikeouts and underlining the language that they
16 want eliminated and the language that they want
17 to replace them, correct?

18 A. Correct.

19 Q. At the end of the first paragraph it
20 states, quote, olanzapine and clozapine
21 treatments have been associated with a greater
22 potential to induce hyperglycemia than other
23 atypical antipsychotics.

24 Do you see that?

25 A. Yes.

1 Q. And what does the word induce mean?

2 A. It means that there's some sort of a
3 relationship of olanzapine and hyperglycemia.

4 Q. Well, in fact, the word "induce"
5 indicates it's a causal relationship, does it
6 not?

7 A. It could mean that.

8 Q. In fact, the ordinary definition, the
9 ordinary dictionary definition of the word induce
10 definitely indicates that it was a causal
11 relationship. If I induce something, that means
12 that I have brought -- brought about that result,
13 correct?

14 You may answer.

15 A. Again, it could be defined that way.

16 Q. And then the FDA also proposed that on
17 the following page a completely new section in
18 the warnings section regarding weight gain,
19 correct?

20 A. Correct.

21 Q. Up until this point in time, Lilly had
22 never discussed weight gain in the warnings
23 section of the Zyprexa labeling, correct?

24 A. At this time, it was not in our current
25 label. However, it was being proposed in the

1 supplemental applications for TRD in adolescents.
 2 Q. And when was that proposed?
 3 A. That was in 2000 -- late 2006.
 4 Q. And then also in this letter, the FDA
 5 was requesting a completely new section on -- in
 6 the warnings section regarding hyperlipidemia,
 7 correct?
 8 A. That's correct.
 9 Q. Now, hyperlipidemia refers to fats in
 10 the blood, correct?
 11 A. It -- it refers to -- yes, things such
 12 as triglycerides, cholesterol, lipids, correct.
 13 Q. That was what hyperlipidemia means, is
 14 altered levels of triglycerides and cholesterol,
 15 correct?
 16 A. Correct.
 17 Q. And after receiving this letter in which
 18 FDA laid out the language it wanted to see in the
 19 labeling, Lilly did not accept the language
 20 requested by FDA and, instead, sought to change
 21 the language, correct?
 22 A. In response to this -- this
 23 communication, we initiated discussions and
 24 proposals with FDA shortly after receipt.
 25 Q. Lilly did not accept the language that

1 was laid out by the FDA in their August 28th,
 2 2007 letter, correct?
 3 A. We provided our proposal in response to
 4 their request based on data that we had available
 5 short -- you know, during this time frame.
 6 Q. Your response to FDA was not -- okay,
 7 we'll make the label change that you requested,
 8 correct?
 9 A. Correct.
 10 Q. Okay. If I could have -- we'll mark as
 11 the next exhibit Plaintiff's Exhibit 9.
 12 And for the record, Exhibit 9 is a
 13 document entitled FDA Briefing Document. At the
 14 upper left-hand corner, it says Revised September
 15 12th, 2007.
 16 A. That's correct.
 17 Q. And was this actually submitted to FDA?
 18 A. It was -- the information included in
 19 this was e-mailed to FDA.
 20 Q. Okay.
 21 A. In preparation for our meeting on
 22 September 17th.
 23 Q. And I'm going to hand you what I've
 24 marked as Exhibit No. 10, but keep Exhibit 9
 25 handy.

1 A. Okay.
 2 Q. A document which purports to be meeting
 3 minutes of a meeting between FDA and Lilly on
 4 September 17, 2007.
 5 A. That's correct.
 6 Q. And did you prepare the minutes that are
 7 in Exhibit 10?
 8 A. Yes. In addition to my colleague
 9 Catherine Melfi from regulatory who was also in
 10 attendance.
 11 Q. If I can direct your attention to the
 12 last two sentences of the warning language that
 13 Lilly had proposed after FDA's request. They
 14 start at the very, very bottom of the page, the
 15 last three words on the page, In contrast.
 16 A. Yep.
 17 Q. Okay. They say, quote, In contrast, the
 18 association between atypical antipsychotics and
 19 glycemic control appears to fall along a
 20 continuum although relative risk estimates have
 21 been inconsistent. Clozapine appears to have the
 22 greatest association while olanzapine may have a
 23 slightly greater association between quetiapine
 24 and risperidone and greater association than
 25 ziprasidone.

1 Did I read that correctly?
 2 A. Yes.
 3 Q. But what you have done in that -- what
 4 was done in that section was to take out any
 5 reference to a causal relationship, correct?
 6 A SPEAKER: Objection to the form.
 7 A. What we included was in response to
 8 FDA's request around that last statement that
 9 they made in their August 28th letter, that last
 10 paragraph around that statement of olanzapine
 11 treatments have been associated with a greater
 12 potential to induce hyperglycemia than other
 13 atypical antipsychotics. We were responding with
 14 data that we had available looking at our
 15 internal studies of head-to-head comparisons of
 16 olanzapine versus other atypicals and some other
 17 external literature that we felt was a more
 18 appropriate statement around changes in glucose
 19 measures.
 20 Q. But you took about -- you took out any
 21 reference to language that indicates a causal
 22 relationship?
 23 A. We -- we did not include that in our
 24 proposal.
 25 Q. Okay. And, in fact, to this day, Lilly

1 denies that olanzapine can induce or cause
 2 hyperglycemia, correct?
 3 A. We don't feel that the -- that we have
 4 data to support that particular statement FDA
 5 included.
 6 Q. If I can have you look at Exhibit 10,
 7 please, which is the minutes of the September 17,
 8 2007 meeting Lilly had with the FDA. And was
 9 this meeting at FDA headquarters?
 10 A. Yes, it was.
 11 Q. Okay. Would Dr. Thomas Laughren had
 12 been the leader of the FDA side?
 13 A. Yes.
 14 Q. Okay. And within the Lilly
 15 participants, was there one leader?
 16 A. I facilitated the meeting and Dr. Corya
 17 was the medical lead, so the two of us
 18 co-facilitated the discussion.
 19 Q. Okay. And the purpose of this meeting
 20 was to discuss Lilly's response to FDA's August
 21 28, 2007 letter, and just so I guess the record
 22 is clear, the response that you're referring to
 23 there would have been what we had marked as
 24 Exhibit 9; is that correct?
 25 A. Yes.

1 Q. Okay.
 2 A. Yes.
 3 Q. And if I can direct your attention to
 4 the following page. It appears that at this
 5 meeting Lilly outlined three main labeling
 6 concepts that Lilly wanted to have for an
 7 inclusion in the hyperglycemia warning; is that
 8 correct?
 9 A. It outlines three of the main objectives
 10 of our proposal compared to what FDA had
 11 proposed.
 12 Q. And those three main concepts are laid
 13 out on the second page of Exhibit 10, correct?
 14 A. That's correct.
 15 Q. Okay. And then the first one you note
 16 in your minutes that FDA requested removal of the
 17 statement regarding background risk for
 18 hyperglycemia in patients with schizophrenia, and
 19 Lilly believes that this is important information
 20 for labeling, correct?
 21 A. Correct.
 22 Q. And FDA agreed that that statement could
 23 be retained in the revised labeling, correct?
 24 A. Correct.
 25 Q. Point 2 was FDA's requested labeling

1 recommends that all patients on olanzapine should
 2 be monitored regularly for worsening of glucose
 3 control, and that was different from what had
 4 been before, correct?
 5 A. That's correct.
 6 Q. There had been -- the labeling change
 7 that was made in 2003 had suggested that there be
 8 monitoring of glucose for patients who had
 9 diabetes or risk factors for diabetes, correct?
 10 A. Correct.
 11 Q. And here FDA was -- was recommending
 12 that -- that all patients on olanzapine should be
 13 monitored regularly for worsening of glucose
 14 control regardless of whether they had diabetes
 15 or risk factors for diabetes, correct?
 16 A. That's correct.
 17 Q. Okay. And in the minutes here indicates
 18 that Lilly accepts the recommended monitoring,
 19 however, Lilly believes that the recommendation
 20 should cover the class atypical antipsychotics,
 21 correct?
 22 A. That's correct.
 23 Q. Okay. And as far as you know, sitting
 24 here today, you're not aware of any such change
 25 to the other labeling of atypical antipsychotics

1 saying there should be monitoring of every
 2 patient, correct?
 3 A. That's correct.
 4 Q. Okay. And then in italics at the bottom
 5 of that section, you note what FDA's response
 6 was, correct?
 7 A. Right.
 8 Q. And that was that FDA is not convinced
 9 that all patients on atypical antipsychotics
 10 require the same level of monitoring, but does
 11 agree with Lilly's assertion that all patients
 12 should get baseline glucose measurements,
 13 correct?
 14 A. Correct.
 15 Q. Okay. And then Point 3 stated that
 16 FDA's requested labeling places olanzapine and
 17 clozapine in the same category in terms of
 18 association with glucose dysregulation. However,
 19 Lilly asserted that available data including both
 20 Lilly clinical trial data and the available
 21 literature support a differential association
 22 between clozapine and olanzapine and reiterated
 23 the belief that the association between
 24 antipsychotics and glucose dysregulation appears
 25 to fall on a continuum.

1 Did I read that correctly?
 2 A. Yes, you did.
 3 Q. And then you note there that FDA agreed
 4 that there is a continuum on which the atypicals
 5 fall in terms of association with -- with glucose
 6 disregulation, correct?
 7 A. Correct.
 8 Q. By the way, with respect to the
 9 monitoring of all patients that FDA was insisting
 10 on here in 2007, that had been required by the
 11 Japanese label in -- as of April of 2002,
 12 correct?
 13 A. That's my understanding based on the
 14 history. I was not involved in that.
 15 Q. So, at least --
 16 MR. SUGGS: Your Honor, that
 17 concludes the deposition of Ms. Wojcieszek.
 18 THE COURT: Okay. Could we take
 19 the screen down, please.
 20 MR. SUGGS: We do have some
 21 documents that we need to have admitted or --
 22 and/or published. I don't know if you want to do
 23 that now --
 24 THE COURT: It's five after, and I
 25 don't want to have the jury sit here and go

1 through the documents today.
 2 MR. SUGGS: Very well. Thank you.
 3 THE COURT: Ladies and gentlemen of
 4 the jury, this brings us to conclusion of our
 5 trial day. I'd ask if you'd be here at 8:30, 20
 6 after 8:00 tomorrow so that we can resume --
 7 again, I would remind you, please do not discuss
 8 this case among yourselves or allow anyone to
 9 discuss it with you, and please try to keep an
 10 open mind until you've heard all the evidence in
 11 this case. I also remind you to please not view
 12 any TV stories or newspaper articles or do any
 13 Internet searches concerning the subject matter
 14 of the lawsuit.
 15 I'll excuse you for the day and
 16 I'll see you tomorrow.
 17 (Jury out.)
 18 THE COURT: If any documents are
 19 circulating, if you could leave them there on the
 20 corner of the bench.
 21 Please be seated. We're outside
 22 the presence of the jury.
 23 Mr. Suggs, what -- I want to
 24 briefly do this, because I have people coming in
 25 at a quarter of, so I need you to get your stuff

1 moved up quickly.
 2 MR. SUGGS: Okay.
 3 THE COURT: What are the other
 4 documents that need to be admitted?
 5 MR. LEHNER: Do you want us to look
 6 at those this afternoon and just do it first
 7 thing in the morning?
 8 THE COURT: Let's do it first thing
 9 in the morning. One question from myself: In
 10 Lilly's depositions counterdesignations and
 11 objections to the deposition of Dr. Breier, on
 12 the pages that deal with -- there's a number of
 13 counterdesignations and then there are some that
 14 are highlighted and have got checks with them.
 15 I'm assumed it's just the checked ones that you
 16 want included for review of completeness
 17 purposes?
 18 MR. LEHNER: I think the
 19 checkpoint -- they are highlighted, but the
 20 highlighting didn't turn out so well. I went
 21 through and put the checkmarks.
 22 THE COURT: You wanted to
 23 double-check.
 24 MR. ALLEN: Not tonight, but
 25 tomorrow I'll work with them. I've taken a pen

1 and slashed some out prior in an attempt to try
 2 to get it shorter --
 3 THE COURT: Again, I don't think
 4 I'll get to it tonight. If you have something
 5 that I have -- I don't review --
 6 MR. LEHNER: Since it's now less
 7 than 24 hours, what's on the agenda for tomorrow,
 8 Your Honor?
 9 MR. ALLEN: Dr. Hopson. I've got
 10 depositions of -- I'm going to look at it. Eski,
 11 Joey Eski, but I'm going to look at the Court's
 12 rulings to see whether I want to play it
 13 tomorrow, or hold until we see how the evidence
 14 develops. But potentially Joey Eski, Dr. Hopson,
 15 I would assume Dr. Lechleiter, Dr. John
 16 Lechleiter, the CEO's deposition and Gary
 17 Toleffson. And then, if time, is it Baker that
 18 we have?
 19 MR. SUGGS: No.
 20 MR. ALLEN: Kinon?
 21 MR. SUGGS: Yes.
 22 MR. ALLEN: Dr. Bruce Kinon. It's
 23 a matter of timing, Your Honor, on the clock.
 24 THE COURT: All of those I made
 25 rulings on. Everybody. Hopson would be the only

1 live witness. That answers your question.

2 MR. LEHNER: That does answer my
3 question.

4 MR. FIBICH: Your Honor, I have one
5 final issue, if I may. We have gone to the
6 trouble of having notebooks done, I think rather
7 nicely with all the PDRs. We're still in the
8 Plaintiff's case. We've marked this. I think
9 it's a good way to present it so if there's any
10 comparison needed, they can do it easily. We've
11 marked this as State Exhibit 10160, and we offer
12 it into evidence.

13 THE COURT: Okay and you were going
14 to look that over tonight --

15 MR. LEHNER: Yes, we'd like to look
16 it over, and then we'll let you know our views
17 tomorrow morning.

18 THE COURT: Again, my understanding
19 was that after the juror asked this question and
20 rather than have the witness at that time read
21 particular portions, there was a dialogue that we
22 had about letting the jury have copies of the
23 PDRs through the years so that there was no
24 dispute about that, and I thought that's where we
25 were all heading. But I'll let Lilly --

1 lag time is on these things. I certainly would
2 be willing to include -- discuss including that
3 to the jury as well so they know these are what
4 the PDRs are but they know that there's an
5 8-month or 12-month, whatever the month period of
6 time is for lags. It strikes me that that would
7 be fair to both sides.

8 MR. ALLEN: They can make a
9 proposal, Your Honor. Of course, as we said all
10 along. We're in our case and we want to put
11 something in. If they want to rebut it, I guess
12 under 104(b) they can. But the evidence is
13 clear. Just so I don't want any confusion with
14 the Court, the various manufacturers do submit
15 the information to the PDR and the PDR is
16 published annually.

17 THE COURT: I understand.

18 MS. GUSSACK: My only point to the
19 extent it was a question from a juror, we'd like
20 to be able to frame a response and be heard on
21 how that response should be handled so there's
22 not confusion.

23 THE COURT: You may do that.

24 MS. GUSSACK: Thank you,
25 Your Honor.

1 MR. LEHNER: I'm going to look at
2 it for completeness.

3 MR. FIBICH: Whether we had that
4 dialogue, which the Court is correct, we did,
5 we're still offering it in spite of that.

6 THE COURT: I understand that.
7 Whether we need a witness or don't or whether we
8 can agree on this, we'll see, but --

9 I'll let you look at it for
10 completeness. I thought there was an
11 understanding subject to certainly that that
12 what's we were going to give the jury.

13 MS. GUSSACK: Your Honor, just on
14 that point. As I recall, Your Honor asked a
15 question of the witness about the fact that the
16 PDR wasn't within Lilly's control and wasn't that
17 a publication issue. And we certainly have
18 evidence that we can bring to the Court's
19 attention tomorrow as to why the PDR -- and I
20 think there's a concern about it being more
21 confusing than clarifying for the jury.

22 THE COURT: Again, I won't preclude
23 you from putting in evidence or even
24 stipulations, because it would seem to me that it
25 ought to be known to both of you as to what the

1 MR. LEHNER: Last but not least.
2 We'll take up tomorrow morning, you wanted to do
3 an additional proffer of the Jordan testimony.
4 We had some objections in light of what we heard
5 today but we'll let you know that for tomorrow
6 morning.

7 MR. ALLEN: Your Honor, I'm going
8 to have to get a record of what you cut out and
9 have it reviewed.

10 THE COURT: Again, just like they
11 have submitted to me, I guess, requests for
12 reconsideration on some of my rulings as to what
13 was in or what was out on a deposition, you are
14 free to do that. And I guess I invited that to
15 the extent there was something about the number
16 of people that were off-label or weren't
17 off-label when we were talking about numbers.

18 MR. ALLEN: Yes, sir.

19 THE COURT: Anything else?

20 MR. ALLEN: No, sir.

21 THE COURT: We'll be off record and
22 have a nice afternoon.

23 THE CLERK: Please rise. Superior
24 Court now stands in recess. Off record.

25 (Court adjourned at 1:32 p.m.)

1 REPORTER'S CERTIFICATE

2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, SANDRA M. MIEROP, Certified Realtime Reporter and Notary Public in and for the State of Alaska do hereby certify:

That the proceedings were taken before me at the time and place herein set forth; that the proceedings were reported stenographically by me and later transcribed under my direction by computer transcription; that the foregoing is a true record of the proceedings taken at that time; and that I am not a party to, nor do I have any interest in, the outcome of the action herein contained.

IN WITNESS WHEREOF, I have hereunto subscribed my hand and affixed my seal this 11th day of March, 2008.

SANDRA M. MIEROP, CRR, CCP
Notary Public for Alaska
My commission expires: 9/18/11