

IN THE UNITED STATES DISTRICT COURT  
FOR THE NORTHERN DISTRICT OF ILLINOIS  
EASTERN DIVISION

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

WENDY B. DOLIN, Individually  
and as Independent Executor  
of the Estate of STEWART  
DOLIN, Deceased,

Plaintiff,

-vs-

SMITHKLINE BEECHAM  
CORPORATION, d/b/a  
GLAXOSMITHKLINE, a  
Pennsylvania corporation,

Defendant.

Case No. 12 CV 6403

Chicago, Illinois  
April 4, 2017  
1:30 p.m.

VOLUME 13-B  
TRANSCRIPT OF PROCEEDINGS - Trial  
BEFORE THE HONORABLE WILLIAM T. HART, and a Jury

APPEARANCES:

For the Plaintiff: BAUM HEDLUND, ARISTEI & GOLDMAN, P.C.  
BY: Mr. R. Brent Wisner  
Mr. Michael L. Baum  
12100 Wilshire Boulevard  
Suite 950  
Los Angeles, California 90025  
(310) 207-3233

RAPOPORT LAW OFFICES, P.C.  
BY: Mr. David E. Rapoport  
Mr. Matthew S. Sims  
20 North Clark Street  
Suite 3500  
Chicago, Illinois 60602  
(312) 327-9880

Court Reporter:

CHARLES R. ZANDI, CSR, RPR, FCRR  
JUDITH A. WALSH, CSR, RMR, RDR, FCRR, CCP  
219 South Dearborn Street, Room 2128  
Chicago, Illinois 60604  
Telephone: (312) 435-5387  
email: Charles\_zandi@ilnd.uscourts.gov

1 APPEARANCES: (Continued)

2 For the Defendant:

KING & SPALDING  
BY: Mr. Todd P. Davis  
Mr. Andrew T. Bayman  
1180 Peachtree Street, N.E.  
Atlanta, Georgia 30309  
(404) 572-4600

6

KING & SPALDING, LLP  
BY: Ms. Ursula M. Henninger  
100 North Tryon Street  
Suite 3900  
Charlotte, North Carolina 28202  
(704) 503-2631

9

10

SNR DENTON US, LLP  
BY: Mr. Alan Scott Gilbert  
233 South Wacker Drive  
Suite 7800  
Chicago, Illinois 60606  
(312) 876-8000

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (Proceedings heard in open court, jury not present:)

2 [REDACTED]

3 [REDACTED]

4 [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1

[REDACTED]

2

[REDACTED]

3

[REDACTED]

4

[REDACTED]

5

[REDACTED]

6

[REDACTED]

7

[REDACTED]

8

[REDACTED]

9

[REDACTED]

10 (Jury enters courtroom.)

11 THE COURT: All right. Thank you very much, ladies  
12 and gentlemen. Please be seated.13 Ladies and gentlemen, you may be wondering about the  
14 length of the trial, as am I. And I'm told by counsel that  
15 they expect to finish next week, so we're hoping that  
16 everything will move along at that rate. I wouldn't venture  
17 to say when though, but next week.

18 All right. You may proceed.

19 MR. DAVIS: Thank you, your Honor. Counsel, ladies  
20 and gentlemen of the jury.

21 ROBERT GIBBONS, DEFENDANT'S WITNESS, DULY SWORN.

22 DIRECT EXAMINATION (Resumed)

23 BY MR. DAVIS:

24 Q. Dr. Gibbons, let's pick up where we left off.

25 MR. DAVIS: Your Honor, I'd ask permission to publish

1 DX 7035-I, which is a new slide that I handed your clerk over  
2 the break. And I've consulted with Mr. Wisner on this.

3 MR. WISNER: Your Honor, we have no objection.  
4 Provided it's in the context of a statistical approach, we  
5 have no objection.

6 THE COURT: All right. Proceed.

7 MR. DAVIS: Thank you.

8 BY MR. DAVIS:

9 Q. Dr. Gibbons, in terms of ranking the evidence as a  
10 biostatistician and researcher, can you tell us how you go  
11 about ranking the different types of scientific information in  
12 order to assess whether or not a medication is increasing the  
13 risk of suicidal thoughts or behavior?

14 A. So, we begin with a large randomized controlled trial,  
15 single trial that is -- has the benefits of randomization;  
16 and we analyze the randomized part, the double-blind part.  
17 And it's important that it's placebo-controlled, so that there  
18 are people who are receiving an inert substance like a sugar  
19 pill.

20 The second stage in the hierarchy is to look for  
21 consistency across multiple, similarly-designed studies,  
22 randomized controlled trials. And we use meta-analysis for  
23 that, research synthesis, statistical approaches to combining  
24 the information --

25 THE COURT: Tell the jury what you mean by

1 meta-analysis so we don't lose you.

2 BY THE WITNESS:

3 A. Yes, sir. Meta-analysis is a way of combining the  
4 information from multiple studies that are essentially  
5 studying the same thing. So, these would be a series of five  
6 or 10 or 20, or in the case of the FDA, 372 randomized  
7 controlled trials that compared, for example, paroxetine or  
8 other SSRIs to a placebo.

9           And meta-analysis is the statistical procedure that  
10 combines that information across that series of studies, comes  
11 up with an overall estimate of the effect, maybe a relative  
12 risk or an odds ratio, and then describes our uncertainty in  
13 that. How much variability is there in that? How consistent  
14 is it over the different studies that have been combined?

15           That's what meta-analysis is. And it's a form of  
16 research synthesis, and it's a statistical approach to the  
17 combining of information across multiple trials.

18           From a simple common sense perspective, we want to  
19 make sure that one large study we looked at is reproducible  
20 over multiple examples of that kind of study. And so this is  
21 looking for consistency across those studies.

22           We then want to know: To what extent does this  
23 generalize to the overall population? And there, we look at  
24 observational studies. What's an observational -- an  
25 observational study is a study where we might take medical

1 claims data, insurance data, where we know whether or not  
2 somebody filled a prescription for paroxetine, as an example,  
3 and then we have claims for different events. We might from  
4 those claims know whether or not that person made a suicide  
5 attempt.

6           And we look at hundreds of thousands, in some cases  
7 millions of these records, and we can determine whether or not  
8 people who were taking paroxetine or an antidepressant were  
9 different in terms of the rate of suicide attempts that they  
10 made relative to people who did not receive antidepressant  
11 treatment.

12           And this kind of strategy can be done in a variety  
13 of different ways, both between individuals who took a drug  
14 and didn't take a drug, or within individuals during periods  
15 of time where they were taking a drug versus times that they  
16 weren't taking a drug.

17           The difference between that and a randomized  
18 controlled trial is in a randomized controlled trial, through  
19 randomization, we get to balance those people who took the  
20 drug versus didn't take the drug. They're assigned to the  
21 drug or placebo based on a random process.

22           In the observational data, they're assigned to the  
23 drug based on characteristics that may lead their doctor to  
24 prescribe the drug. So, we might expect that the people who  
25 are more severely ill will receive a drug rather than

1 psychotherapy, as an example. So, there's the potential for  
2 bias, meaning that there's a potential for alternate  
3 conclusions to creep into the analysis.

4 But the advantage is we can look at very large  
5 populations, and we can see: To what extent do the results  
6 from the randomized controlled trials from the meta-analysis  
7 generalize to the overall population?

8 Finally, the lowest level on the hierarchy, published  
9 case reports, including challenge, de-challenge, rechallenge,  
10 uncontrolled healthy volunteer data. These data are important  
11 as well. They're important for generating hypotheses that we  
12 can then test scientifically using the methods that are above  
13 them, randomized controlled trials, meta-analysis, and large  
14 scale observational data.

15 MR. WISNER: Objection, your Honor. Move to strike  
16 the portion of his testimony dealing with more severe patients  
17 requiring drug, as opposed to psychotherapy. He's not a  
18 medical doctor or psychotherapist and cannot offer that  
19 opinion.

20 MR. DAVIS: Your Honor, I don't believe that --

21 THE COURT: It may stand. Proceed.

22 BY MR. DAVIS:

23 Q. You mentioned meta-analysis. Was the GSK analysis done in  
24 2006 on adult suicidality, was that a meta-analysis?

25 A. Yes, it was.



1 Q. Was the FDA's analysis in 2006 a meta-analysis?

2 A. Yes.

3 Q. Now, tell us -- help us out, Dr. Gibbons. If  
4 randomized -- if a meta-analysis is a combination of  
5 placebo-controlled, randomized controlled trials, why does  
6 it -- and it's a combination or pooling of that, why does it  
7 rank No. 2, as opposed to --

8 THE COURT: Don't talk so fast, sir. The court  
9 reporter wants to get every word you say.

10 MR. DAVIS: Okay. Let me know if I'm going too fast,  
11 Charles. Thanks.

12 BY MR. DAVIS:

13 Q. Given that meta-analysis is a combination of  
14 placebo-controlled randomized trials, why is that on the  
15 second level and not at the top of the list?

16 A. So, a meta-analysis that combines multiple randomized  
17 controlled trials is not the same thing as having one really  
18 large randomized controlled trial where randomization is done  
19 from that particular sample from the population.

20 So, for example, meta-analyses may include people  
21 who received medication for different indications. Some of  
22 these people might have had depression. Some of these people  
23 might have had a social anxiety disorder. So, there's  
24 variability across the studies.

25 Some of the people may have received paroxetine in

1 certain studies. Others may have received sertraline, a  
2 different antidepressant. So, there's more heterogeneity  
3 across the particular medications that are used, the  
4 particular population that is sampled in terms of what their  
5 diagnosis was. There may be differences between the ages of  
6 the people in the different studies.

7 All of those things can creep into a meta-analysis;  
8 whereas, in a large observation -- in a large randomized  
9 controlled trial, all of those different features would be  
10 balanced in terms of getting the drug or getting the placebo.  
11 That's the fundamental difference.

12 Q. Doctor, can you give the jury an example of where there  
13 was a question or hypothesis that was raised in a published  
14 case report where it raised the question of whether medication  
15 or other exposure caused some type of disorder and then it was  
16 investigated by well-controlled studies and that didn't turn  
17 out to be the case?

18 A. Sure.

19 MR. WISNER: Objection. Relevance. It's not related  
20 to antidepressants or suicide.

21 MR. DAVIS: It's just background information about  
22 how the process works, your Honor.

23 THE COURT: I'm going to sustain the objection.  
24 Let's stay on the topic.

25 BY MR. DAVIS:

1 Q. In terms of your -- the view of looking at controlled  
2 studies, as opposed to published case reports, to assess  
3 whether a medication increases the risk of, for example,  
4 suicidal thoughts or behavior, as a statistician and a  
5 researcher, do you believe that that's the generally accepted  
6 view?

7 A. Absolutely.

8 Q. The jury has heard about the Teischer and Cole article  
9 that was published in 1990 and discussed patients on Prozac  
10 who reported suicidal thoughts or behavior. Is that -- is  
11 that a case report?

12 A. Yes, it is.

13 Q. Now, can that case report make a determination that Prozac  
14 or any other SSRI, such as paroxetine, increases the risk of  
15 suicidal thoughts or behavior?

16 A. No, not in and of itself.

17 Q. Let's turn our attention to the 2006 GSK adult suicidality  
18 analysis. When did GSK -- when did that take place?

19 A. The 2006 study?

20 Q. Yes.

21 A. Well, it was published in 2006.

22 Q. Yes. Now, before -- was GSK the only manufacturer that  
23 did that kind of analysis?

24 A. No. Many of the manufacturers conducted these kinds of  
25 research syntheses.

1 Q. Before that process was started, did FDA give instructions  
2 to the antidepressant manufacturers about what information  
3 must be used to assess the risk of suicidal thoughts or  
4 behavior in adult patients?

5 A. Yes, they did.

6 Q. And can we call up, please, Joint Exhibit 13 at pages 50  
7 and 51, Mr. Holtzen.

8 What were the instructions from the FDA about what  
9 information to look at for purposes of assessing the risk of  
10 adult suicidality?

11 A. So, their interest was in the controlled phases of  
12 randomized controlled trials that included a placebo  
13 comparison group, and they only wanted to see the controlled  
14 phases, the phases that were subject to randomization and the  
15 patients and the clinicians were blinded to the treatment  
16 status of the individuals.

17 Q. To assess the risk of suicidal thoughts or behavior in  
18 adult patients, did FDA ask for adverse event information from  
19 either open label studies, open label extension studies, or  
20 active control studies?

21 A. No. They specifically asked not to receive that  
22 information.

23 Q. From the perspective of a biostatistician and researcher  
24 who analyzes these kinds of studies, do you believe that that  
25 was the right thing to do scientifically?

1 A. Yes, I do.

2 Q. Why is that?

3 A. Well, if we start to add in uncontrolled portions of these  
4 studies, it can result in bias in our conclusions. We can get  
5 the wrong answer.

6           These are the highest quality data, the data that are  
7 part of the active controlled studies. There's a comparator  
8 for every moment during the study where patients are treated  
9 with an active medication and compared to a placebo control.  
10 The clinicians are blinded, as are the patients.

11 Q. Can we please call up JX 13-01, second-to-last paragraph  
12 of the page.

13           Is this another instruction from FDA where FDA  
14 informed the manufacturers about how to do the study that it  
15 wanted done?

16 A. Yes, it is.

17 Q. Now, in this particular set of instructions, it says that  
18 the FDA only wanted double-blind placebo-controlled trials,  
19 and they also wanted information that stopped after one day  
20 after the trial concluded.

21           My question to you, Dr. Gibbons, is: From a  
22 statistician -- biostatistician and researcher who has  
23 reviewed and analyzed these kinds of studies, was that the  
24 right thing to do scientifically?

25           MR. WISNER: Objection. He's not a medical doctor.

1 He cannot testify about withdrawal reactions or how a drug  
2 affects someone when they're discontinuing a medication. It's  
3 an improper opinion.

4 MR. BAYMAN: I don't think it went to that, your  
5 Honor.

6 THE COURT: To the extent of his knowledge of  
7 statistics, he may testify.

8 MR. DAVIS: Thank you.

9 BY THE WITNESS:

10 A. Yes. This is the appropriate time period, so this quote  
11 adds in the period of time for the observation and raises the  
12 issue of one day after discontinuation, and that is the  
13 appropriate time period to analyze the active control part of  
14 the study.

15 BY MR. DAVIS:

16 Q. Why is that, Dr. Gibbons?

17 A. After the study is over, both the clinicians and the  
18 patients will be unblinded, and now you will know what it was  
19 that you were taking; and if you have an expectation, that may  
20 influence the likelihood of spontaneously reporting a  
21 particular adverse event. It could be a suicide attempt. It  
22 could be --

23 THE COURT: I think we've heard this before.

24 MR. DAVIS: Okay. I'll move on, your Honor.

25 MR. WISNER: Move to strike as speculation and

1 improper opinion. He doesn't know anything. He's not done  
2 these trials.

3 THE COURT: Well, from the standpoint of an expert in  
4 the field of these kind of calculations, he may testify. But  
5 we've heard this before, so let's not hear over again what  
6 we've heard before.

7 MR. DAVIS: Thank you, your Honor.

8 BY MR. DAVIS:

9 Q. When assessing the studies to be included, did FDA's  
10 instructions to the manufacturer let the manufacturers decide  
11 what studies to include or not include?

12 A. No. It began with a listing of studies, a listing of all  
13 of the studies. FDA reviewed these studies and then contacted  
14 the manufacturers to review that list and find out whether or  
15 not there were any additional studies that the manufacturer  
16 thought should be added or any reasons that the studies that  
17 FDA had requested should not be included. That dialogue went  
18 back and forth until the final list of studies was selected by  
19 the FDA.

20 Q. And we've got called up joint appendix -- excuse me, Joint  
21 Exhibit 13 at 13-047, and are we looking at the instructions  
22 from the FDA that basically set out the procedure that you  
23 just described?

24 A. Yes.

25 Q. All right. Now, according to the instructions that were

1 given to the manufacturers about how to do this analysis and  
2 what data to supply the FDA, did the FDA also inform the  
3 manufacturers that if there was a determination that there  
4 was an event that should not be included because it was a  
5 false positive, was that enough, or did the FDA require more  
6 information?

7 A. So, the FDA wanted a listing of all of the suicidal  
8 events, suicidal thoughts, suicidal behavior, suicidal  
9 completion. In addition, they wanted to have a listing of  
10 the events that the manufacturer felt were false positives,  
11 that were events that could have been construed as a suicidal  
12 event, but in the opinion of the manufacturer, they weren't.

13 FDA wanted a complete list of all of those events so  
14 that they could review for themselves and determine whether or  
15 not those events should be classified as suicidal events.

16 Q. After it was agreed upon concerning the studies to include  
17 and the adverse events to be included both on paroxetine and  
18 placebo, what was the next step in the process for how the  
19 manufacturers went -- were instructed to go about doing this  
20 analysis?

21 A. So, the narratives for the final listing of events that  
22 were agreed upon by the FDA were then sent blinded to Columbia  
23 University, to the department of psychiatry, to the group of  
24 people that do the blind adjudication of these events using  
25 the Columbia suicide classification scale.



1           So, this is a methodology that's used to review  
2 narratives, case reports about a particular suicide event for  
3 a given individual and then determine whether or not it was  
4 indeed a suicidal event, whether or not it was an example of  
5 ideation, preparation, an attempt, or, of course, completion.

6           So, these data were reviewed by this expert  
7 scientific team at Columbia University, and then the reviewers  
8 at Columbia did not know whether or not this was a patient who  
9 had received placebo or was on an active treatment, so they  
10 were not biased in any way, if there was any potential bias.

11           And those blinded adjudicated data then became the  
12 outcomes used in FDA's analysis of those data, meta-analysis.

13 Q. You mentioned the Columbia classification scheme for  
14 suicidality events. What role did FDA play in developing that  
15 classification system?

16 A. The -- this -- that classification system was developed at  
17 Columbia by researchers at Columbia University in the  
18 department of psychiatry; and it was utilized by the FDA, but  
19 the FDA had no part in doing that adjudication.

20 Q. How would you describe the methodology that was developed  
21 by these experts in suicidality at Columbia University?

22 A. It's the leading methodology available even to this day.  
23 It's extremely good work.

24 Q. I'd like to show you Joint Exhibit 13 at 13-013, Table 3.  
25 You mentioned the classification scheme, Dr. Gibbons,

1 developed by the experts at Columbia University. Is this the  
2 categories that were developed?

3 A. These would be the resulting categories, where a  
4 particular event would be categorized, selected for a  
5 particular category.

6 Q. So, for the analysis that GSK had to do -- that GSK did in  
7 2006, what was the primary analysis or end point that was --  
8 that FDA instructed them to use?

9 A. It would be the combination of the first four categories,  
10 so, completed suicide, suicide attempt, preparatory acts  
11 towards imminent suicidal behavior, and suicidal ideation.

12 Q. What was the secondary subgroup analysis?

13 A. It would be the first three, so it would have excluded  
14 suicidal ideation. So, it would have included preparatory  
15 acts, suicide attempts, and completed suicides.

16 Q. From a statistical standpoint as a biostatistician and a  
17 researcher in the field, do you have an opinion about whether  
18 that was the right assessment to make in terms of identifying  
19 the primary end point?

20 A. Yes, I do.

21 Q. What's your opinion?

22 A. I think that was the appropriate primary end point for the  
23 following reason. One of the problems in analyzing suicide  
24 data is that it's a rare event, and we need extremely large  
25 sample sizes in order to have the statistical power to detect

1 a real drug-related effect if it's there. By including  
2 suicidal ideation, we have a more frequent, a more prevalent  
3 outcome. The more prevalent the outcome, the more power we  
4 have to detect a real drug effect if it is there.

5 So, I think it was an extremely good idea to include  
6 suicidal ideation as a part of the combined end point. I also  
7 think it was a good idea to follow as a secondary end point  
8 looking at suicidal behavior as a sensitivity analysis.

9 Q. When you say sensitivity analysis, what do you mean?

10 A. I mean a follow-up analysis based on the results of the  
11 primary analysis.

12 Q. From someone who's a statistician, who's done research in  
13 biostatistics and interpreting these kinds of studies, do you  
14 believe that the selection by FDA of suicidal ideation or  
15 behavior as the primary end point was arbitrary?

16 A. No. I believe it was done exactly for that reason, to  
17 have greater statistical power --

18 MR. WISNER: Objection. Speculation as to why the  
19 FDA did it.

20 MR. DAVIS: That wasn't my question, your Honor.

21 MR. WISNER: He says why they did it. It's the FDA.  
22 That's the definition of speculation.

23 THE COURT: Let's go back to the original question.  
24 What was the question? Read it back, please.

25 (Record read.)

1 THE COURT: Was what? Arbitrary?

2 MR. DAVIS: Arbitrary.

3 MR. WISNER: The problem is with the answer, your  
4 Honor. He goes on to say -- sorry. I should let him do it.

5 THE COURT: He may answer.

6 MR. DAVIS: Thank you.

7 BY THE WITNESS:

8 A. No, I don't think it was arbitrary. I think they made the  
9 right selection, for the reasons that I stated.

10 BY MR. DAVIS:

11 Q. From a scientific viewpoint of a biostatistician and  
12 researcher who has interpreted and analyzed these kinds of  
13 studies for a living, do you believe it would have been more  
14 appropriate to make the primary end point suicidal behavior,  
15 as opposed to suicidal thoughts and behavior?

16 A. No. I believe the primary end point should have been  
17 suicidal ideation and suicidal behavior because it's more  
18 prevalent and it would be more -- it will -- there will be  
19 more statistical power, a greater likelihood of detecting a  
20 real drug effect if there is one.

21 Q. Let's turn our attention now to the results of GSK's 2006  
22 adult analysis. Did we prepare a slide that shows the -- some  
23 of the results? Did you prepare a slide that shows a number  
24 of those results?

25 A. Yes.

1 MR. DAVIS: All right. Your Honor, I'd ask  
2 permission to publish Slide 18, which is DX -- it's 7035-0.

3 MR. WISNER: This has the same best evidence problem.  
4 It has a document on here.

5 MR. DAVIS: It's a summary that would be helpful to  
6 the jury in terms of assessing the evidence that's already in,  
7 your Honor.

8 MR. WISNER: He can show him the document. It's  
9 already admitted into evidence, I believe.

10 THE COURT: What exhibit is it in evidence?

11 MR. DAVIS: Well, your Honor, it's part of DX 1051,  
12 which I don't believe has yet been moved into evidence, but I  
13 will if your Honor would prefer me to go that route.

14 THE COURT: Well, it isn't appropriate to take a  
15 slide from a document that's not in evidence.

16 MR. DAVIS: Your Honor, permission to publish  
17 DX 1051. That's the Carpenter article that was used with  
18 Dr. Ross.

19 THE COURT: Is that the one from which you're drawing  
20 this data?

21 MR. DAVIS: Yes, sir.

22 THE COURT: The Carpenter article was used by  
23 Dr. Ross?

24 MR. DAVIS: Yes, sir.

25 THE COURT: Okay. You may go to that.

1 MR. DAVIS: Okay. Can we please pull up the  
2 Carpenter article. And if you can go to page 1058, table 4.

3 BY MR. DAVIS:

4 Q. Okay. Doctor, how many patients were involved in this  
5 particular analysis by GSK in 2006 concerning adult  
6 suicidality?

7 A. Approximately 15,000.

8 Q. How did that break down between those patients on  
9 paroxetine versus those patients on placebo?

10 A. About 9,000 on paroxetine, and about 6,000 on placebo.

11 MR. WISNER: Just to keep the record clear, this was  
12 Plaintiff's Exhibit 285.

13 THE COURT: All right.

14 BY MR. DAVIS:

15 Q. Did GSK's 2006 adult analysis find an association between  
16 paroxetine and completed suicides in adult patients?

17 A. No, it did not.

18 Q. Were there any actual suicides in the clinical trials, the  
19 placebo-controlled trials that were studied?

20 A. No, there weren't.

21 Q. Let's look at page DX 1051 to help us out here, Doctor.  
22 It's going to be 1058, left column, last line.

23 Was there a suicide that occurred in one of the  
24 paroxetine trials?

25 A. There was one suicide that occurred in a 23-year-old man

1 with social anxiety disorder who received paroxetine.

2 Q. Were there any patients who suffered from major depressive  
3 disorder or any other depressive disorder --

4 THE COURT: Excuse me. What category was he in? Was  
5 he in the placebo or -- he was in the paroxetine group?

6 THE WITNESS: Yes, sir.

7 THE COURT: Okay.

8 BY MR. DAVIS:

9 Q. Were there any completed suicides in any of the major  
10 depressive studies or any other types of depression studies?

11 A. No.

12 Q. For this particular analysis that was done by GSK in 2006,  
13 does that support the claim that paroxetine increases the risk  
14 of suicide in adult patients?

15 A. No, it does not.

16 Q. All right. Let's turn our attention to the primary end  
17 point or analysis. Again, if we could call up -- if we can  
18 call up DX 103, page 110.

19 MR. DAVIS: Permission to publish DX 103, your Honor?

20 THE COURT: Is that in evidence?

21 MR. DAVIS: I believe it is, yes.

22 THE COURT: All right.

23 MR. DAVIS: Thank you.

24 MR. WISNER: What tab is that?

25 MR. DAVIS: It's page 110, and it's behind Tab 3,

1 Mr. Wisner.

2 BY MR. DAVIS:

3 Q. Okay. Dr. Gibbons, help us out here. What are we looking  
4 at?

5 A. So, what you're looking at is a summary table for all  
6 indications. This means all of the different diagnoses that  
7 people had in these trials. And we're looking at the primary  
8 end point of both suicidal ideation, meaning thoughts, and  
9 suicidal behavior.

10 And what we have at the top that's highlighted in  
11 yellow where it says, "Overall, Mantel Haenszel,"  
12 Mantel-Haenszel is a statistical technique of meta-analysis  
13 that combines information across the studies.

14 We have a rate of a little less than 1 percent for  
15 the paroxetine patients, and a little more than 1 percent for  
16 the placebo patients. The odds ratio is .9. It is not  
17 statistically significant, and it shows that there is no  
18 association between paroxetine and suicidal ideation or  
19 behavior as a primary end point.

20 Q. How many paroxetine patients and how many placebo patients  
21 were part of this analysis?

22 A. Again, there were 8,958 paroxetine patients and 5,953  
23 placebo patients.

24 Q. How would you describe the size of this particular  
25 analysis and its ability to detect a difference?



1 A. So, this is a large number of people, but it's also a rare  
2 event. It's occurring about 1 percent. We can see from the  
3 confidence interval that it's quite narrow. It goes from 0.7  
4 to 1.3. This means that there is good ability to detect a  
5 real effect if it were present.

6 The confidence or uncertainty in this estimate of the  
7 odds ratio, the relative risk between people who are on  
8 paroxetine versus people on placebo is very detectible should  
9 it go either in a protective direction or in a harmful  
10 direction. We're not seeing either of those.

11 Q. For the primary analysis, did GSK look at whether  
12 paroxetine increased the risk of suicidal thoughts or behavior  
13 based on the type of disorder that the studies analyzed?

14 A. Yes, they did. They did that as a series of sensitivity  
15 analyses.

16 MR. DAVIS: Okay. Mr. Holtzen, if we can call --

17 THE COURT: Wait. Before you leave that, 83 over  
18 8,958, what is 83?

19 THE WITNESS: 83 is the number of people who had a  
20 suicidal thought or behavior.

21 THE COURT: While on paroxetine?

22 THE WITNESS: While on paroxetine.

23 THE COURT: And 65 is the number that they had the  
24 same thing on a placebo?

25 THE WITNESS: On placebo, out of 5,953 patients. So,

1 those ratios times 100 give you .93 percent for paroxetine and  
2 1.09 percent for placebo.

3 BY MR. DAVIS:

4 Q. So, the bottom line, is there a difference seen between  
5 paroxetine and placebo in this analysis?

6 A. No.

7 Q. Now, going -- if we could pull up --

8 MR. DAVIS: Mr. Holtzen, if we can pull up the  
9 larger -- the other analyses that were on the primary end  
10 point.

11 BY MR. DAVIS:

12 Q. So, this -- as the jury can see on the screen, what we  
13 have here underneath overall, there's all depression, MDD,  
14 IBD, dysthymia, bipolar, and a series of other disorders?

15 A. I'm sorry. This is not the table for the primary end  
16 point. This is the table for the secondary end point of  
17 suicidal behavior.

18 MR. DAVIS: Oh, well let's go to page 103. Can we  
19 get that called up, Mr. Holtzen? Can we get the right primary  
20 end point for that?

21 THE COURT: Are we still in the article, or are we in  
22 some other --

23 MR. DAVIS: We're in Defendant's Exhibit 103, which  
24 is the GSK adult analysis.

25 THE COURT: Okay.

1 MR. DAVIS: It will be a couple of pages earlier.  
2 It's table 2.01, I believe. It's on page -- it should be on  
3 page 110. Okay. Thank you.

4 BY MR. DAVIS:

5 Q. Let's get it right. I apologize. Let's go back and look  
6 at the overall result for the primary end point. Again, what  
7 do we see there for the primary end point of suicidal behavior  
8 and ideation that's in table 2.01, Doctor?

9 A. Again, we're seeing no association between paroxetine and  
10 suicidal thoughts or behavior.

11 MR. WISNER: Objection. Asked and answered. I think  
12 we just went over this, like, three times.

13 MR. DAVIS: I'm not going to cover old ground, your  
14 Honor.

15 THE COURT: Okay.

16 BY MR. DAVIS:

17 Q. For each of the additional analyses that were done on the  
18 primary end point, how many of them were there?

19 A. Just in this table?

20 Q. Yes, sir.

21 A. 14.

22 Q. For each one of those, was there any finding of an  
23 increased risk or association between paroxetine and suicidal  
24 thoughts or behavior?

25 A. No.

1 Q. If you're looking at the subgroup analysis in this table  
2 that dealt with major depressive disorder and suicidal  
3 ideation or behavior, what was the result?

4 A. The odds ratio was 1.3. It was not statistically  
5 significant. It shows no evidence of an association between  
6 paroxetine and suicidal thoughts and behavior in patients with  
7 major depressive disorder.

8 Q. So, when you're looking at all the results on the primary  
9 end point of suicidal thoughts or behavior, what's the bottom  
10 line takeaway from that?

11 A. We're not seeing an association. We're not seeing any  
12 increased risk of suicidal thoughts or behavior at the primary  
13 end point of these studies and the taking of paroxetine.

14 Q. Let's go to the secondary end point. If we could pull up  
15 DX 103, page 155, which I think is the table we had up  
16 earlier.

17 Okay. On the primary -- on the secondary subgroup  
18 analysis of definitive suicidal behavior, what was the overall  
19 result when all of the studies were analyzed?

20 A. Your -- the overall result -- there's something wrong with  
21 this table.

22 MR. WISNER: This is the MDD analysis. It has a 6.7  
23 risk.

24 MR. DAVIS: Yeah, that's the wrong -- you have the  
25 wrong thing pulled up. Why don't you drop that out. It's the

1 thing that's highlighted above. There you go.

2 THE WITNESS: No, it's still not that. This is for  
3 the indication of MDD. What you're looking for is the all  
4 indication table for the secondary end point.

5 Oh, that's much better.

6 MR. DAVIS: Can you look at page 155, Mr. Holtzen.

7 THE WITNESS: No, it's there.

8 BY MR. DAVIS:

9 Q. All right. So, this is looking at all -- what's  
10 highlighted is looking at all the studies combined. Can you  
11 tell us what this table means and what the results mean,  
12 Doctor?

13 A. So, this is essentially the same table as we saw before,  
14 except now we've removed that fourth category from the  
15 Columbia classification. We've gotten rid of the suicidal  
16 thoughts, suicidal ideations, and are restricting to  
17 preparation or worse, so suicidal behavior.

18 And here again, we have a non-statistically  
19 significant odds ratio with a narrow confidence region  
20 indicating no association between paroxetine and the secondary  
21 end point of suicidal behavior.

22 Q. On this particular analysis, looking at all the studies  
23 and the end point of suicidal -- definitive suicidal behavior,  
24 how many patients in the paroxetine group and how many  
25 patients in the placebo group?

1 A. Again, it's the same number. It's almost 9,000 in  
2 paroxetine and almost 6,000 in placebo.

3 Q. Okay. On the secondary subgroup analysis of definitive  
4 suicidal behavior, what were the findings when looking at all  
5 patients with any type of depressive disorder?

6 A. No association with paroxetine.

7 Q. How many patients were in that analysis?

8 A. This overall analysis, almost 15,000.

9 Q. No, no, the subgroup analysis of all depression studies.

10 MR. DAVIS: Can you move that, Mr. Holtzen, so he can  
11 see that.

12 BY THE WITNESS:

13 A. I'm sorry. So, in the all depression studies, we have  
14 3,720 patients on paroxetine, 2,260 patients on placebo. The  
15 overall association is exactly the same. There's no  
16 association with paroxetine. It's not statistically  
17 significant. And we reached the same conclusion.

18 BY MR. DAVIS:

19 Q. Again, did GSK do subgroup analyses where it broke down  
20 the studies by the type of disorder that was being studied,  
21 for example, panic disorder versus obsessive compulsive  
22 disorder versus major depressive disorder, and look at the  
23 findings in those particular subanalyses?

24 A. Yes, they did.

25 Q. Okay. For those subgroup analyses, was there any one that

1 found a significant risk?

2 A. There was one.

3 Q. Which one was that?

4 A. That was for major depressive disorder.

5 Q. Okay. And for the major depressive disorder, what was the  
6 finding?

7 A. The finding was an increased risk with an odds ratio of  
8 6.7. The confidence limit did not include 1. The P value was  
9 close to .05.

10 Q. Now, for all of the other subgroup analyses that were  
11 done, were any of those -- did any of those find an  
12 association between paroxetine and suicidal behavior or  
13 suicide attempts?

14 A. No, none of the others did.

15 Q. How many patients were in the major depressive disorder  
16 subgroup that had the 6.7 odds ratio finding?

17 A. There were 3,455 on paroxetine and 1,978 that were on  
18 placebo.

19 Q. So, for the overall number of patients who took paroxetine  
20 in this subgroup analysis, how many patients did not have  
21 suicide attempts or suicide behavior?

22 A. The majority. It's less than one half of 1 percent. So,  
23 99.6 percent essentially -- 99.6 percent did not have suicidal  
24 behavior.

25 Q. What would that number work out to be if we had 11 out of

1 3,455?

2 MR. WISNER: I have a calculator if you need it.

3 BY THE WITNESS:

4 A. Thank you. I don't do arithmetic.

5 It's roughly -- roughly 55 people.

6 THE COURT: Let's not do that. Let the record  
7 show -- the record speaks for itself.

8 BY MR. DAVIS:

9 Q. All right. Now, for those patients who were part of this  
10 subgroup analysis for the major depressive disorder finding,  
11 did any of those patients actually commit suicide?

12 A. No, they didn't.

13 Q. Now, you talked about the importance of consistency  
14 earlier. When assessing findings such as this and this kind  
15 of meta-analysis, what is your takeaway, given the finding of  
16 the -- let me back up.

17 You talked earlier about consistency and the  
18 importance of it. When you look at the primary end point  
19 results and the secondary end point results, is there any  
20 consistency where you also see other statistically significant  
21 increased risks such as the major depressive disorder finding  
22 on the secondary analysis?

23 A. No. This is -- this appears to be an anomalous finding.  
24 It's restricted to a single one of 14 different diagnostic  
25 breakdowns. This is the result of a subgroup analysis. The



1 more subgroup analyses, particularly as the sample size goes  
2 down and the event is rare, the more likely we are to find an  
3 anomalous result.

4 It's also anomalous from the perspective that it's  
5 going in the opposite direction of suicidal thoughts. It's  
6 hard to imagine --

7 MR. WISNER: Objection. Your Honor, he's about to  
8 testify about the relationship of ideation and behavior, which  
9 is the definition of a medical opinion.

10 THE COURT: Just stay with the statistics, Doctor.

11 BY THE WITNESS:

12 A. It's going in the opposite statistical direction from  
13 ideation as it is for suicidal behavior. So, that would be an  
14 example of a statistical lack of consistency.

15 BY MR. DAVIS:

16 Q. So, is the finding of the 6.7 odds ratio consistent with  
17 any of the other primary end point results or any of the other  
18 secondary end point results?

19 A. No, it's not.

20 Q. Let's take a moment and focus on those 11 patients on  
21 paroxetine and the one on placebo. What other evidence is  
22 there that this is not an effect showing an increased risk  
23 from use of the medication, but rather a product of the  
24 statistical analyses that were done?

25 A. So , if we look at the rate, that odds ratio, we saw that

1 one anomalous odds ratio of 6.7, suggests a very large  
2 difference in the rate between the paroxetine patients and the  
3 placebo patients. There are two ways that that can happen.  
4 Paroxetine could be really high in terms of the rate, or  
5 placebo could be really low.

6 Now, the rate in the paroxetine patients for that  
7 MDD subgroup is .32 percent. We saw that in the -- in the  
8 table. The rate on placebo was .05 percent, very, very small  
9 number.

10 If you look at all of FDA's placebo arms and all of  
11 the SSRI trials for patients with major depressive disorder,  
12 of which there were over 12,000 patients, as opposed to the  
13 1978 patients in the MDD trials, what you see is that that  
14 rate is .24 percent. It's four times higher than the placebo  
15 arm in GSK's paroxetine studies.

16 The large odds ratio is not produced by an increase  
17 in the rate of suicidal behavior in the paroxetine arm. It's  
18 produced by an unusually and unrepresentatively low rate in  
19 the placebo arm. And when you compare the paroxetine data to  
20 the much larger collection of placebo arms in FDA's randomized  
21 controlled trials of the MDD patients, you find no evidence of  
22 an association between paroxetine and suicidal behavior.

23 Q. Now, how many analyses did -- well, let me back up.

24 Did we prepare a slide that kind of outlined -- did  
25 you prepare a slide that outlined that finding that you just

1 talked about?

2 A. I did.

3 MR. DAVIS: Okay. Your Honor, permission to publish  
4 DX 7035-BB.

5 MR. WISNER: Objection. This slide is argument, also  
6 cumulative.

7 THE COURT: What is it? BB?

8 MR. DAVIS: BB.

9 THE COURT: BB?

10 MR. DAVIS: Yeah, two Bs.

11 MR. WISNER: BB? I thought you said P.

12 MR. DAVIS: 7035-B as in boy, B as in boy.

13 MR. WISNER: Oh. No objection, your Honor. It's  
14 cumulative, but no objection.

15 THE COURT: You may proceed.

16 MR. DAVIS: Thank you.

17 MR. WISNER: That's not the slide that I'm looking  
18 at.

19 MR. DAVIS: I'm sorry, 7035-CC. I apologize. No,  
20 wait a minute.

21 THE COURT: Wait.

22 MR. DAVIS: No, it should be -- look at the slide  
23 previous to that. Is it -- don't publish it yet, Mr. Holtzen.  
24 It's --

25 MR. WISNER: BB or CC?

1 MR. RAPOPORT: I think it's AA.

2 MR. DAVIS: It's BB.

3 MR. WISNER: I have no objection to this. That's not  
4 what he put up.

5 MR. DAVIS: Yes. Thank you. Yes.

6 BY MR. DAVIS:

7 Q. So, does this demonstrative --

8 THE COURT: Isn't this the same thing we just looked  
9 at on the --

10 MR. DAVIS: Yeah, I was just going to ask him to --

11 THE COURT: Well, it's on -- we just looked at it,  
12 didn't we?

13 MR. DAVIS: Yes, sir.

14 THE COURT: All right.

15 BY MR. DAVIS:

16 Q. So, does this demonstrative set out what you just  
17 described to the jury?

18 A. It does. You can see that for the placebo arm, in the  
19 GSK paroxetine MDD trial for the secondary end point, this  
20 subgroup of MDD patients, you can see that the placebo rate  
21 is .05 percent. That's extremely low. Whereas, in the lower  
22 12,895 patients who were enrolled in placebo arms for MDD  
23 trials in FDA's analysis, it's over four times higher at .24.

24 If we compare the GSK paroxetine data to the FDA  
25 placebo data, we get an odds ratio of 1.33. It's not

1 statistically significant and shows there's no increased risk  
2 of paroxetine relative to a much larger and more  
3 representative placebo comparison group.

4 The effect, the 6.7 that you see in the top middle  
5 box, is produced by an unusually low rate in the placebo  
6 group, not an unusually high rate in the paroxetine group.

7 Q. Now, how many analyses were done by GSK in this  
8 particular --

9 THE COURT: Excuse me. A low rate of what, Doctor?

10 THE WITNESS: Low rate of suicidal behavior.

11 THE COURT: Okay.

12 BY MR. DAVIS:

13 Q. How many statistical analyses were done by GSK?

14 A. Well over 90.

15 Q. Can you -- before you pull up the next slide, I would call  
16 up demonstrative DX 7035-CC.

17 MR. WISNER: Objection. This is clearly argument.  
18 It states what plaintiffs want. I don't know why it's plural,  
19 but it's reflecting our intent, and it's completely argument.

20 THE COURT: I'll sustain.

21 MR. DAVIS: Okay.

22 BY MR. DAVIS:

23 Q. So, if you have -- given that there were -- how many  
24 analyses did you tell us about? 90?

25 A. More than 90.

1 Q. When you do that many sub -- that many analyses, what  
2 happens? What's the byproduct of that?

3 A. You're going to get statistical results by chance alone  
4 that are statistically significant individually.

5 So, for every -- if we use a 5 percent level for  
6 statistical significance, for every 100 tests we do, we expect  
7 to get five of them being statistically significant by chance  
8 alone, even if there's no true difference. That's exactly  
9 what the 5 percent rate does.

10 Q. So, what does that tell us about the 6.7 odds ratio  
11 finding in the MDD subgroup analysis?

12 A. Well, it tells us that we would expect at least one  
13 statistically significant subgroup analysis by chance alone.  
14 We also see that the imbalance is not one for paroxetine being  
15 higher for suicide behavior, but lower in the placebo group,  
16 which helps explain why this result is significant by chance  
17 alone.

18 Then we also see the disconnect between the primary  
19 end point showing no effect and the secondary end point  
20 showing an effect.

21 Q. Okay. Why is the disconnect between the primary end point  
22 and the secondary end point important to you?

23 A. Well, it's important to me because it shows a lack of  
24 consistency. All of these different levels of suicidal  
25 events, ideation, behavior, completion are on a continuum, and

1 you don't decrease one of them and increase another.

2 Q. You -- did you do your own analysis of the paroxetine  
3 clinical trial data that was part of GSK's 2006 analysis?

4 A. I did.

5 Q. Why did -- tell the jury what you did and why you did it.

6 A. So, one of the problems with traditional meta-analysis,  
7 statistical procedures, meta-analysis, again, combining the  
8 information from multiple studies, is that if the event is  
9 rare and you don't see any events in a particular trial, you  
10 have to take that entire trial and throw it away. It doesn't  
11 get into your analysis. This is an inherent problem in  
12 traditional meta-analysis.

13           Newer approaches to meta-analysis allow you to use  
14 all of the available data. They don't suffer from that  
15 problem. So, I used one of those newer approaches to  
16 meta-analysis to include all of the information, even those  
17 trials that had no events, which are informative. It's  
18 important to know that in 2,000 patients -- or 200 patients on  
19 the drug and 300 patients on placebo, there were no events.  
20 We're able to use those data as well.

21           In addition, the newer approaches allow us to  
22 incorporate heterogeneity or variability in the treatment  
23 effect. As we've seen in these tables, there's a lot of  
24 variability. Some are in the protective direction. Some are  
25 in the harmful direction. They're all -- you know, there's

1 variability. We can incorporate that using these newer  
2 techniques. So, I applied these newer techniques to these  
3 same data.

4 Q. If we can call up DX 103 at page 157.

5 Dr. Gibbons, can you tell us what we're looking at  
6 here? This is part of GSK's 2006 adult analysis, and certain  
7 studies have been highlighted in yellow. Can you tell the  
8 jury what's been highlighted and why?

9 A. So, the studies that are highlighted in yellow have zero  
10 events in both arms, so probably almost -- almost a majority  
11 of the studies didn't have any events. There were no examples  
12 of suicidal behavior in these studies. So, none of those  
13 studies actually made it into the meta-analysis; whereas, in  
14 the reanalysis of these data that I performed, all of them  
15 were included, whether they had zero events in one arm or both  
16 arms. It's just a simple advantage of the newer statistical  
17 approach to meta-analysis.

18 Q. When you did your own analysis that you described, what  
19 were the results as to the MDD subgroup analysis for  
20 paroxetine?

21 A. My memory is that the overall odds ratio was about 6.3,  
22 but now it was no longer statistically significant. There was  
23 more uncertainty because there was more of these studies that  
24 showed no difference. They both had zero in the analysis.

25 Q. Again, what is that -- what is that analysis telling you



1 about whether or not we can -- what is your own analysis  
2 telling you about the 6.7 finding?

3 A. Well, it's telling us that it is no longer statistically  
4 significant. Even if it was statistically significant, it's a  
5 subgroup analysis. It's one of many, many repeated analyses  
6 that could lead to a -- that would be consistent with chance  
7 expectations.

8 But we're seeing that analyzing the complete data set  
9 shows that it's no longer a statistically significant effect.

10 Q. When you did -- did you also apply your more modern  
11 statistical analysis program to the results -- the overall  
12 results for the 2006 GSK analysis?

13 A. Yes.

14 Q. And when you did that, what did you find?

15 A. I found very consistent conclusions from the data, that  
16 there was no association between paroxetine and increased  
17 suicidal thoughts and behavior or suicidal behavior alone.

18 Q. Let's turn our attention to go a little bit more of a  
19 deeper dive into these 11 patients that were part of the  
20 major depressive disorder subgroup finding.

21 What age group were the majority of those patients?

22 A. The majority of these patients were younger. These were  
23 patients -- the majority were in the range of 18 to 34 years  
24 of age.

25 Q. And in terms of counting up the majority, between 18 and

1 30, what -- let me back up, between 18 and 30, how many  
2 patients fell in that group?

3 MR. WISNER: Objection. I believe he said 18 and 34.

4 MR. DAVIS: I was asking him a different question.

5 BY MR. DAVIS:

6 Q. 18 and 30, how many patients fell in that group?

7 A. I believe there were eight of the patients.

8 Q. Okay. And so with -- did you -- did you prepare a slide  
9 that shows the age distribution of these patients?

10 A. Yes.

11 MR. DAVIS: Your Honor, permission to publish  
12 7035-FF.

13 MR. WISNER: One second, your Honor.

14 I would object, your Honor. This is a -- this has  
15 stuff about Mr. Dolin. Dr. Gibbons shouldn't be talking about  
16 Mr. Dolin at all. He hasn't had any opinions or any data  
17 about it.

18 MR. DAVIS: Dr. Gibbons is not talking about anything  
19 specific to Mr. Dolin other than his age, your Honor. He's  
20 not going to be giving any causation opinion about Mr. Dolin.

21 MR. WISNER: Then why is it on this diagram? If it's  
22 not, then this is argument. It shouldn't be shown to the  
23 jury.

24 MR. DAVIS: Just wait.

25 THE COURT: Does this show the average age of the

1 placebo person is 67?

2 MR. DAVIS: No, your Honor. That's a placebo patient  
3 who had -- that was part of the one event.

4 THE COURT: Was that the age of the placebo patient?

5 MR. DAVIS: No, your Honor -- yes, your Honor, it is  
6 the age of the placebo patient. I'm sorry. Yes.

7 THE COURT: Well, it's a pretty confusing chart, sir,  
8 but I'll let him use it.

9 MR. DAVIS: Thank you.

10 BY MR. DAVIS:

11 Q. All right. Dr. Gibbons, please help us out again and tell  
12 us what we're seeing here in this -- in this demonstrative  
13 exhibit.

14 A. Sure. So, what we have here are -- as we saw from the  
15 major depressive disorder subgroup, there were 11 patients  
16 on paroxetine that exhibited suicidal behavior, and one on  
17 placebo. And these are the -- this is the age distribution  
18 of those patients.

19 And just to remind you, there were 3,455 paroxetine  
20 patients and 1,978 placebo patients; and these are the 12  
21 events, 11 on paroxetine and one on placebo, roughly close  
22 to, not quite two-to-one ratio in terms of the sample sizes.

23 What we see is that the average age in the MDD  
24 patients overall was 46 years old, but the average age of the  
25 MDD patients who made a suicide attempt was 30 years old. So,

1 the ones that are making suicide attempts with major  
2 depressive disorder are younger people.

3 And if we look at the individual ages, we see that  
4 the majority of them are in this kind of 18 to 35 age group.  
5 Then there's a large point of rarity between the 34-year-old  
6 and the 50-year-old and 51-year-old who were both on  
7 paroxetine who made a suicide attempt. And then finally, the  
8 placebo patient that made a suicide attempt was 67 years old.

9 So, we see that the majority of these patients who  
10 had major depressive disorder and made a suicide attempt were  
11 much younger, much more consistent with the young adults than  
12 patients Mr. Dolin's age of 57.

13 If we look at the patients who are in that general  
14 age range, we see that there are two out of 3,455 that made a  
15 suicide attempt on paroxetine and one out of 1,978. Those are  
16 essentially the same rates.

17 So, this slide illustrates that among people with  
18 major depressive disorder, the majority were younger; and in  
19 the adult, older adult range, the rate of suicide attempts  
20 between paroxetine and placebo arms are essentially identical.

21 Q. Now, Doctor, based upon your view as a biostatistician and  
22 researcher and someone who has spent a career analyzing and  
23 interpreting meta-analyses such as GSK's, do you have an  
24 opinion on whether or not it's accurate to say that the  
25 majority of these attempts, eight of the 11, were in the

1 younger patients, age 18 to 30?

2 A. Well, that's consistent with the data, yes.

3 Q. Okay. And is that -- do you have -- is that statement, in  
4 your view, misleading in any way?

5 A. No. The -- I mean, age is a continuous function. We have  
6 all of the ages of all of the individuals. Cutting it into --  
7 into an age bracket is not an unreasonable thing to do, but we  
8 know what the ages are.

9 I'm 61 years old. I know that I'm not 28 years old,  
10 25 years old. There's a big difference.

11 Q. Now, what about -- what about a statement that if you look  
12 at patients who were 25 to 64, and if you count up in that  
13 category, there's eight patients in there? Based upon your  
14 expertise as a biostatistician and researcher interpreting  
15 these types of results, would it be accurate to describe these  
16 results in that way?

17 A. No. These data are clearly clustered in terms of age. I  
18 mean, it's a very interesting finding. There are -- the  
19 majority of the people who made suicide attempts, they're much  
20 younger. We can see that from their individual ages.

21 Playing around with cut points to try to describe,  
22 you know, eight here or eight there is just not being true to  
23 the data. The data are clear. The data are clear that there  
24 is a clustering. This is related to the younger-aged  
25 patients.

1 Q. Now, for someone who's 57 years old, I think you described  
2 this earlier, Mr. Dolin's age is reflected on this particular  
3 graphic, is that right?

4 A. Yes, it is.

5 Q. Now, as a biostatistician and researcher who has spent a  
6 career looking at these kinds of analyses and interpreting  
7 them, does this graph, this demonstrative that we've laid out  
8 here, does that support the claim that paroxetine increases  
9 the risk of suicidal behavior or suicidal thoughts and  
10 behavior in patients above the age of 30?

11 A. No, this does not provide any support for that.

12 Q. If paroxetine did, in fact, increase suicidal behavior in  
13 patients age 57, for example, what would you expect to see in  
14 these 11 attempts?

15 A. I would have expected to have seen a greater age  
16 distribution towards the right, more of these suicide  
17 attempts, and an overrepresentation in the paroxetine group  
18 among people Mr. Dolin's age.

19 Q. As someone who's spent his career interpreting and  
20 analyzing these very type of scientific analyses and as a  
21 biostatistician and researcher, do you believe that it's  
22 scientifically accurate to say that the 6.7 odds ratio finding  
23 and the 11-versus-one finding in this subgroup analysis should  
24 be interpreted with caution?

25 A. Oh, absolutely, for all of the reasons that I've given.

1 Q. Now, in your view, does the 6.7 -- going back, as a  
2 biostatistician and researcher and someone who's looked at  
3 populations of people to assess antidepressant risk and  
4 suicidality, does this subgroup analysis generalize to the  
5 population of real world adult patients?

6 A. No, not --

7 MR. WISNER: Objection, your Honor. Move to strike  
8 his preface to the question. He's doing it every single time.  
9 If he could just ask the question, I think it would move this  
10 along faster.

11 THE COURT: Just a minute.

12 (Record read.)

13 THE COURT: Your objection is what? To the  
14 reference --

15 MR. RAPOPORT: He has a three- or four-line preface  
16 to his question before he asks the question, talking about  
17 things he's done and who he is. It's just cumulative, and  
18 it's technically improper.

19 THE COURT: All right. Put another question.

20 MR. DAVIS: Okay. I'll make it shorter.

21 BY MR. DAVIS:

22 Q. Does the 6.7 odds ratio finding in this MDD subgroup  
23 analysis generalize to the population of real world adult  
24 patients when it comes to showing an increased risk with the  
25 use of paroxetine?

1 A. No, it does not.

2 Q. So, is this particular finding in any way applicable to  
3 adult patients who were 57 years old, such as Mr. Dolin?

4 MR. WISNER: Objection. Goes to general causation,  
5 improper opinion.

6 THE COURT: Yeah, sustained.

7 MR. DAVIS: Okay. Let me see if I can rephrase it.

8 BY MR. DAVIS:

9 Q. Is this finding applicable to show an increased risk in  
10 adult patients who are between the ages of 50 and 60?

11 A. No.

12 Q. Did GSK's 2006 analysis also do analyses based upon the  
13 age of the adult patients?

14 A. Yes.

15 Q. Okay. Let's turn our attention to those. If we can call  
16 up DX 103, table 2.08. If we can find -- call up the larger  
17 25 to 64 finding.

18 Dr. Gibbons, help us out in terms of what kind of  
19 analysis we're looking at here.

20 A. So, we're looking at the primary end point of suicidal  
21 thoughts and behavior. We're restricting the age range for  
22 patients 25 to 64. We're seeing an odds ratio -- we're  
23 looking at about 12,543 patients in total, and we're seeing an  
24 odds ratio of .7, which is in support of a 30 percent  
25 decrease. The upper confidence limit is exactly at 1.0, so



1 it's right on the border of statistical significance.

2           So, my interpretation of this is that in 25- to  
3 64-year-olds in -- for the primary end point, we're seeing  
4 actually a reduction in the risk of suicidal events in  
5 patients randomized to paroxetine relative to placebo. This  
6 is a very similar result to what the FDA found in their  
7 overall analysis of SSRIs.

8 Q. Let's go to DX 103 --

9           THE COURT: Before you leave that, why would you  
10 split over age 65 out from the other group?

11           THE WITNESS: This was done by the FDA. The FDA's  
12 overall analysis originally looked at all subjects.

13           THE COURT: But would you do that?

14           THE WITNESS: Would I do that? Yes.

15           THE COURT: Okay. All right.

16           MR. DAVIS: Thank you, your Honor.

17 BY MR. DAVIS:

18 Q. Let's look at the next analysis that was age-related for  
19 patients 25 to 64, DX 103, page 215.

20           For this particular analysis, is it looking for  
21 patients who were in all depression studies?

22 A. Yes, it is.

23 Q. What's the result, and what's your takeaway from it?

24 A. It's virtually identical. It appears to be a reduction in  
25 the rate of these events.

1 Q. Does this particular -- does this finding show an  
2 association between paroxetine and suicidal thoughts or  
3 behavior in all depression studies for patients age 25 to 64?

4 A. It's -- the overall result is not statistically  
5 significant, as we talked about before. It contains -- that  
6 confidence interval contains the value 1.0; but the majority  
7 of the confidence interval is below 1.0, so the direction of  
8 the effect is in the direction of being protective, although  
9 it's not statistically significant.

10 THE COURT: It is over 1, though, isn't it.

11 THE WITNESS: The value 1.0 is contained within the  
12 confidence, so it is not statistically significant. If that  
13 upper bound was less than 1, then it would be statistically  
14 significant.

15 So, for example, if it went from .4 to .9, then it  
16 would be statistically significant because the value 1 is not  
17 contained in the confidence interval; and, in fact, the  
18 confidence interval in its entirety is below 1.0, which would  
19 have made it statistically significant and protective.

20 BY MR. DAVIS:

21 Q. So, does the finding of the odds ratio of 0 .7 show an  
22 increased risk or a non-statistically-significant decreased  
23 risk?

24 A. There's certainly no evidence of an increased risk, and  
25 there is some evidence of a decreased risk.

1 Q. Okay. Let's go to table 2.09. Here, we're looking at a  
2 secondary subgroup analysis for all studies, and looking at  
3 patients age 25 to 64, is that right, Doctor?

4 A. Yes.

5 Q. So, in this particular subgroup analysis where they looked  
6 at definitive suicidal behavior in patients age 25 to 64 and  
7 considered all studies, what was the result?

8 A. Here again, we're seeing evidence of a decreased risk, not  
9 an increased risk. The upper confidence limit is right at  
10 1.0, so it's technically not statistically significant, but  
11 it's right at that margin; and, in fact, the majority of the  
12 confidence limit is below.

13 So, there is some evidence of benefits, rather than  
14 risks, but clearly, there's no evidence of increased risk of  
15 suicidal behavior.

16 Q. Let's go to the next page, page 230 of DX 103,  
17 Mr. Holtzen.

18 And what analysis is this, Doctor?

19 A. This looks like it's -- this is all depressed patients,  
20 and we're seeing -- again for the secondary end point of  
21 suicidal behavior, we're seeing exactly the same result, about  
22 a 40 percent decrease in the risk of suicidal behavior in  
23 patients taking paroxetine relative to placebo controls.

24 Q. So, was there also an analysis that was done where GSK  
25 looked at all non-depression studies on the secondary subgroup

1 analysis of suicidal behavior?

2 A. Yes.

3 Q. What was the result of that analysis?

4 A. Similar effect.

5 Q. Similar in the sense of no increased risk?

6 A. No increased risk.

7 MR. DAVIS: Okay. Let me go -- if we can call up  
8 DX 1050 -- excuse me, PX 285, I believe, but Mr. Holtzen, I  
9 think you've got it as DX 1051, but for the record, it will  
10 be PX 285.

11 Yep. Again, we're looking at the Carpenter paper,  
12 and if you could call up, Mr. Holtzen, table 6 and the MDD  
13 finding for patients age 25 to 64.

14 BY MR. DAVIS:

15 Q. Okay. Doctor, you're familiar with this article?

16 A. Yes, I am.

17 Q. Now, as a biostatistician and researcher, does this  
18 finding that shows eight events on paroxetine and zero on  
19 placebo in adult patients age 25 to 64, in your opinion, is  
20 that a reliable number for purposes of assessing risk?

21 A. Well, for all the reasons that I've said so far about  
22 the questionable nature of the MDD finding and how it's  
23 inconsistent with all other findings, and for a secondary  
24 end point where we're not seeing at all in the primary  
25 end point, I don't think it's a reliable effect.

1           And the confidence interval, as we can see, is not  
2 even defined, because there were zero events in that -- in the  
3 placebo arm.

4           And we're now in a fairly small margin of the data.  
5 We've only got 1500 placebo subjects, 2700 paroxetine  
6 subjects, and a fairly rare event.

7 Q. Are you familiar with what's called a continuity  
8 correction?

9 A. Yes.

10 Q. All right. Is that a type of statistical analysis that  
11 can be done?

12 A. So, when we compute an odds ratio, we use logarithms, and  
13 some of you may be familiar that if you try to take the  
14 logarithm of the value 0, it's undefined.

15           So, one statistical approach that has been used is to  
16 add a small number to each one of the cells in the two-by-two  
17 table, .5, so that you no longer have to take the log of 0,  
18 and it's defined, so you can actually do the computation.

19           So, this would be an example where someone might use  
20 a continuity correction.

21 Q. And so if you did a continuity -- and have you yourself  
22 done that -- a continuity correction analysis?

23 A. Yes.

24 Q. All right. So, if you do a continuity correction analysis  
25 for this finding, the 8 versus 0, what do you find?

1 A. So, the overall odds ratio is 9. something, maybe 9.8.  
2 It's not statistically significant, unlike this value here  
3 that we see. I believe it goes from 0.57 to 170, indicating  
4 that there is tremendous uncertainty of the magnitude of the  
5 effect. And it includes the value 1 as no longer  
6 statistically significant.

7 Q. So, when you have that big or wide of a confidence  
8 interval, how robust and reliable is the finding?

9 A. Well, you have very little evidence of what the true  
10 effect might be.

11 Q. So, Dr. Gibbons, circling back to round out our discussion  
12 about the GSK 2006 adult suicidality analysis, from all of the  
13 analyses that we've gone over as well as all the analyses that  
14 are contained in that report, does it tell you -- what does it  
15 tell you as a biostatistician and researcher in the field of  
16 drug safety?

17 A. We're not seeing any evidence for increased risk of  
18 suicidal thoughts or behavior in patients treated with  
19 paroxetine.

20 Q. Let's turn our attention to the 2006 FDA analysis. Was  
21 the same type of classification scheme in terms of the events  
22 and how they were categorized used by FDA as what GSK did?

23 A. Yes.

24 Q. All right. And so did that -- FDA's analysis include  
25 adult patients who were 18 and above?

1 A. Yes.

2 MR. DAVIS: Permission to publish DX 735 MM.

3 MR. WISNER: One second, your Honor. I just have to  
4 find it.

5 Your Honor, this is -- I don't know if this is  
6 leading or whatever this is.

7 THE COURT: I can't find it. Yeah, I don't seem to  
8 have it here. Give me just a minute.

9 735 --

10 MR. DAVIS: M as in Mary, M as in Mary.

11 THE COURT: This is a summary of opinions?

12 MR. DAVIS: No, your Honor. It should be -- let me  
13 see if I can find it real quick. Thank you.

14 THE COURT: Your objection?

15 MR. WISNER: My objection is leading. These are all  
16 just facts; and instead of just asking him what he knows and  
17 what he says, he's putting it on the screen and having him  
18 read it. So, I'd ask that the witness just be asked the  
19 question instead of being given slides and fed answers.

20 THE COURT: I think in the case of this one, I agree.  
21 Just go to the question.

22 MR. DAVIS: Sure.

23 BY MR. DAVIS:

24 Q. Dr. Gibbons, with respect to FDA's analysis, how many  
25 primary and secondary analyses were done?

1           Let me back up. Was there a primary and secondary  
2 analysis just like what GSK did in its 2006 analysis?

3 A. Yes. The primary end point was suicidal thoughts and  
4 behavior, and the secondary end point was suicidal behavior or  
5 worse.

6 Q. How many different analyses did FDA do?

7 A. A lot, at least 150, probably more.

8 Q. Were there subgroup analyses, depending upon the type of  
9 indication or treatment for the study?

10 A. There were subgroup analyses for different diagnoses.  
11 There were subgroup analyses for the different drugs. There  
12 were subgroup analyses for different age categorizations.

13           THE COURT: Were these the combined studies? Did  
14 these include all the SSRIs or just Paxil?

15           THE WITNESS: These included -- FDA's overall  
16 analysis was for all of the SSRIs, including some SNRIs; but  
17 there were also subanalyses. Some of these subgroup analyses  
18 just looked at individual ones, like Paxil.

19 BY MR. DAVIS:

20 Q. Were there subgroup analyses done on different types of  
21 antidepressants?

22 A. Yes.

23 Q. How did the FDA go about presenting its findings?

24 A. They began with the overall findings over -- looking at  
25 the primary and secondary end point. And then the second wave



1 of analyses, age-stratified. They looked at the young adults,  
2 18 to 24. They looked at the adults 25 to 64, and then they  
3 looked at the older adults 65 and over.

4 Q. Did the FDA have an advisory committee hearing in which it  
5 publicized the results of its findings?

6 A. Yes.

7 Q. As part of that advisory committee hearing, were there  
8 experts who came in and talked about -- who were asked by FDA  
9 to come in and talk about the results and what they meant?

10 A. Yes.

11 Q. Did the FDA's analysis specifically look at paroxetine by  
12 itself?

13 A. Yes.

14 Q. Was the data that FDA analyzed on paroxetine the same data  
15 as GSK had analyzed, or was it different in some way?

16 A. They were the same data.

17 Q. Okay. Did FDA have more patient numbers than GSK?

18 A. In total or just --

19 Q. Yes.

20 A. Oh, many more. There was 372 randomized  
21 placebo-controlled trials with approximately 100,000 patients  
22 enrolled from all of these trials, and that formed the basis  
23 of their meta-analysis.

24 Q. What you're talking about is the -- all the  
25 antidepressants in terms of the numbers there?

1 A. Yes.

2 Q. Okay. So, in terms of the numbers, did the FDA have more  
3 data on paroxetine from other pharmaceutical companies who had  
4 submitted data?

5 A. So, some of these trials that submitted data were  
6 placebo-controlled trials, but they also included a  
7 comparator, an active comparator arm, which would have been  
8 paroxetine.

9 And GSK would not have had availability -- would not  
10 have had those data. Those data were submitted to the FDA and  
11 included in the paroxetine-specific analysis as well as the  
12 overall analysis.

13 MR. DAVIS: Your Honor, permission to publish DX 437.  
14 That's the statistical report from the FDA's analysis.

15 MR. WISNER: What tab?

16 MR. DAVIS: It's behind Tab 5.

17 MR. WISNER: At this time, your Honor, before  
18 anything gets published, I think there's some foundation that  
19 needs to be laid, that the witness has seen it, et cetera.  
20 As of right now, with the record as it stands, we object to  
21 showing him something that hasn't been authenticated or  
22 anything.

23 THE COURT: You said 435, didn't you, sir?

24 MR. DAVIS: 437. It's behind Tab 5 in your binder,  
25 your Honor.

1 THE COURT: This is the FDA analysis?

2 MR. DAVIS: Yes, sir.

3 THE COURT: What --

4 MR. DAVIS: It's the companion document --

5 MR. WISNER: Respectfully, Mr. Davis has said that.

6 I don't think there's any testimony about what this document  
7 is. That's all I'm saying.

8 MR. DAVIS: I'm happy to ask the three questions that  
9 would establish that, your Honor.

10 THE COURT: Go ahead.

11 MR. DAVIS: Okay.

12 BY MR. DAVIS:

13 Q. Dr. Gibbons, let me hand you up a notebook that's got  
14 some --

15 MR. DAVIS: May I approach, your Honor?

16 THE COURT: Yes.

17 BY MR. DAVIS:

18 Q. Let me hand you a notebook here. If you could turn to  
19 Tab 5.

20 THE COURT: While he's looking at that, we'll take  
21 our recess, ladies and gentlemen.

22 (Jury exits courtroom.)

23

24

25 (Recess had.)

1 (Proceedings heard in open court. Jury in.)

2 THE COURT: All right. Thank you very much, ladies  
3 and gentlemen. We will resume.

4 You may proceed, sir.

5 MR. DAVIS: Thank you, your Honor, ladies and  
6 gentlemen of the jury.

7 BY MR. DAVIS:

8 Q. Dr. Gibbons, I think we broke when we were looking behind  
9 Tab 5, Defendant's Exhibit 437. Let me ask you a few  
10 questions about that exhibit. Was that a document that you  
11 reviewed and considered for purposes of forming your opinions  
12 in this case?

13 A. Yes.

14 Q. Is that a document that reflects analyses that are  
15 reasonably relied upon by an expert such as you?

16 A. Yes.

17 Q. And is that -- those analyses that are reflected in  
18 Defendant's Exhibit 437 authoritative for purposes of what  
19 we're here to talk about today?

20 A. Yes, they are.

21 MR. DAVIS: Your Honor, move for admission and to  
22 publish Defendant's Exhibit 437.

23 MR. WISNER: Oppose admission, your Honor. I believe  
24 under 703, it may be published, but it does not go into the  
25 record as admitted evidence.

1 THE COURT: I think that's correct.

2 MR. DAVIS: Your Honor, it's the companion document  
3 to Joint Exhibit 13.

4 MR. WISNER: To the extent he's seeking admission, I  
5 would object under hearsay.

6 THE COURT: This is an FDA document?

7 MR. DAVIS: Yes, sir.

8 THE COURT: Why don't -- I'll reserve ruling on it,  
9 but you can go ahead and publish it for purposes of  
10 discussion.

11 MR. DAVIS: Thank you.

12 If we can call up Table 14 on Page 29, and  
13 Mr. Holtzen, if you could highlight the paroxetine information  
14 after you call up that table.

15 BY MR. DAVIS:

16 Q. Dr. Gibbons, please help us out again on what we're  
17 looking at here for purposes of FDA's 2006 analysis.

18 A. What we're looking at is a table that describes the  
19 primary end point, suicidal behavior and ideation among  
20 patients with psychiatric indications for the -- and what's  
21 highlighted is the paroxetine group, and we see very  
22 comparable rates, around a half of 1 percent of those events  
23 in both paroxetine placebo arm and test drug arm, test drug  
24 being paroxetine.

25 Q. So --

1 A. We're also seeing active control studies that were part of  
2 paroxetine. They have a higher rate, a rate of about double  
3 what we're seeing in paroxetine.

4 Q. In this analysis, did we -- did patients taking  
5 paroxetine -- let me back up.

6 In this analysis, did it make a difference in terms  
7 of the occurrence of suicidal thoughts or behavior if a  
8 patient took paroxetine versus placebo?

9 A. No.

10 Q. So how many patients were in the paroxetine arm and how  
11 many were in the placebo arm?

12 A. In paroxetine, there were 8,728 patients. In placebo,  
13 there were 5,763 patients.

14 Q. Now, based upon your review and analysis of the FDA's 2006  
15 adult suicidality data, did the FDA include some studies by  
16 the name of 057 and 106?

17 A. No, they did not.

18 MR. DAVIS: Okay. Let's turn our attention back to  
19 Joint Exhibit 13, and please pull up Table 15, Mr. Holtzen,  
20 and if you could pull up the results for all drugs, SSRIs in  
21 that first category. There you go.

22 BY MR. DAVIS:

23 Q. Okay. So is this Table 15 the FDA's results on the  
24 primary end point of suicidal thoughts or behavior?

25 A. Yes, in adults with psychiatric disorders.

1 Q. And so what is -- what does this table show in terms of  
2 results for paroxetine?

3 A. It shows that there is no association, no increased risk  
4 of suicidal thoughts or behavior or completion in patients  
5 treated with paroxetine relative to placebo.

6 Q. All right. Again, in terms of which direction the risk is  
7 pointing, is it pointing towards decreased risk or increased  
8 risk?

9 A. The point estimate is a 7 percent decrease in the risk of  
10 patients treated with paroxetine relative to placebo.

11 Q. Looking at the larger group of SSRIs, was there any SSRI  
12 that showed a statistically significant increased risk?

13 A. No. All of them had confidence intervals that included  
14 the value 1.0.

15 Q. Okay. So if you look at -- and also if you look at the  
16 classification of SSRIs where they looked at all SSRIs  
17 combined, what was the result?

18 A. The overall odds ratio was .86, which would represent a 14  
19 percent decrease in the risk associated with SSRIs relative to  
20 placebo, not statistically significant. It included the value  
21 1 in the confidence interval.

22 Q. So what about for all drugs? When all drugs combined were  
23 analyzed under the primary end point, what was the result?

24 A. A very similar result, although this one is now right at  
25 the .05 cutoff value, so showing some evidence of a decrease

1 in the risk of suicidal thoughts and behavior among people  
2 treated with all antidepressant medications relative to  
3 placebo.

4 Q. Like GSK's 2006 analysis, did FDA's 2006 analysis also do  
5 subgroup analyses?

6 A. Yes, they did.

7 Q. Let's turn to one of those subgroup analyses which is in  
8 Table 16. Can you pull up the same information?

9           With respect to all drugs and all SSRIs, what was the  
10 finding, Dr. Gibbons?

11 A. Overall, no significant association with treatment related  
12 to suicidal behavior, preparation, or worse, the secondary end  
13 point.

14 Q. What does this table show for paroxetine?

15 A. It shows a statistically significant increase. The 95  
16 percent confidence interval does not include -- does  
17 include -- does not include the value 1. The odds ratio is  
18 2.76 in this subgroup.

19 Q. Is that the only thing that FDA noted about this particular  
20 finding for paroxetine?

21 A. FDA noted that they had done a large number of comparisons,  
22 and it would not be surprising if there would be an occasional  
23 statistically significant difference, and they did not believe  
24 that it was an effect that should be -- that they would reach  
25 a conclusion of a drug safety signal for these data.



1 MR. WISNER: Objection, move to strike, speculation  
2 and hearsay.

3 THE COURT: It's hearsay. Motion to strike is granted.

4 BY MR. DAVIS:

5 Q. All right. Let's go to the statement on Page 23. We've  
6 got a statement here, Dr. Gibbons. Can you please read us  
7 what this statement by FDA says?

8 A. "Although the values for some individual drugs are  
9 statistically significant at the .05 level," the 5 percent  
10 level, "the significance of those findings must be discounted  
11 for the large number of comparisons being made."

12 Q. What does that mean to you as an expert in analyses such  
13 as these?

14 A. It's completely --

15 MR. WISNER: Objection, speculation.

16 MR. DAVIS: I'm asking --

17 THE COURT: Overruled. He's an expert. He can tell  
18 us.

19 BY THE WITNESS:

20 A. It's a completely legitimate conclusion. They've done  
21 well over 150 statistical comparisons, and the occasional  
22 statistically significant result is expected given the large  
23 number of comparisons that they conducted.

24 BY MR. DAVIS:

25 Q. With respect to FDA's statement that -- that the

1 significance of the findings in Table 16 had to be discounted  
2 for the large number of comparisons being made, is that  
3 approach generally accepted in the field of assessing  
4 statistical data on medications such as those studied by FDA  
5 in this analysis?

6 A. Yes, it is.

7 Q. Is it scientifically appropriate to ignore this statement  
8 and to elevate the 2.76 finding to say that there's an  
9 increased risk of suicidal behavior in patients seen taking  
10 paroxetine?

11 A. That would be a scientifically indefensible statement.

12 Q. Why is that?

13 A. Well, it is a subgroup analysis. It's an analysis on a  
14 secondary end point. It's not seen as being consistent with  
15 the primary end point. It's not seen in several of the other  
16 antidepressant medications. And it is a -- you know, it's one  
17 of a huge number of post-hoc comparisons, comparisons that are  
18 done above and beyond the primary analyses that the study was  
19 designed to look at.

20 Q. Would it be appropriate to look at the 2.76 finding in  
21 Table 16 and say that this finding shows that paroxetine  
22 increases the risk of suicidal behavior more than other --  
23 more than the other drugs that were identified in Table 16?

24 A. No. Just in the same way as we saw in the previous table  
25 for the primary end point, there were some drugs that had odds

1 ratios that were greater than 1, and FDA did not conclude that  
2 they were harmful or different from paroxetine.

3 Q. Okay. Now, did FDA also look at whether paroxetine  
4 increased the risk of completed suicide?

5 A. Yes, they did.

6 MR. DAVIS: All right. Let's pull up Joint Exhibit  
7 13 at 042, Table 30, and if we can call up a little bit more,  
8 Mr. Holtzen.

9 BY MR. DAVIS:

10 Q. In this particular analysis, Doctor, what are we looking  
11 at here on the screen?

12 A. This is a comparison of a summary of FDA's analysis  
13 comparing the SSRI arm to the placebo arm for completed  
14 suicide.

15 Q. And it's -- what are the findings?

16 A. We see that there are -- is one event out of 9,951  
17 subjects and no events out of 7,005 subjects. The statistical  
18 comparison of essentially 1 versus zero in these samples is  
19 not statistically significant.

20 Q. So in terms of a difference between, a significant  
21 difference between -- or in association between paroxetine and  
22 placebo in this analysis, was that shown?

23 A. No.

24 MR. DAVIS: Let's also turn to, if we can go back to  
25 DX 437, Page 28, Table 13, and if you can pull up -- if you

1 can pull up the information for "Psychiatric indications."

2 BY MR. DAVIS:

3 Q. Dr. Gibbons, can you tell us what we're looking at here  
4 and what the significance is to you?

5 A. So what we're looking at here is a breakdown of the  
6 various end points: Completed suicide, completed or attempted  
7 suicide, suicidal behavior, and then suicidal ideation and  
8 behavior. And basically, what we're seeing is in the first  
9 two columns virtually identical rates in placebo which is the  
10 first column versus the active treatment groups. All of these  
11 are virtually identical for completed suicide, completed  
12 suicide and attempts, suicidal behavior, and suicidal ideation  
13 and behavior.

14 Q. So for this particular data table and its results, does it  
15 support a claim that antidepressants increase the risk of  
16 suicide, suicidal behavior, or suicidal thinking or behavior?

17 A. No, none of those.

18 Q. Did FDA also do age-related analyses where it looked at  
19 specific time -- ages of patients to assess the risk of  
20 suicidal thoughts or behavior?

21 A. Yes, they did.

22 Q. Let's look at some of those results, JX 13 at 028, Table  
23 17, please.

24 Now, for patients -- well, this is again looking at  
25 the primary end point which was suicidal thoughts or behavior?

1 A. Yes, suicidal ideation or worse.

2 Q. Was there an association of increased risk between  
3 antidepressants and suicidal thoughts or behavior in patients  
4 older than 24?

5 A. No, there was no association with increased risk. There  
6 was a statistically significant association with decreased  
7 risk.

8 Q. Was there an association of increased risk between  
9 antidepressants and suicidal thoughts or behavior in patients  
10 aged 25 to 64?

11 A. No, there was no --

12 MR. WISNER: I'm going to object, your Honor, to the  
13 relevance of this. This is including other sorts of drugs  
14 that are not SSRIs whatsoever, so this is just misleading and  
15 confusing.

16 MR. DAVIS: Your Honor, if I may respond.

17 THE COURT: Does this include -- is this just Paxil?

18 MR. DAVIS: No, your Honor. This addresses  
19 Dr. Healy's claim --

20 THE COURT: No, I don't care what Dr. Healy said. I  
21 don't know what this shows. What does this show?

22 MR. DAVIS: This is all antidepressants, your Honor.

23 THE COURT: All antidepressants?

24 MR. DAVIS: Yes, sir.

25 THE COURT: Not just Paxil?

1 MR. DAVIS: It includes Paxil, but it's not limited  
2 to Paxil.

3 MR. WISNER: Benzodiazepines. I mean, we're talking  
4 about a whole host of different drugs that really have nothing  
5 to do with SSRIs or even affect the serotonin system.

6 MR. DAVIS: I would --

7 THE COURT: Well, let's ask the doctor. What drugs  
8 were included here?

9 THE WITNESS: I would have to go back to the original  
10 report to see for specifically for this table.

11 MR. DAVIS: It's behind Tab 2, Dr. Gibbons.

12 MR. WISNER: It's on the page just before.

13 THE WITNESS: So this is -- this is the collection of  
14 all antidepressants, and it would include SSRIs like  
15 paroxetine, SNRIs like venlafaxine, and it also includes  
16 tricyclic antidepressants like imipramine and other  
17 antidepressants like trazodone. It does not include  
18 benzodiazepines.

19 MR. WISNER: I believe under --

20 THE COURT: Objection sustained.

21 MR. DAVIS: If we can go to Tab -- Joint Exhibit 13,  
22 and if we can go to JX 13-014, section 5.2.

23 And while Mr. Holtzen is pulling that up, did FDA in  
24 this --

25 THE COURT: Where are we now?

1 MR. DAVIS: We are on Page --

2 THE COURT: No. What document is this?

3 MR. DAVIS: JX 13, your Honor.

4 THE COURT: What is it, though?

5 MR. DAVIS: It's the FDA clinical review that's been  
6 admitted.

7 THE COURT: FDA review?

8 MR. DAVIS: Yes, sir.

9 THE COURT: Okay.

10 BY MR. DAVIS:

11 Q. My question, Dr. Gibbons, is that: Did FDA publish its  
12 overall bottom line from all of its analyses in joint  
13 appendix -- excuse me, Joint Exhibit 13?

14 A. Yes, they did.

15 MR. DAVIS: All right. Let's turn, if we can pull up  
16 Section 5.2.

17 MR. WISNER: Again, your Honor, I object to this.  
18 This is referring again to all antidepressants including  
19 tricyclics and a whole host of other drugs that don't affect  
20 the serotonin system.

21 MR. DAVIS: Your Honor, this came in play with  
22 Dr. Healy. It's been shown to the jury before.

23 MR. WISNER: I don't know what he's talking about.  
24 We didn't talk about this with Dr. Healy.

25 THE COURT: Objection sustained.

1 BY MR. DAVIS:

2 Q. Okay. Did the FDA analysis, in your view, show that  
3 paroxetine increased the risk of suicidal behavior or suicidal  
4 thoughts or behavior?

5 A. No, it did not.

6 Q. In your view as a biostatistician and someone who has  
7 looked at -- as someone who spent their career looking at  
8 this, these types of analyses, did it show that paroxetine  
9 increased the risk of completed suicide?

10 A. No, it did not.

11 Q. Does the scientific data from GSK's 2006 analysis that  
12 we've reviewed, is that -- let me back up.

13 All the FDA analyses that we looked at and went over  
14 with the jury, are those consistent with the analyses that GSK  
15 did for purposes of assessing suicidality risk?

16 A. Yes, they are.

17 Q. Given all the analyses conducted by FDA, how would you  
18 describe or characterize the breadth and scope of FDA's  
19 analysis?

20 A. I think that they did an enormous amount of work. They  
21 obtained the largest and most representative and highest  
22 quality data sets that could be used to draw inferences about  
23 the relationship between moderate antidepressants, SSRIs, and  
24 risk of suicide, suicidal behavior, and suicidal ideation.

25 I thought they laid out an excellent plan to



1 adjudicate the data independently, to obtain and review all  
2 events and all -- all of the trials that were conducted by the  
3 sponsors. It's a landmark job.

4 Q. Is there a larger, more robust set of randomized  
5 placebo-controlled trial data that's been analyzed by anyone  
6 either before or after FDA's analysis?

7 MR. WISNER: Objection, speculation. He doesn't have  
8 access to the vast majority of the drug companies' clinical  
9 trial data.

10 MR. DAVIS: Your Honor, I'll rephrase it.

11 BY MR. DAVIS:

12 Q. To your knowledge, Dr. Gibbons, given all of the published  
13 literature that's out there that looks at suicidality risk, is  
14 there a larger, more robust set of randomized placebo-  
15 controlled trial data that's been analyzed by anyone before or  
16 after this analysis by FDA?

17 A. No.

18 Q. The second category of studies that you mentioned that you  
19 looked at which are controlled to assess medications is what  
20 you called observational studies, and I'm going to turn our  
21 attention now to talking about observational studies.

22 Are the observational studies that we're going to  
23 discuss the type of scientific evidence that experts in your  
24 field reasonably rely upon to form opinions?

25 A. Yes.

1 Q. And would showing the results of those studies be helpful  
2 to the jury in explaining your opinions?

3 A. Absolutely.

4 Q. And do you consider the results in those observational  
5 studies which we're going to discuss to be authoritative for  
6 purposes of assessing the issues we're here to talk about?

7 A. Yes.

8 MR. WISNER: Your Honor, I object to this line of  
9 questioning. We previously have addressed this in two motions  
10 now. You have sustained it both times. This is inadmissible  
11 scientific evidence.

12 MR. DAVIS: Your Honor, I would ask to be heard  
13 because Mr. Wisner is mistaken. You have not ruled upon this.

14 THE COURT: You've got me mystified, so we've got to  
15 find out what you're talking about.

16 (Proceedings heard at sidebar:)

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]


21 [REDACTED]


22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]





1

2

3 (Proceedings heard in open court:)

4 BY MR. DAVIS:

5 Q. Are you ready to continue, Dr. Gibbons?

6 A. Yes.

7 Q. All right. Can you give us a refresher, what are  
8 observational studies?9 A. So observational studies are large studies where we look  
10 at the experiences of thousands, hundreds of thousands, in  
11 some cases millions of individuals. In this area, they are  
12 typically based on medical claims.13 So you go to the doctor. The doctor says, "This  
14 patient came to me because of diabetes." The doctor  
15 prescribed a medication. You take that prescription, and you  
16 fill that prescription. That gets recorded in the medical  
17 claims data. These are insurance databases. In some cases,  
18 they're like Veterans Administration databases.19 So they record all of the diseases that you're  
20 treated for, and they record all of the medications that  
21 you're given, and they also record things about you: How old  
22 you are, are you male or female, what race are you, are you  
23 Hispanic, all of these different kinds of things; how long  
24 have you been treated for these disorders, have you ever had  
25 this disorder before or other kinds of treatment.

1           And so now we have all of these different claims of  
2 diagnoses and prescriptions that are filled, and we can  
3 recreate the whole longitudinal pattern of when you took a  
4 medication, when you experienced an adverse event, when you  
5 got a diagnosis, when you got a particular kind of treatment,  
6 did you get psychotherapy, did you get paroxetine at some  
7 point in time, did you make a suicide attempt.

8           We look at these data sets for populations of 40, 50,  
9 100 million people so that we can look at the real-life  
10 experiences of what happens when you take a particular drug  
11 and what kinds of diagnoses or adverse events do you get after  
12 you've taken that drug or compare people who took a drug to  
13 people who didn't take a drug and see what kinds of things  
14 happened to you. Did you have a heart attack? Did you  
15 develop high blood pressure? Did you make a suicide attempt?

16           So that's the kind of data that we're talking about  
17 here. These are very large studies that can actually look at  
18 very rare events like suicide attempts or suicides in entire  
19 populations.

20 Q. Have you yourself conducted such studies?

21 A. Yes, many.

22 Q. Have you conducted studies where you looked at either  
23 antidepressants or SSRIs and suicidality events?

24 A. Yes, I have.

25 Q. Let's talk about the study you did. What was the -- what

1 was the study that you performed?

2 MR. WISNER: Your Honor, at this time, I'd have to  
3 object. There's no foundation laid that these studies are  
4 reliable. They involve multiple types of drugs and, quite  
5 frankly, they're based on observation after the fact. I mean,  
6 this is not reliable scientific evidence. Permission to voir  
7 dire on this issue.

8 MR. DAVIS: Your Honor, I believe I can lay the  
9 foundation if there's any question about it.

10 THE COURT: Why don't you proceed along the lines we  
11 talked at sidebar.

12 MR. DAVIS: I am, yes, sir.

13 THE COURT: Instead of general questioning of this  
14 kind, get specific --

15 MR. DAVIS: Yes.

16 THE COURT: -- so we can tell whether or not we're  
17 still all on the same track.

18 BY MR. DAVIS:

19 Q. Was your study published in a peer-reviewed scientific  
20 journal?

21 A. Yes.

22 Q. What was your study about?

23 A. We studied 226,000 veterans --

24 THE COURT: Is it here attached?

25 MR. DAVIS: I'm sorry, your Honor?

1 THE COURT: Is it attached?

2 MR. DAVIS: It is in -- it's discussed in his report,  
3 and it's also part of, it's Tab 7, DX 1 --

4 THE COURT: Tab 7?

5 MR. DAVIS: Yes.

6 MR. WISNER: Your Honor, I have to renew my  
7 objection. This is regarding a veterans study. There is  
8 absolutely zero evidence that Mr. Dolin served in our armed  
9 forces. I don't see how this has any bearing whatsoever on  
10 this case.

11 MR. DAVIS: Your Honor, it's an assessment of the  
12 issues that Dr. Gibbons has talked about, and it bears  
13 directly on why we're here today.

14 MR. WISNER: Studying observational effects of  
15 antidepressants in suicides in veterans who are suffering from  
16 a myriad of psychological conditions that us non-veterans  
17 don't suffer from --

18 MR. DAVIS: Your Honor, I would ask --

19 MR. WISNER: -- is completely --

20 MR. DAVIS: I don't think there's a need to read the  
21 document. We're going to get into the document after the  
22 Court looks at it subject to the Court's --

23 MR. WISNER: I'm not reading anything. I was  
24 objecting to the scientific legitimacy of using this in this  
25 trial, your Honor.



1 (Pause.)

2 THE COURT: The conclusion of the study takes us  
3 beyond where we are today as I read the conclusion. It is not  
4 based on Paxil. It's again based on SSRIs. And to the extent  
5 that we are focusing on Paxil, it seems to me we're off the  
6 track by going into this particular study.

7 However, if there's something in particular in the  
8 study that you want to point out in terms of technique or  
9 statistics, I'll let you do that.

10 MR. DAVIS: I think I can address the Court's concern  
11 in about three questions with Dr. Gibbons if you'll permit me.

12 BY MR. DAVIS:

13 Q. Dr. Gibbons, did this study that you conducted look at  
14 SSRIs and suicide, suicide-related events?

15 A. Yes.

16 Q. And did this particular -- in this study, did you report  
17 out the results when you looked at people who took SSRIs and  
18 people who did not take SSRIs and whether there was a  
19 difference in those two groups for suicide attempts?

20 A. Yes.

21 MR. DAVIS: All right. Your Honor, I would seek  
22 permission to publish the results that are in Table 2.

23 THE COURT: Mr. Wisner?

24 MR. WISNER: I was just looking at what Table 2 is,  
25 your Honor.

1 THE COURT: Pardon me?

2 MR. WISNER: One second.

3 MR. DAVIS: It's on Page 1047.

4 MR. WISNER: Again, with all of my objections, to the  
5 extent that this is way beyond the scope of this case, if the  
6 Court wants to let him read the statistics, I guess I can't  
7 stop that.

8 MR. DAVIS: Your Honor, this refutes Dr. --

9 THE COURT: Table 2?

10 MR. DAVIS: Yes. Table 2, Page 1047.

11 MR. WISNER: And I'd just focus, your Honor, it's the  
12 cohort of veterans so --

13 THE COURT: The objection is sustained.

14 MR. DAVIS: I'm sorry?

15 THE COURT: The objection is sustained.

16 MR. DAVIS: Your Honor, this is the same type of data  
17 that Dr. Healy discussed with SSRI's with both Juurlink and the  
18 Healy/Fergusson article.

19 THE COURT: Go into those articles if you want to.

20 MR. DAVIS: But they are articles and studies that  
21 show the -- different results than what Dr. Healy presented to  
22 the jury.

23 THE COURT: Well, sir, I'm not ruling on any of that,  
24 and I'm not disagreeing with you as to what you recall, but I  
25 am ruling this out because it's a study that deals with all

1 SSRIIs, and I'm fearing that we're going too far beyond the  
2 scope of the case that we are adjudicating.

3 MR. DAVIS: So the objection is sustained?

4 THE COURT: Correct.

5 MR. DAVIS: All right, your Honor. Thank you.

6 BY MR. DAVIS:

7 Q. Doctor, are you familiar with two observational studies  
8 done by a gentleman and researcher by the name of Dr. Gregory  
9 Simon?

10 A. Yes, I am.

11 Q. And did those observational studies assess whether or not  
12 the risk of taking an SSRI was higher in the first few months  
13 of treatment?

14 A. Yes, they did.

15 Q. Are those studies the type of reasonably -- evidence that  
16 experts in your field would reasonably rely upon to form  
17 opinions?

18 A. Yes, they are.

19 Q. And are those the types of studies that you would  
20 reasonably rely upon to assess the risk of taking an SSRI in  
21 the first few months of treatment?

22 A. Yes.

23 Q. Let's turn our attention to those two particular studies,  
24 if you can turn -- if you need it, it's behind Tab 8 of your  
25 notebook. But do you consider the Simon studies, the one that

1 was published in 2006 and the others in 2007, authoritative in  
2 terms of addressing the issues we're here to talk about today?

3 A. Yes, I do.

4 Q. All right. With respect to the first Simon study that was  
5 conducted in 2006, can you tell the jury about how that study  
6 was done?

7 A. This was a study that looked at the initiation of  
8 antidepressant SSRI therapy in a large cohort of depressed  
9 patients, and what the study found was that the risk of  
10 suicide attempts was greatest in the month prior to initiation  
11 as opposed to after initiation.

12 MR. WISNER: At this time, your Honor, I'd move to  
13 strike this testimony. Any evidence about there being  
14 increased risk of suicidality prior to taking a drug has  
15 absolutely no bearing on this case.

16 MR. DAVIS: Your Honor, one of the issues that  
17 Dr. Healy raised and Dr. Glenmullen as well is that after you  
18 take one of these medicines, you have an increased risk of  
19 suicidal thoughts or behavior. And this study directly bears  
20 on that to show that, in fact, something much different is  
21 going on with these patients and there is no increased risk.  
22 And that's what I want to talk with the jury about.

23 MR. WISNER: I believe he -- Dr. Gibbons just  
24 testified that the greatest increased risk was in the month  
25 prior to initiating an SSRI. I couldn't think of a more

1 irrelevant thing in this case. There was no suicide attempt  
2 by Mr. Dolin in the month prior to his initiation of Paxil.

3 MR. DAVIS: Your Honor, you can't look at these  
4 issues in a vacuum. You have to look at whether or not the  
5 issue of risk is different before or after taking the  
6 medication, and this study directly bears on that.

7 MR. WISNER: I mean, your Honor, just to put things  
8 in context, a lot of people start taking an SSRI or go see a  
9 psychiatrist because they made a suicide attempt. So  
10 obviously, it's going to be highest just before starting an  
11 SSRI. That tells us nothing about the association of the  
12 drug, specifically Paxil, and suicide. This is -- this is  
13 more of this --

14 MR. DAVIS: Your Honor --

15 MR. WISNER: -- you know, going down rabbit holes.

16 THE COURT: I'm going to sustain the objection.

17 MR. DAVIS: Your Honor, it's not a rabbit hole. It  
18 is something that this expert has relied upon for purposes of  
19 his opinions in the case.

20 THE COURT: Well, I respect him, and I'm sure his  
21 opinions are well founded, but not for this case. I'm not  
22 ruling on his opinions. I'm ruling on whether or not it's  
23 relevant to the issues before this jury and, therefore, I  
24 sustain the objection.

25 BY MR. DAVIS:

1 Q. Let's talk about another observational study, this one in  
2 2009 by a Dr. Barbui. Are you familiar with that one?

3 A. Yes, I am.

4 Q. Did you -- is that the type of information that you would  
5 reasonably rely upon to form opinions?

6 A. Yes.

7 Q. And do you consider that particular article authoritative  
8 for purposes of the issues we're here to talk about today?

9 A. I do.

10 Q. Did this particular study have data on paroxetine in adult  
11 patients?

12 A. Yes, it did.

13 Q. Tell us about how this study was conducted.

14 A. Can you point me to the tab?

15 Q. Yes. It's behind Tab 10, Dr. Gibbons.

16 A. So this was a meta-analysis of observational studies that  
17 involved over 200,000 patients with moderate to severe  
18 depression. The overall study looked at SSRIs, and it looked  
19 at age stratification based on children, adults, and the  
20 elderly. The study found statistically significant protective  
21 effects of treatments with SSRI on suicide attempts across all  
22 of the SSRIs and then --

23 MR. DAVIS: If we can turn to Table -- Figure 4 on  
24 Page 29- -- well, before we do that, your Honor, I seek  
25 permission to publish DX 1027 and the figure on -- Figure 4 on

1 Page 296 which has to do with the paroxetine data.

2 THE COURT: All right.

3 MR. WISNER: No objection to that.

4 THE COURT: You may proceed.

5 MR. DAVIS: Okay. If we can call that up --

6 THE COURT: The page again? 296?

7 MR. DAVIS: Yes, sir.

8 BY MR. DAVIS:

9 Q. So I think you mentioned, Dr. Gibbons, that this  
10 particular observational study involved over 200,000 patients  
11 with moderate or severe depression?

12 A. Yes.

13 Q. So when -- and what we have called up is Figure 4. What  
14 were the results for paroxetine in terms of completed suicide  
15 or attempted suicide in adults taking that medication?

16 A. There was no evidence of increased risk of people taking  
17 paroxetine and either making a suicide attempt or dying by  
18 suicide.

19 Q. Are you familiar with an observational study by Dr. Olsson  
20 that was published in 2006?

21 A. Yes.

22 Q. Is that a study that you relied upon to form your opinions  
23 in this case?

24 A. Yes.

25 Q. And for purposes of that type of analysis, is it the type

1 that experts in your field would reasonably rely upon?

2 A. Yes, it is.

3 Q. Do you view it as authoritative for purposes of the issues  
4 we're here to talk about today?

5 A. I do.

6 Q. Can you describe this particular study?

7 A. Can you remind me which tab I'm looking at?

8 Q. Yes. That would be Tab 11.

9 A. So this was a large case control study. It involved  
10 Medicaid beneficiaries across all 50 states. And there was an  
11 overall analysis in adults age 19 through 64 years of age  
12 and --

13 Q. Can you turn to Page 869, Table 3? And before we show  
14 that, can you tell me if that -- if this study had information  
15 on adults who took paroxetine?

16 A. Yes, it did.

17 MR. DAVIS: And so, your Honor, permission to publish  
18 DX 1273 and the table, Table 3.

19 THE COURT: And what page is that on?

20 MR. DAVIS: 869.

21 MR. WISNER: No objection since it has actually Paxil  
22 on it -- paroxetine. Sorry.

23 THE COURT: Table 3?

24 MR. DAVIS: Yes.

25 THE COURT: Okay.



1 MR. DAVIS: Thank you.

2 BY MR. DAVIS:

3 Q. All right. For starters, what age ranges are we looking  
4 at for purposes of analysis for paroxetine that's up here on  
5 the screen in front of the jury?

6 A. 19 to 64.

7 Q. And what was the results for whether or not there was an  
8 increased risk of suicide attempts in adult patients?

9 A. There was no association between taking paroxetine and  
10 suicide attempts in this large Medicaid database.

11 Q. For this study, did it also have information on paroxetine  
12 and completed suicide in adult patients?

13 A. Yes, it did.

14 Q. Let's go to Table 5 on Page 870. Again, Dr. Gibbons, what  
15 age range are we looking at for purposes of this analysis?

16 A. 19 to 64.

17 Q. And for the results for paroxetine and completed suicide,  
18 was there an association between the two?

19 A. No significant association, no increased risk.

20 Q. So does this study support the claim that paroxetine  
21 increases the risk of suicide attempts or completed suicide in  
22 adult patients?

23 A. No, it does not support that.

24 Q. Okay. For the previous observational study that we looked  
25 at, the Barbui study in 2009, did that observational study

1 support the claim that paroxetine increases the risk of suicide  
2 attempts or completed suicide?

3 A. No, it did not.

4 Q. Let's turn our attention to Olfson 2008. That's behind  
5 Tab 12. Are you familiar with this article?

6 A. Yes, I am.

7 Q. Did you read -- did you review and rely upon it in terms  
8 of forming your opinions in this case?

9 A. I did.

10 Q. Is the information that's in this article the type that  
11 would -- experts in your field would reasonably rely upon to  
12 form opinions?

13 A. Yes, it is.

14 Q. And do you believe that the information and data that's in  
15 this study is authoritative for purposes of what we're here  
16 talking about today?

17 A. Yes, I do.

18 Q. Is this a different observational study than the previous  
19 one we talked about?

20 A. Yes, it is.

21 Q. And did this observational study look at whether or not  
22 there's an association between SSRIs and the risk of suicide  
23 attempts in the first three months of treatment?

24 A. Yes, it did.

25 MR. DAVIS: Permission to publish, your Honor, DX

1 1275.

2 THE COURT: All right.

3 BY MR. DAVIS:

4 Q. If we can look at Table 2, are we looking here at results  
5 for adult patients, Dr. Gibbons?

6 A. Yes, we are.

7 Q. And was there an increase in risk for suicide attempts in  
8 the first three months of treatment with SSRIs in this  
9 particular study?

10 A. No, there was not.

11 Q. Okay. And when these researchers assessed whether suicide  
12 attempts increased as the dose of the medication went up, what  
13 did they find?

14 A. They found no dose response relationship.

15 Q. So what does that tell us?

16 A. It says that as the exposure, the amount of dosage of the  
17 medication that people received increased, the rate of suicide  
18 attempts did not increase.

19 Q. What was the results in this study for all adult males  
20 taking antidepressants?

21 A. For any antidepressant, the odds ratio was .85, and the  
22 confidence interval included the value 1.

23 Q. And what were the results for adult males specifically?  
24 Did it show a protective effect? Did it show a decreased  
25 risk? Did it show increased risk?

1 A. That's not on this part of the slide.

2 MR. DAVIS: I think we can go down a little bit,  
3 Roger. It's a little bit further down.

4 THE WITNESS: This study found a statistically  
5 significant decrease in the risk. It was about one-third of  
6 the risk in males, in adult males, relative to those that did  
7 not take an SSRI.

8 BY MR. DAVIS:

9 Q. Okay. Are you familiar with an observational study that  
10 was authored by Dr. Leon?

11 A. Yes.

12 Q. Did you review and consider that study to form your  
13 opinions in this case?

14 A. I did.

15 Q. Is that study the type of information that experts in your  
16 field would reasonably rely upon?

17 A. Yes, it is.

18 Q. For purposes of the issues we're here to talk about today,  
19 do you consider that study authoritative?

20 A. I do.

21 Q. Can you describe what kind of study this was and how the  
22 data was assessed?

23 A. So this was a very unusual study. This was a study that  
24 was originally funded 27 years ago, actually longer than 27  
25 years ago, by the National Institute of Mental Health. It was

1 a collaborative study on the psychobiology of depression, and  
2 it studied a cohort of about 1,000 patients who were treated,  
3 who were treated for severe depression.

4           And what was unusual about this study is that they  
5 were able to follow these subjects up for a full 27 years.  
6 And they were able to compare the rates of suicide attempts  
7 and suicides during periods of treatment with antidepressants  
8 versus treatment, periods of treatment without  
9 antidepressants.

10 Q. And when -- and so for purposes of their analysis, did  
11 they look at whether there's an association between  
12 antidepressants and either suicide and suicide attempts?

13 A. Yes, they did.

14 Q. And what did they find?

15 A. They found a 20 percent reduction in the likelihood of a  
16 suicide attempt or a completed suicide during those periods  
17 with antidepressant treatment relative to those periods of  
18 time where patients were not treated. And that difference was  
19 statistically significant.

20 Q. Are you familiar with an article by Dr. Barbui who  
21 published the article we talked briefly about earlier in -- he  
22 published one in 2009. He also published an article in 2008.  
23 Are you familiar with the 2008 article?

24 A. Yes, I am.

25 Q. Okay. Let's talk about that particular analysis. Did

1 that study look at the same -- let me back up. Did that  
2 article take some data from FDA's 2006 analysis and study it?

3 A. Yes, it did.

4 Q. For the end point that that study used, what was it?

5 A. It was all of the categories from the Columbia  
6 classification including those categories of events unknown or  
7 undetermined.

8 Q. So had -- did they call that grouping suicidal tendencies?

9 A. Yes, they did.

10 Q. And is that a recognized end point that's been validated?

11 A. No.

12 Q. To your knowledge, has anybody else ever used that type of  
13 end point to make assessments for risk for paroxetine or other  
14 SSRIs other than the authors in the Barbui article of 2008?

15 A. This is the first time I've ever seen it.

16 Q. And do you believe that that measure is a reliable -- that  
17 they utilized of suicidal tendencies was a measure by which  
18 one could properly and appropriately conduct an analysis?

19 A. No.

20 MR. WISNER: Objection, improper opinion. He's  
21 talking about suicidal tendencies and whether or not that's an  
22 improper evaluation. He doesn't have the medical know-how to  
23 make that determination.

24 THE COURT: You can cover that on cross-examination.

25 MR. WISNER: Okay.

1 THE WITNESS: The --

2 THE COURT: He said no. Another question.

3 BY MR. DAVIS:

4 Q. Why not?

5 A. Because the categories that were included were categories  
6 that the group at Columbia defined as being too unreliable to  
7 be included. These were also the same categories that were  
8 excluded in the analysis performed by the USFDA.

9 Q. Are you familiar with an article by the name of Juurlink  
10 that was published in 2006?

11 A. Yes.

12 Q. Okay. What were the ages of the patients in that  
13 particular study?

14 A. They were all older than 65.

15 Q. And how do those patients compare -- so those patients  
16 were all older than someone in the 50 to 60-year range,  
17 obviously?

18 A. That's correct.

19 Q. So did the authors make any statements about whether their  
20 findings applied to younger patients?

21 A. They indicated that they did not.

22 MR. DAVIS: All right. Your Honor, permission to  
23 publish PX 259. This was an article that had been previously  
24 used in plaintiff's case.

25 THE COURT: You may proceed.

1 MR. DAVIS: Thank you. Mr. Holtzen, if you could  
2 call up P 259-7.

3 MR. WISNER: Your Honor, we're just going to have a  
4 maintaining objection to this. This was not cited ever in his  
5 expert report.

6 THE COURT: Wait. Whose report?

7 MR. WISNER: His. It was never disclosed in his  
8 report.

9 THE COURT: The witness's report?

10 MR. WISNER: That's correct. Dr. Gibbons never cited  
11 this in his report as well as actually quite a few other ones,  
12 but this one in particular he did not cite. When it was shown  
13 to him in his deposition, he had never seen it before.

14 THE COURT: When it was shown to him at his  
15 deposition, he never saw it before?

16 MR. WISNER: Right, yes. And he said, "I have no  
17 opinion."

18 THE COURT: But it was referred to during the  
19 plaintiff's case?

20 MR. DAVIS: Yes.

21 THE COURT: You may proceed.

22 MR. DAVIS: Thank you.

23 Let's call up P 259.7 -- I'm sorry. That's not the  
24 right one. It's on Page 819, left-hand column, first full  
25 paragraph.



1 MR. WISNER: Completely different exhibit. There we  
2 go.

3 MR. DAVIS: Okay.

4 THE COURT: Are we in two different exhibits now?

5 MR. DAVIS: We've got the right one now.

6 MR. WISNER: Yeah, we flashed a previous exhibit just  
7 now that wasn't this one.

8 BY MR. DAVIS:

9 Q. All right. So what's up here on the screen is a statement  
10 from the Juurlink article that says, "We used administrative  
11 data and had no direct measure of antidepressant doses or  
12 adherence."

13 I don't want to ask you about that, but instead I  
14 want to ask you where it says, "and the applicability of our  
15 findings to younger patients is not known." Did I read that  
16 right?

17 A. Yes, you did.

18 Q. And so do you agree that in terms of whatever findings  
19 they made about the medications that they studied is not  
20 applicable to younger patients?

21 A. Yes.

22 Q. Now, did the authors in this article make any comments  
23 about whether the suicides that occurred were likely due to  
24 depression rather than the medication?

25 A. They did.

1 Q. Let's look at that, if we can call up PX 259 at Page 817.  
2 Okay. Is this another statement where it says, "Many suicides  
3 during the first month of treatment likely result from  
4 depression itself rather than an adverse effect of treatment"?  
5 Is that --

6 MR. WISNER: Objection. That's an incomplete sentence.

7 THE COURT: It's an incomplete sentence. Finish the  
8 sentence.

9 BY MR. DAVIS:

10 Q. Okay. "The actual risk of suicide due to antidepressant  
11 therapy is probably far lower."

12 My question to you, Dr. Gibbons, is: Given this  
13 statement, what significance is it of you when you look at  
14 this particular article and try to make an assessment of what  
15 it means?

16 A. Well, I think you have to kind of look at the article and  
17 what it is that they're attempting to do. This is a study  
18 that looked at a large cohort of elderly patients, 65 and  
19 over, and broke down the rates of suicide by month. And what  
20 they found was that there was an increase, almost a fivefold  
21 increase in the rate of suicide in the first month which then  
22 disappeared in following months. It was a case-controlled  
23 study.

24 So what a case control study is doing is it's  
25 comparing cases which in this case are people who completed

1 suicide to patients who did not complete suicide, and then it  
2 compares the rates of treatment of those patients between  
3 those two groups.

4 In this case, it used 4-to-1 matching. So for every  
5 case of suicide, there were four people who were included who  
6 didn't commit suicide. They tried to match on a series of  
7 things that are available in administrative data. Obviously,  
8 the thing we really want to match on is the severity of  
9 depression and, of course, the severity of depression is not  
10 known in an administrative database.

11 MR. WISNER: Objection, complete speculation. He has  
12 not seen this data. He's read the same article I have.

13 MR. DAVIS: Your Honor, I think he's interpreting the  
14 article.

15 THE COURT: I think he is, too. He may answer.

16 And you may cross-examine.

17 BY MR. DAVIS:

18 Q. Did this article, Dr. Gibbons, have any data that --  
19 specifically on paroxetine?

20 A. No.

21 Q. And so in terms of whether it can tell us whether  
22 paroxetine increases the risk of suicide or suicide attempt,  
23 can it do it?

24 A. No.

25 Q. So what did it assess? Did it assess SSRIs as a group?

1 A. It looked at -- I want to make sure that I'm accurately  
2 answering that question. Which tab?

3 Q. It would be behind Tab 19.

4 A. This was a comparison of the rate of suicide, the rate  
5 of -- this was a comparison between SSRIs and all other  
6 classes of antidepressants. So what these -- what these  
7 authors did is they compared, they looked to see whether or  
8 not SSRIs were distinct from people who took a different class  
9 like a tricyclic antidepressant, was there something special  
10 about SSRIs in their association with suicide, this elevated  
11 rate of suicide they uncovered in the first month.

12 And so it was a comparison of SSRIs to all other  
13 classes of antidepressants. There wasn't a paroxetine versus  
14 tricyclic antidepressant comparison in this study.

15 Q. All right. So did GSK's 2006 adult analysis analyze  
16 whether paroxetine increases the risk of suicidal thoughts or  
17 behavior in adults over the age of 65?

18 MR. WISNER: Objection, asked and answered five times.

19 THE COURT: Yes, it's covered.

20 BY MR. DAVIS:

21 Q. All right. In terms of this -- given that the Juurlink  
22 article doesn't look at paroxetine patients and the GSK 2006  
23 analysis does look at paroxetine patients, which one of the  
24 two are you going to focus in on and think is more reliable?

25 A. Well --

1 MR. WISNER: Objection, leading.

2 THE COURT: It's somewhat leading, but you may answer.

3 BY THE WITNESS:

4 A. The paroxetine trials conducted by GSK are more relevant  
5 to this question.

6 BY MR. DAVIS:

7 Q. To your knowledge, has the Juurlink analysis -- let me  
8 back up. Has the Juurlink analysis been replicated in other  
9 control studies?

10 A. There have been two attempts to replicate this finding of  
11 an increased risk in that first month following treatment.  
12 The first was a study conducted by the FDA where they looked  
13 at completed suicides in all of the short-term randomized  
14 control trials, similar period of time, one month, two months.  
15 The results of that study found no increased risk of completed  
16 suicide in patients taking SSRIs versus any other class of  
17 medications or --

18 MR. WISNER: I'm going to object to hearsay. What is  
19 this document he's talking about?

20 THE WITNESS: It's the Hammad paper 2006.

21 MR. WISNER: Then objection, hearsay.

22 THE WITNESS: We've --

23 THE COURT: All right. I'll sustain. Let's go on.

24 MR. DAVIS: Your Honor, it's the same document that  
25 Dr. Gibbons talked about early in his examination, the 2006

1 Hammad paper that was published to the jury. I don't believe  
2 that the objection is a proper objection.

3 THE COURT: Was that paper previously presented?

4 MR. DAVIS: Yes, sir. It went up on the screen, I  
5 believe.

6 Did it not, Doctor.

7 THE WITNESS: Yes, sir.

8 MR. DAVIS: Yes.

9 MR. WISNER: Well, then can we see the document? I  
10 mean, best evidence here, your Honor.

11 THE COURT: I'm a little confused. You're going to  
12 have to clear it up on cross-examination.

13 MR. WISNER: Okay.

14 MR. DAVIS: So just so the record is -- Dr. Gibbons's  
15 testimony stands?

16 THE COURT: It may stand.

17 MR. DAVIS: Thank you, sir. Thank you.

18 BY MR. DAVIS:

19 Q. You mentioned the other -- you mentioned two studies where  
20 it wasn't replicated. What's the other one?

21 A. The second study was conducted by Schneeweiss and his  
22 group from the FDA sentinel network, so another FDA study.  
23 And this was a study that compared SSRIs as a group to other  
24 classes of antidepressants and also individual SSRIs to the  
25 other class of SSRIs and other classes of medication.

1           This was done in approximately 280,000 patients.  
2 This was a cohort study, not a case control study. And that  
3 study found that there was no difference in risk between SSRIs  
4 as a class and other classes of antidepressants and no  
5 difference between paroxetine in particular and other SSRIs or  
6 other non-SSRIs in completed suicide rates.

7           And that analysis was conducted over a long period of  
8 time but also plotted out for every single month. And there  
9 was no evidence of an increased risk, not twofold, threefold,  
10 fourfold, or fivefold, no increased risk during that first  
11 month period. So it did not replicate the result originally  
12 found by Juurlink.

13           MR. WISNER: Objection, hearsay.

14           THE COURT: Well, you may cover it on cross.

15           MR. WISNER: I don't even know what he's talking  
16 about. He's talking about a magic study. Do we have the  
17 document?

18           MR. DAVIS: I'll just go to my next question.

19           THE COURT: Is there a document to support this?

20           MR. DAVIS: I don't have it in the notebook, your  
21 Honor, but he's familiar with it. He just spoke about it.

22           MR. WISNER: It wasn't -- is this in his expert  
23 report?

24           MR. DAVIS: May I ask the next question?

25           THE COURT: Is it in his report?

1 MR. DAVIS: I don't remember, your Honor.

2 Schneeweiss, I don't know.

3 MR. WISNER: I'm at a bit of a disadvantage. I don't  
4 have the document. I don't know if it's in his report. Is  
5 it? That's not it.

6 It's not in his report, so we can't just bring up  
7 studies that no one has talked about before. I can't  
8 cross-examine him. I don't know what he's talking about.

9 THE COURT: All right. I'm going to sustain the  
10 objection. Go on to something else.

11 MR. DAVIS: Thank you, your Honor.

12 BY MR. DAVIS:

13 Q. All right. The Healy/Fergusson article, are you familiar  
14 with that article?

15 A. Yes.

16 Q. And did FDA specifically assess the data in the  
17 Healy/Fergusson article?

18 A. They did.

19 MR. DAVIS: Now, if we can please call up JX 13, 043,  
20 Mr. Holtzen. All right. And if you can, pull up that table.  
21 All right.

22 BY MR. DAVIS:

23 Q. Dr. Gibbons, as part of the FDA's review of adult  
24 suicidality issue, did it also review the results from the  
25 published article in Healy/Fergusson?



1 A. Yes, they did.

2 Q. And did the Healy/Fergusson article have any analyses that  
3 were specifically assessing paroxetine?

4 A. No.

5 Q. So can the Healy/Fergusson article tell us whether  
6 paroxetine either causes -- excuse me. So can the Healy/  
7 Fergusson article tell us whether paroxetine increases the  
8 risk of suicide or suicide attempts or fatal suicide attempts?

9 A. No.

10 Q. And what did the Healy/Fergusson article assess?

11 A. It was a comparison of a number of randomized control  
12 trials. It initially looked at a very large number of trials.  
13 Only half of those trials, a little less, actually ended up in  
14 the analysis.

15 Q. So in terms of what medications, did it group all SSRIs  
16 together as a group?

17 A. Yes, it did.

18 Q. And so for the result of fatal suicide attempts, what did  
19 that analysis find as to all SSRIs? And if you need that,  
20 that's behind Tab -- it should be behind Tab -- I'm not sure I  
21 have it behind a tab.

22 MR. WISNER: Objection to "fatal suicides" as vague.  
23 Are we talking about suicides here?

24 MR. DAVIS: That's the description from the article  
25 itself. I think Mr. -- Mr. Wisner should know that --

1 THE COURT: Well, we don't have the article here now,  
2 so I think we're all at somewhat of a disadvantage, so we'll  
3 recess until tomorrow morning at 9:30, ladies and gentlemen.  
4 Thank you very much.

5 (Proceedings heard in open court. Jury out.)

6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]  
12 [REDACTED]  
13 [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED]  
17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED]  
20 [REDACTED]  
21 [REDACTED]  
22 [REDACTED]  
23 [REDACTED]  
24 [REDACTED]  
25 [REDACTED]

1 [REDACTED] [REDACTED]  
2 [REDACTED] [REDACTED]  
3 [REDACTED] [REDACTED] [REDACTED]  
4 [REDACTED] [REDACTED]  
5 [REDACTED]  
6 [REDACTED] [REDACTED]  
7 [REDACTED] [REDACTED] [REDACTED]  
8 [REDACTED] [REDACTED] [REDACTED]  
9 [REDACTED]  
10 [REDACTED] [REDACTED] [REDACTED]  
11 [REDACTED]  
12 [REDACTED] [REDACTED]  
13 [REDACTED]  
14 [REDACTED]  
15 [REDACTED]  
16 [REDACTED] [REDACTED] [REDACTED]  
17 [REDACTED] [REDACTED]

(Proceedings adjourned from 4:30 p.m. to 9:30 a.m.)

18  
19  
20  
21  
22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

We, Charles R. Zandi and Judith A. Walsh, do hereby certify that the foregoing is a complete, true, and accurate transcript of the proceedings had in the above-entitled case before the Honorable WILLIAM T. HART, one of the judges of said Court, at Chicago, Illinois, on April 4, 2017.

/s/ Charles R. Zandi, CSR, RPR, FCRR April 4, 2017

/s/ Judith A. Walsh, CSR, RDR, F/CRR April 4, 2017

Official Court Reporters  
United States District Court  
Northern District of Illinois  
Eastern Division