

IN THE SUPERIOR COURT FOR THE STATE OF ALASKA  
THIRD JUDICIAL DISTRICT AT ANCHORAGE

STATE OF ALASKA, )  
 )  
 Plaintiff, )  
 )  
 vs. )  
 )  
 ELI LILLY AND COMPANY, )  
 )  
 Defendant. )  
 )  
 \_\_\_\_\_ )  
 Case No. 3AN-06-05630 CI

VOLUME 11

TRANSCRIPT OF PROCEEDINGS

March 17, 2008 - Pages 1 through 256

BEFORE THE HONORABLE MARK RINDNER  
Superior Court Judge

1 A-P-P-E-A-R-A-N-C-E-S

2 For the Plaintiff:

3 STATE OF ALASKA  
 4 Department of Law, Civil Division  
 Commercial/Fair Business Section  
 5 1031 West 4th Avenue, Suite 200  
 Anchorage, Alaska 99501-1994  
 6 BY: CLYDE "ED" SNIFFEN, JR.  
 Assistant Attorney General  
 7 (907) 269-5200  
 8 FIBICH, HAMPTON & LEEBRON LLP  
 Five Houston Center  
 9 1401 McKinney, Suite 1800  
 Houston, Texas 77010  
 10 BY: TOMMY FIBICH  
 (713) 751-0025

11 CRUSE, SCOTT, HENDERSON & ALLEN, LLP  
 12 2777 Allen Parkway, 7th Floor  
 Houston, Texas 77019-2133  
 13 BY: SCOTT ALLEN  
 (713) 650-6600

14 RICHARDSON, PATRICK,  
 15 WESTBROOK & BRICKMAN  
 1037 Chuck Dawley Boulevard, Building A  
 16 Mount Pleasant, South Carolina 29464  
 BY: DAVID L. SUGGS, Of Counsel  
 (843) 727-6522

1 PROCEEDINGS  
 2 THE COURT: Please be seated.  
 3 We're back on the record in State  
 4 of Alaska versus Eli Lilly and Company,  
 5 3AN-06-5630 Civil. We're on the record outside  
 6 the presence of the jury. All counsel are  
 7 present.

8 Good morning, everybody. I hope  
 9 you had a nice weekend.  
 10 Just a couple of things. We're  
 11 still waiting for a couple of jurors who aren't  
 12 here yet. Am I correct that our schedule today  
 13 is that we're going to take a State witness out  
 14 of order first --

15 MR. FIBICH: Lilly witness.

16 THE COURT: Excuse me. A Lilly  
 17 witness out of order. And when that witness is  
 18 concluded, then we'll resume with the deposition  
 19 testimony?

20 MR. ALLEN: Yes, sir. And we have  
 21 an additional video. You had ruled on it  
 22 previously. We did 12 minutes of David  
 23 Noesges and we provided it to the Defendants.

24 THE COURT: Okay.

1 A-P-P-E-A-R-A-N-C-E-S, continued

2 For Defendant:

3 PEPPER HAMILTON LLP  
 4 301 Carnegie Center, Suite 400  
 Princeton, New Jersey 08543  
 5 BY: JOHN F. BRENNER  
 GEORGE LEHNER  
 6 NINA GUSSACK  
 (609) 452-0808  
 7 LANE POWELL, LLC  
 8 301 West Northern Lights Boulevard  
 Suite 301  
 9 Anchorage, Alaska 99503-2648  
 10 BY: BREWSTER H. JAMIESON  
 (907) 277-9511

1 MR. ALLEN: And so I can get the  
 2 exact time but I think -- it's my recall -- we  
 3 have like -- I may miss it by a couple of  
 4 minutes, an hour and 48 minutes of additional  
 5 video. We want to admit a few exhibits. And  
 6 Mary Beth is going to meet with Mr. Borneman this  
 7 afternoon after trial.

8 In addition to that, I have  
 9 pursuant to the Court's instructions of last  
 10 Wednesday concerning what testimony I'd like to  
 11 have admitted concerning the percentage of use  
 12 other than schizophrenia and bipolar. I have the  
 13 testimony of Denise Torres, which I just handed  
 14 you at Page 136, Line 6, through 136, Line 15,  
 15 which I'd like to introduce and for you to  
 16 reconsider on. We filed a memoranda -- somebody  
 17 needs to file a motion with it -- concerning our  
 18 ability to play the excluded portions of Ms. Joey  
 19 Eski's deposition concerning the lobbying efforts  
 20 surrounding restrictions on Zyprexa.

21 THE COURT: Okay. Let me take  
22 these all one at a time.

23 MR. ALLEN: Yes, sir. I don't need  
24 to take them up now. I wanted you to --

25 THE COURT: To the extent we can

1 get a few things done and get a few issues  
2 cleared up in my mind.

3 Lilly has filed a response to my  
4 inquiry regarding the termination of civil  
5 penalties. I need something quickly from the  
6 State.

7 MR. ALLEN: I thought --

8 MR. FIBICH: I think we've about  
9 got that ready, Your Honor. We'll have it to you  
10 this morning, I think.

11 THE COURT: Okay. Then I received  
12 Lilly's deposition counterdesignations and  
13 objections to the Breier deposition. I assume  
14 this is just the original that I'm getting or --

15 MR. LEHNER: I think they'd added  
16 about 12 minutes or so. There was some --  
17 there's new stuff that had not been on the  
18 previous stuff and I think this is just a  
19 supplement if that's what it is. When we looked  
20 at it, there was some new stuff added into  
21 Dr. Breier that we hadn't seen previously  
22 designated.

23 MR. ALLEN: Well, if there was, it  
24 was unintentional because we actually took  
25 Dr. Breier down from an hour and 20 minutes down

1 to 46 minutes and all I did was cut it, but I'm  
2 not going to quibble. I know I cut the  
3 deposition back --

4 MR. LEHNER: I know much was cut  
5 but there was a couple pieces added. I think  
6 you needed a couple counterdesignations.

7 THE COURT: Well, they've got three  
8 counterdesignations and two objections --  
9 probably the way the numbers are grouped, one  
10 series there so I'll take a quick look at that.  
11 Does Lilly know what its position is on this page  
12 136 of Torres?

13 MR. LEHNER: Yes, Your Honor. I  
14 think we object to -- you've clearly ruled on  
15 off-label and I can't see what this is proving.  
16 I'm sorry. This was ruled on before. It goes  
17 precisely to the issue that you have --

18 THE COURT: Well, it doesn't. What  
19 we've ruled off before is Lilly's efforts to --  
20 what would be unlawfully do things off-label, but  
21 off-label use can be used, as I understand it; it  
22 just can't be promoted by Lilly. And all this  
23 says is what the percentage is of off-label use  
24 rather and there's nothing in here that says that  
25 Lilly was doing -- promoting off-label. It

1 merely says that there is an off-label use and  
2 there's a percentage estimate of that. And so  
3 that's an entirely different issue.

4 MR. LEHNER: Okay. And I guess I'm  
5 having a hard time understanding what issue in  
6 the case that is still relevant. Even if it's  
7 true, it's a statement, not quibbling with the  
8 fact --

9 THE COURT: I've had significant  
10 testimony as to benefits and risks. And benefits  
11 would depend on what you're using it for compared  
12 to the risks which are going to be the same, I  
13 think, regardless of the use. So, to the extent  
14 that the benefits and the use is different than  
15 bipolar mania and schizophrenia, there's  
16 off-label use. I think that that's relevant to  
17 assessing --

18 MR. LEHNER: And I think it goes to  
19 the argument that we made last week, and that is  
20 there is no claim here that the failure to warn  
21 deals with anything that has to do with the  
22 benefits of the product. So, whatever the  
23 benefits may be with respect to whatever use the  
24 product is put to --

25 THE COURT: But doesn't the jury

1 have to assess the warning in the context of  
2 understanding the benefit --

3 MR. LEHNER: Well, I think the jury  
4 is looking at --

5 THE COURT: -- and what it's being  
6 used for?

7 MR. LEHNER: I think if you look  
8 under the standard again under Shanks, that's not  
9 what the jury is going to be asked to do. The  
10 jury is going to be asked to do whether or not  
11 the label adequately describes the risks  
12 associated with the product.

13 THE COURT: I'm going to allow the  
14 jury to be read -- I assume that's what you were  
15 planning to do --

16 MR. ALLEN: Yes, sir.

17 THE COURT: -- the portion of the  
18 deposition of Torres at Page 136, Page 6 through  
19 Line -- excuse me -- Page 136, Line 6 through --  
20 inclusive Line 14 --

21 MR. ALLEN: Line 15.

22 THE COURT: -- or Line 15. And  
23 then I'm going to get a motion to go with the  
24 memorandum in support of the motion to allow the  
25 testimony of the lobbying efforts, and I assume

1 I'll get a response from Lilly?

2 MR. LEHNER: Yes, Your Honor.

3 THE COURT: Okay. I'll wait for  
4 your response, because I don't want to waste  
5 anybody's time. And believe it, when Dr. Hopson  
6 testified and was asked the very questions that  
7 are cited here, I wrote a note with a big  
8 asterisk that says "door open," so you've got a  
9 little bit of an uphill battle based on the  
10 questions that were asked.

11 MR. LEHNER: We'll put our best  
12 people on responding to it, Your Honor.

13 THE COURT: But I won't rule on  
14 that until I get the response.

15 Are there any other pretrial issues  
16 that we need to pick up?

17 MR. FIBICH: This is really between  
18 us, but I don't believe we've gotten our copy of  
19 your brief on civil penalties; termination of  
20 civil penalties.

21 MR. LEHNER: The one we filed this  
22 morning with the Court?

23 MR. FIBICH: Right.

24 MR. LEHNER: I'll make sure you  
25 have it if it hasn't been served. It was

1 probably served this morning. I think that we  
2 were just delivering it when --

3 MR. JAMIESON: That was one of the  
4 two I handed to you.

5 MR. ALLEN: I gave it to Mary Beth.

6 MR. FIBICH: Your Honor, at the  
7 conclusion of testimony on Friday, we admitted  
8 into evidence certain documents related to  
9 Kinon's deposition. We want to publish those to  
10 the jury before Dr. Inzucchi takes the stand.

11 THE COURT: When the jury comes in,  
12 I'll let them know what documents were admitted  
13 after they left on Friday and tell them, and you  
14 can make your application to publish them.

15 Anything else we need to talk about  
16 before we get going? Then we'll be off record.  
17 (Off record.)

18 (Jury in.)

19 THE COURT: Please be seated.

20 Good afternoon, ladies and  
21 gentlemen. Hope you had a nice weekend. We're  
22 back on the record and all members of the jury  
23 are present. At the conclusion of our trial,  
24 ladies and gentlemen, I suggested to you last  
25 week that what we were going to do is finish up

1 with the State's deposition testimony and the  
2 State's case would be over. But instead, we need  
3 to take one of the defense witnesses out of  
4 order. So the plan for today is to take a  
5 defense witness who will be testifying live, and  
6 then depending on how long it takes to conclude  
7 his testimony, then we'll resume with the  
8 deposition testimony from the State and the State  
9 will finish up its case.

10 At the conclusion of the day  
11 yesterday, after I let you go, the State offered  
12 and I admitted some exhibits. And, Mr. Suggs, do  
13 you want to now publish --

14 MR. FIBICH: I'll do it,  
15 Your Honor.

16 THE COURT: Mr. Fibich.

17 MR. FIBICH: We would ask  
18 permission to publish to the jury, AK1215,  
19 AK8905, AK4517, AK1213, AK10140, AL4532, AK5522,  
20 AK10142, AK10141, and AK10008.

21 THE COURT: And these were all  
22 exhibits used for Dr. --

23 MR. FIBICH: Kinon.

24 THE COURT: Those exhibits may be  
25 published. All objections previously made to the

1 exhibits are preserved.

2 And Mr. Kantra, why don't you call  
3 your witness.

4 MR. KANTRA: Your Honor, Eli  
5 Lilly and Company calls Dr. Silvio Inzucchi to  
6 the stand.

7 THE COURT: Doctor, if you could  
8 just remain standing there, we'll put you under  
9 oath.

10 Dr. Silvio Inzucchi,  
11 having been duly sworn, testified as follows:

12 THE CLERK: For the record, please  
13 state your first and last name, spelling both.

14 THE WITNESS: Silvio, S-i-l-v-i-o,  
15 Inzucchi, I-n-z-u-c-c-h-i.

16 THE COURT: Dr. Inzucchi, please be  
17 seated.

18 Mr. Kantra.

19 DIRECT EXAMINATION

20 Q. (BY MR. KANTRA) Good morning,  
21 Dr. Inzucchi.

22 A. Good morning.

23 Q. Can you tell the jury where you live?

24 A. Sure. I live in Stratford, Connecticut.

25 Q. And do you have any kids?

1 A. I have three children.  
 2 Q. And how old are they?  
 3 A. The oldest is 18. The two younger ones  
 4 are 15 and 14.  
 5 Q. Tell the jury what kind of medicine you  
 6 practice.  
 7 A. I'm an endocrinologist. That is an  
 8 internal medicine physician who's done  
 9 subspecialty training in diseases of metabolism.  
 10 These are diseases that involve hormones or  
 11 chemical signals through the blood. One of the  
 12 diseases that we treat, probably about 50 percent  
 13 of what we do, is related to diabetes.  
 14 Q. And how long have you been treating  
 15 patients with diabetes?  
 16 A. Well, ever since my internal medicine  
 17 residency back in the mid 1980s. I was certified  
 18 as an endocrinologist to treat solely diabetes  
 19 patients after my fellowship training, which  
 20 concluded in 1994.  
 21 Q. And roughly how many patients with  
 22 diabetes would you say you've treated over those  
 23 years?  
 24 A. It's hard to say. Several thousand, I  
 25 would estimate.

1 Q. Do any of the patients that you treat  
 2 with diabetes also have serious mental illness  
 3 such as schizophrenia or bipolar disorder?  
 4 A. They do.  
 5 Q. And about what percentage of those  
 6 patients that you treat with diabetes would have  
 7 serious mental illness like schizophrenia?  
 8 A. Again, that's hard to estimate.  
 9 Psychiatric diseases are very, very common in  
 10 people with diabetes and vice versa, but I would  
 11 estimate that maybe 20 to 30 percent of our  
 12 patient population has some form of psychiatric  
 13 condition or mental illness.  
 14 Q. About how much of your time as a  
 15 physician is spent treating patients?  
 16 A. I'm on the faculty, so I do a lot of  
 17 teaching and some research. I would estimate  
 18 that about 50 to 60 percent of my time is spent  
 19 with patients both in the outpatient clinics, but  
 20 also in the hospitals.  
 21 Q. And you also mention that you have  
 22 research interests as well?  
 23 A. Yes, I do.  
 24 Q. Can you tell the jury the kinds of  
 25 studies that you do in research?

1 A. Sure. My main interest has been in the  
 2 effectiveness of the various oral agents or pills  
 3 that we use to treat type 2 diabetes. And also  
 4 one of my main interests nowadays is the  
 5 so-called cardiovascular complications of  
 6 diabetes, such as heart attacks and strokes and  
 7 how diabetes might result in some of these  
 8 vascular complications.  
 9 Q. And in the context of doing that  
 10 research, do you perform clinical trials?  
 11 A. Yes, I do.  
 12 Q. And do you also perform epidemiology  
 13 studies?  
 14 A. Yes, I do.  
 15 Q. I understand that you're affiliated with  
 16 the Yale School of Medicine?  
 17 A. Yes, that's my employer.  
 18 Q. Okay. And as part of that -- as part of  
 19 the work that you do at Yale, you teach students,  
 20 I understand from you and as well, you run the  
 21 fellowship program that helps to give physicians  
 22 more specialized training in diabetes?  
 23 A. Yeah, I'm director of the fellowship  
 24 program, so I direct the training program whereby  
 25 physicians who are now trained in internal

1 medicine, which is a three-year training period  
 2 after medical school. These would be diseases of  
 3 the internal organs; the heart, the liver, the  
 4 kidney, the hormonal organs, as well. They then  
 5 decide to subspecialize in only the diseases of  
 6 metabolism. So this would involve thyroid  
 7 diseases, diabetes, anything that might deal with  
 8 metabolism or hormonal conditions.  
 9 Q. And the other hat that you wear when  
 10 you're not busy teaching students and seeing  
 11 patients is you're the director of the Yale  
 12 Diabetes Center; right?  
 13 A. That's correct.  
 14 Q. And what kind of diabetes patients do  
 15 you treat at the Diabetes Center?  
 16 A. Well, we tend to focus on the more  
 17 complicated ones so we'll get referrals from  
 18 primary-care physicians who are having a  
 19 difficult time managing the diabetes of an  
 20 individual patient. So it's a referral practice,  
 21 so we'll focus in on the patients that are not  
 22 doing as well as they could. And I would say  
 23 that it's the broad spectrum of diabetes; type 1,  
 24 younger individuals, type 2, obviously is going  
 25 to be the most common form of diabetes that any

1 endocrinology program sees.

2 Q. And among those difficult-to-control  
3 diabetes patients that you have at the Yale  
4 Diabetes Center, are some of those patients those  
5 who have schizophrenia or bipolar disorder?

6 A. Yes, and they're amongst the most  
7 challenging ones.

8 Q. I understand as well that there are a  
9 number of other settings in which you see  
10 patients who have diabetes and serious mental  
11 illness as well?

12 A. Well, we perform consultations at a  
13 major tertiary hospital known as Yale-New Haven  
14 Hospital. It's the primary teaching affiliate of  
15 Yale University. And affiliated with Yale-New  
16 Haven Hospital are two psychiatric facilities.  
17 The first is the Yale Psychiatric Hospital, used  
18 to be called the Yale Psychiatric Institute, and  
19 the Connecticut Mental Health Center. And we'll  
20 perform consultations at these locations for  
21 specifically diabetes, but also for other  
22 hormonal conditions like thyroid disease.

23 Q. When you say consultations, you mean  
24 people -- physicians at these locations seek you  
25 out for advice as to how to treat the diabetes in

1 these patients?

2 A. That's right. They'll request that we  
3 come to the hospital to consult upon a patient  
4 specifically to focus in on in this situation,  
5 the diabetes.

6 Q. Now, we heard some testimony last week  
7 about an institute here called the Alaska  
8 Psychiatric Institute, which is a State-run  
9 mental hospital here in Alaska. Do you -- have  
10 you done work in Connecticut with any similar  
11 institutions?

12 A. The analogous hospital, I believe, would  
13 be what we call CMHC, which is the Connecticut  
14 Mental Health Center, which is an independent  
15 building, facility, run by the State of  
16 Connecticut, but has very tight links to Yale  
17 University. Many of our faculty perform their  
18 psychiatric services at that institution, and  
19 that's one of the institutions where we're called  
20 on to see these patients for their -- for their  
21 diabetes.

22 Q. Doctor, where did you earn your medical  
23 degree?

24 A. I was at Harvard Medical School.

25 Q. And when was that?

1 A. 1981 through '85.

2 Q. And you've already told us that you  
3 completed your internship and residency at Yale,  
4 is that right, afterwards?

5 A. That's right. I went from Harvard down  
6 to Yale, and that's where I conducted my internal  
7 medicine internship and residency.

8 Q. And are you -- did you take any  
9 examinations that would qualify you to treat  
10 patients with diabetes upon completing your  
11 fellowship?

12 A. Yes.

13 Q. And how are you -- are you board  
14 certified?

15 A. Yes.

16 Q. In what area?

17 A. It's the American Boards of  
18 Endocrinology and Metabolism, but that  
19 encompasses the treatment of diabetes.

20 Q. I understand as well that you are a  
21 member of the American Diabetes Association?

22 A. Yes, I am.

23 Q. And in that capacity, you work with the  
24 professional practice committee?

25 A. Yes. I'm a member of that committee for

1 the past couple of years.

2 Q. And that's the committee at the ADA  
3 which is responsible for promulgating the  
4 standards of medical care for patients with  
5 diabetes?

6 A. Correct. It's a national committee that  
7 meets twice annually to set the agenda for each  
8 year in terms of putting together the  
9 recommendations to all the endocrinologists and  
10 all internists and family practitioners about how  
11 to manage diabetes. Because there's new  
12 information coming down the pike almost on a  
13 weekly basis, and we try to incorporate that new  
14 information into common-sense recommendations how  
15 to treat real patients.

16 Q. And in that regard as well, do you  
17 review the screening guidelines which help  
18 physicians understand how they can diagnose  
19 diabetes?

20 A. Sure. The standards of care incorporate  
21 both the diagnosis of diabetes, who should we be  
22 screening for diabetes. Once people have  
23 diabetes, how do you treat them, which  
24 medications you use, when to move to insulin.

25 It's quite a complex area, but we're able to

1 update these so-called standards of care on an  
2 annual basis.

3 Q. I understand, as well, that you are a  
4 member of the editorial board of a medical  
5 journal which is called Diabetes Care; is that  
6 correct?

7 A. That's right.

8 Q. And Diabetes Care is the ADA's leading  
9 clinical journal on diabetes?

10 A. Yes. There are two main journals; one  
11 is called Diabetes and that's a scientific  
12 journal. You'll read a lot about mouse studies  
13 and rat studies in that journal. But the one  
14 that focuses on treating humans, real people with  
15 diabetes is known as Diabetes Care. It's the  
16 leading journal -- probably the leading  
17 international journal for the management of  
18 diabetes.

19 Q. Do you also do peer review work for that  
20 journal as well?

21 A. Sure. Of course.

22 Q. Dr. Inzucchi, have you published  
23 articles yourself on hyperglycemia and diabetes?

24 A. I have.

25 Q. And all told, how many would you say

1 It's one of my interests.

2 Q. And you told us before that you had  
3 conducted epidemiology studies. Do you follow  
4 the epidemiology literature regarding diabetes?

5 A. Sure. It's important to --  
6 particularly, if you sit on the practice  
7 committee and as a teacher, you want to try to  
8 update yourself on the newest information in that  
9 regard. So, it's part of the general diabetes  
10 literature and we incorporate that as well.

11 Q. Doctor, have you ever testified as an  
12 expert in court before?

13 A. Well, twice. One over the past five  
14 years.

15 Q. And what kind of a case was that?

16 A. The most recent one was a patent  
17 litigation case about three to four years ago.  
18 The one before that was a patient just needed  
19 some medical information given at trial.

20 Q. So two cases?

21 A. Two cases, but the first one was -- it  
22 must be over ten years ago.

23 Q. Okay. And have you been compensated for  
24 the time that you spent working on this  
25 litigation you're appearing in today?

1 you've published?

2 A. It's hard to say how many were in that  
3 specific area but I would say that if you  
4 include abstract publications, my total  
5 publications number more than 200.

6 Q. And have you written any book chapters  
7 in textbooks regarding diabetes or internal  
8 medicine that are widely read?

9 A. I've written them. Whether they're  
10 widely read or not, I'm not exactly sure but two  
11 major chapters over the past few years.

12 Q. Okay.

13 Are you familiar, through your  
14 work, with the published literature on  
15 mechanistic studies?

16 A. Yes.

17 Q. And have your training and experience  
18 given you an understanding of diabetes as it is  
19 manifested in larger populations as opposed to  
20 just individual patients?

21 A. Yes. I mean, that's one of the more  
22 interesting aspects of diabetes is how diabetes  
23 is expressed in different populations. There's  
24 major differences in terms of ethnicity, gender,  
25 other diseases that are associated with diabetes.

1 A. Yes, I have.

2 Q. And what is your rate?

3 A. \$450 per hour.

4 MR. KANTRA: Okay. Your Honor,  
5 Lilly would offer Dr. Inzucchi as an expert in  
6 the development, diagnosis, treatment and  
7 complications of diabetes, as well as in the  
8 design, conduct, interpretation and presentation  
9 of data from studies relating to diabetes.

10 THE COURT: Mr. Suggs.

11 MR. SUGGS: Yes, Your Honor. I  
12 have a few questions for voir dire.

13 THE COURT: Please.

14 VOIR DIRE EXAMINATION

15 Q. (BY MR. SUGGS) Good morning,  
16 Dr. Inzucchi.

17 A. Good morning.

18 Q. I'm Dave Suggs. We've never met before,  
19 have we?

20 A. No.

21 Q. Okay. Am I correct you're not an  
22 epidemiologist, are you, sir?

23 A. Not a trained epidemiologist, but I use  
24 epidemiology in my teachings and my writings and  
25 I've conducted epidemiological studies.

1 Q. You don't typically prescribe Zyprexa,  
2 do you, sir?  
3 A. No. That is a -- obviously a  
4 psychiatric medication. My prescriptions mainly  
5 to patients with hormonal diseases, so  
6 medications for diabetes.  
7 Q. You have had patients that you've  
8 treated that have been on Zyprexa, though,  
9 correct?  
10 A. Absolutely.  
11 Q. Okay. Those patients already have  
12 diabetes by the time they get to you?  
13 A. They sometimes might be seen for thyroid  
14 disease or pituitary disease so it's difficult  
15 exactly what I saw those patients on Zyprexa for,  
16 but some of them may have had diabetes, yes.  
17 Q. Do you recall whether you treated any  
18 patients for diabetes who were on Zyprexa?  
19 A. Yes, but not everybody with Zyprexa had  
20 diabetes.  
21 Q. And did you ever recommend that anyone  
22 who you were treating for diabetes who was using  
23 Zyprexa be taken off that drug and be given  
24 another antipsychotic drug?  
25 A. You know the prescription of psychiatric

1 medications is obviously a field unto itself, and  
2 I've had a healthy respect for trying to get  
3 patients with severe mental illness on the right  
4 medications so they don't decompensate any  
5 further. So I've made it a policy of mine that  
6 if someone is referred to me for diabetes, I tend  
7 not to recommend any changes in psychiatric  
8 medications, as long as it's working. But that's  
9 a decision for the psychiatrist. I typically  
10 focus on the diabetes and I treat the diabetes.  
11 Q. So your answer is no. You have never  
12 taken anyone off Zyprexa that you're treating for  
13 diabetes?  
14 A. That's correct.  
15 Q. Okay. Were you aware that Zyprexa has  
16 been contraindicated for diabetics --  
17 THE COURT: Mr. Suggs, this is voir  
18 dire, not cross-examination.  
19 Q. (BY MR. SUGGS) You've never conducted  
20 any clinical trials to assess the risks or  
21 benefits of Zyprexa or any other atypical  
22 antipsychotic; is that correct?  
23 A. That's correct.  
24 Q. Okay. You've never conducted any  
25 original research of any kind to study the

1 question of whether Zyprexa or any other  
2 antipsychotic drug is associated with diabetes;  
3 is that correct?  
4 A. Original research, no.  
5 Q. Okay. You've only read the research  
6 conducted by others, correct, published in the  
7 medical literature?  
8 A. Published in the medical literature.  
9 Q. But you've never done any of that  
10 research yourself?  
11 A. That's correct.  
12 Q. Okay. And have you ever published  
13 anything in a medical journal or medical textbook  
14 which states that Zyprexa is associated with  
15 diabetes?  
16 A. I don't recall so. We have written on  
17 the area of screening and diagnosis  
18 classification of diabetes, and very often these  
19 issues are discussed in terms of metabolic  
20 diseases and psychiatric patients.  
21 Q. Your expertise is really limited to the  
22 treatment of diabetes, its sequelae and other  
23 metabolic issues, correct?  
24 A. Correct.  
25 Q. And you prepared a lengthy report in

1 this case?  
2 A. I did.  
3 Q. And you were deposed by Mr. Fibich and  
4 other lawyers?  
5 A. I recall so, yes.  
6 Q. And I presume you spent time preparing  
7 to come here for this trial, correct?  
8 MR. KANTRA: Again, Your Honor --  
9 MR. SUGGS: I was getting into how  
10 much he's been paid, Your Honor, which was a  
11 subject that Mr. Kantra brought up.  
12 THE COURT: Save it for  
13 cross-examination.  
14 MR. SUGGS: Okay. Thank you.  
15 THE COURT: I will recognize  
16 Dr. Inzucchi as an expert in the development,  
17 diagnosis, treatment and complication of  
18 diabetes, as well as an expert in the various  
19 studies designed -- in the studies regarding  
20 diabetes.  
21 MR. SUGGS: Your Honor, we would  
22 object to any testimony by Dr. Inzucchi on  
23 causality as it relates to diabetes and Zyprexa.  
24 THE COURT: I'll wait to hear the  
25 questions.



1 DIRECT EXAMINATION (continued)

2 Q. (BY MR. KANTRA) Dr. Inzucchi, what were  
3 you asked to do in this particular case?

4 A. I was asked to look at this question  
5 between the -- the association between Zyprexa  
6 and the development of diabetes.

7 Q. And did you prepare a slide that  
8 summarizes your opinion that you reached in this  
9 particular matter?

10 A. Yes, I did.

11 MR. KANTRA: Can we bring up TG116.

12 Q. (BY MR. KANTRA) And can you tell the  
13 jury what your opinion was regarding whether or  
14 not Zyprexa causes type 2 diabetes?

15 A. Sure. So, after reviewing all the data  
16 that's been published, my conclusion was Zyprexa  
17 does not cause diabetes, and the reason is it  
18 doesn't directly lead to any problems with the  
19 two major elements of what leads to diabetes.  
20 And that would be insulin resistance and also the  
21 production of insulin. And we'll talk about  
22 those two matters.

23 Q. And do you hold that opinion to a  
24 reasonable degree of medical certainty?

25 A. Yes, I do.

1 Q. In forming your opinions, did you draw  
2 on your clinical experience in treating patients  
3 with diabetes?

4 A. Yes.

5 Q. And did you also rely on the published  
6 literature regarding diabetes and atypical  
7 antipsychotics and typical antipsychotics?

8 A. Yes, I did.

9 Q. Did you also review Lilly's submissions  
10 to FDA in regards to glucose matters?

11 A. Yes.

12 MR. KANTRA: I thought it might be  
13 helpful before we begin if -- if I might,  
14 Your Honor, we had a flip chart here with some  
15 terms on it that I thought might help the jury  
16 understand some of the terms we're talking about.  
17 With your permission, I'd like to put that up  
18 there.

19 THE COURT: That's fine. Has  
20 your -- have your friends seen the flip chart?

21 MR. KANTRA: I'll show them. It's  
22 just three words that we've commonly seen.  
23 Diabetes, hyperglycemia and IGT.

24 Can you see that?

25 Q. (BY MR. KANTRA) Dr. Inzucchi, if we

1 could begin with diabetes at the top. I believe  
2 you told us that diabetes is an illness where the  
3 body does not produce sufficient insulin,  
4 correct?

5 A. Correct.

6 Q. And how does diabetes differ from  
7 hyperglycemia?

8 A. Well, hyperglycemia is a term that's  
9 used to just describe a high blood glucose, and  
10 diabetes is an actual disease state that is  
11 manifested by a high blood glucose. So they're  
12 similar, but they can't be used interchangeably.

13 Q. Does everyone who has hyperglycemia also  
14 have diabetes?

15 A. No.

16 Q. And in a normal person, what kind of --  
17 who doesn't have diabetes or even hyperglycemia,  
18 what kind of range in terms of changes in blood  
19 sugar levels would you expect to see?

20 A. Well, they can be quite significant.  
21 Normal range for blood glucose is somewhere  
22 between 70 and up to 100; 100 marks the  
23 transition between normal blood glucose as long  
24 as you're measuring it fasting and the higher  
25 range. But you don't reach diabetes until you

1 reach 126. So there's obviously a gray zone  
2 between what's normal, 70 to 100, and diabetes,  
3 which is 126, and that phase is between 100 and  
4 126. In the normal situation during mealtimes,  
5 for instance, our blood sugars can climb 20, 30  
6 even 40 points.

7 I mean, if you put away a big meal,  
8 large amount of carbohydrates, cherry pie,  
9 Coca-Cola, you could even get your blood sugar up  
10 perhaps 40 or 50 points. That's an increase in  
11 your blood glucose. Let's say you start at 75,  
12 and you eat something with a lot of  
13 carbohydrates, your blood sugar at the end of the  
14 meal may climb 30 points.

15 It sounds like a lot but after  
16 eating, that's what normal individuals do. What  
17 happens in diabetes, though, is the blood sugar  
18 stays high and doesn't come down and that, I  
19 think, is one of the important distinctions  
20 between the normal fluctuations after blood  
21 glucose that happens in all of us and diabetes.

22 THE COURT: Is somebody who has a  
23 blood -- fasting blood glucose of between 100 and  
24 126 hyperglycemic?

25 THE WITNESS: Yes, I would use that

1 term hyperglycemic. The specific term is  
2 something called prediabetes. So that's that  
3 gray zone between -- so 70 to 99 is normal; 100  
4 to 125 is prediabetes. And you can use the term  
5 hyperglycemia to describe those individuals and  
6 certainly diabetes over -- 126 or higher is  
7 definitely hyperglycemic.

8 THE COURT: Do you use the term  
9 above 126 or do people talk about hyperglycemia  
10 above 126 or do they just talk about diabetes?

11 THE WITNESS: You know, it really  
12 depends on at what time of the day you're  
13 measuring it. So even a normal individual can  
14 get up to 126 or even higher after eating.  
15 Again, if you've had a couple of cups of  
16 Coco-Cola and then dessert, your blood sugar can  
17 climb even to the 130 or 140 range. So it's very  
18 important to distinguish whether you're talking  
19 about the fasting state, which would be at least  
20 8 hours, nothing by mouth, or if you're talking  
21 about after eating.

22 THE COURT: Well, let me ask the  
23 question differently then: If you're talking  
24 about fasting blood glucose, if it's above 126,  
25 do people talk about hyperglycemia or do they

1 just talk about diabetes?

2 THE WITNESS: Diabetes. You're not  
3 wrong in saying you're hyperglycemic but at that  
4 point as long as it's measured on two occasions  
5 at 126 or higher, then that's what we call  
6 diabetes. As long as you're sure the patient is  
7 fasting, which is sometimes difficult to know.

8 Q. (BY MR. KANTRA) Doctor, you've  
9 mentioned fasting blood sugar measurements. Is  
10 there another way in which blood sugar  
11 measurements are evaluated as well?

12 A. Yes.

13 Q. What is that?

14 A. Well, there's something called the  
15 random blood glucose test.

16 Q. What does that mean?

17 A. Some people call it the casual blood  
18 glucose test. I'm not sure how that terminology  
19 evolved, but it's random blood sugar means that  
20 you're checking the sugar without respect to  
21 meal. So you could have eaten five minutes ago,  
22 an hour ago, four hours ago, it's just a --  
23 sometimes you don't even know when the patient  
24 ate last but it's a random blood glucose test.

25 And you can use either fasting or random blood

1 glucose to make the diagnosis of diabetes.

2 Q. Both are accepted measures of diagnosing  
3 diabetes?

4 A. They're accepted but the thresholds or  
5 the cut points, you know, the boundaries of what  
6 we consider normal is obviously going to be much  
7 higher when you're measuring a random blood  
8 glucose.

9 Q. And what is the cutoff point for  
10 diabetes when using a random blood glucose?

11 A. 200.

12 Q. Okay. Doctor, last week we heard Dr.  
13 Wirshing testify here about an analysis that  
14 Lilly did back in 2000 that measured something  
15 which was called IGT or impaired glucose  
16 tolerance, which IGT was used in that particular  
17 study. Is impaired glucose tolerance a term with  
18 which you're familiar?

19 A. Yes, of course.

20 Q. Is that an accepted term within the  
21 world of diabetes and those who treat it?

22 A. Certainly.

23 Q. Let me ask you about one term that is  
24 not up on the chart, but which we'll be talking  
25 about later on and that's a term that's called

1 insulin resistance. Can you tell the jury what  
2 that means?

3 A. So insulin is a hormone made by the  
4 organ called the pancreas. It is the major  
5 metabolic hormone and it controls not only blood  
6 glucose, but fat metabolism, protein metabolism  
7 as well. Insulin has to exert its action in the  
8 cells of the body; the heart, the muscle, the fat  
9 cells, the liver. And the manner in which the  
10 body responds to insulin is known as insulin  
11 sensitivity. So when we're young and lean and  
12 healthy, we're super-sensitive to insulin. Our  
13 body needs to make very little insulin to carry  
14 out its actions because the cells are very, very  
15 sensitive to insulin.

16 Unfortunately, as we age, if we put  
17 on a few pounds, for some reason the body becomes  
18 resistant to insulin. So our insulin sensitivity  
19 decreases and at a certain point we become what  
20 is known as insulin resistant and that means that  
21 insulin doesn't work as well. The action of  
22 insulin is not as good as it used to be. There's  
23 actually nothing wrong with the insulin molecule  
24 itself; it's perfectly fine. It's just that the  
25 cells of the body are not responding normally to

1 that hormonal signal.

2 Q. Does insulin resistance cause diabetes?

3 A. No. It's part of the process that may  
4 lead to diabetes, but it's not the cause of  
5 diabetes.

6 Q. Did you prepare an animation that might  
7 help you in further explaining the disease  
8 process of diabetes to the jury?

9 A. Yes, I did.

10 MR. KANTRA: Mike, can we bring up  
11 TG15. Your Honor, this was something that we  
12 shared previously with counsel for the State.

13 THE COURT: Before you -- TG15 is a  
14 term that -- referring to an exhibit that has no  
15 meaning.

16 MR. KANTRA: I'm sorry. We're  
17 actually not going to be offering this in  
18 evidence. It's just an internal reference point  
19 for us.

20 THE COURT: Okay.

21 Q. (BY MR. KANTRA) We could begin here.  
22 Can you tell the jury a little bit about what  
23 glucose is and what happens when we consume  
24 glucose?

25 A. Sure. So, glucose is the main energy

1 source of the body. It's one of the sugars, and  
2 it's found in a variety of foods and the most  
3 common food where you find these sugars,  
4 including glucose, would be carbohydrates or what  
5 we call starches. And here's a picture of bread  
6 and just a cartoon to remind us that there is  
7 sugar in bread. Even though it may not be sweet,  
8 our body breaks it down into sugar molecules.  
9 Doesn't have to be bread, it could be potatoes.  
10 I'll admit it, I had some pancakes today but it's  
11 basically the same idea. Next slide.

12 So, we will eat the bread  
13 obviously, it will go into the GI tract. So now  
14 it's in the stomach. And that's the acid of the  
15 stomach. Sometimes you might get heartburn.  
16 That acid is actually a good thing because it's  
17 chopping up the carbohydrates into little  
18 molecules of sugar.

19 You can't absorb bread right into  
20 the bloodstream. You have to chop it up into  
21 little pieces and the sugar will then be absorbed  
22 through the intestines, which are connected  
23 obviously to the stomach.

24 Next animation. We can see the  
25 sugar now entering the intestines and the whole

1 goal here is to get that sugar into the  
2 bloodstream because it doesn't do us any good in  
3 the intestines. It has to be absorbed because  
4 the bloodstream carries the glucose, this energy  
5 source, to the rest of the body's cells.

6 Next slide.

7 And it's going to travel throughout  
8 and reach essentially every part of the body;  
9 from your heart to your brain, to your liver, all  
10 the muscle, the fat, even your fingernails.  
11 Everything needs glucose to survive. And  
12 interestingly, the liver has a lot of roles in  
13 metabolism, but one of the roles in this specific  
14 setting is that it's almost like a sponge for  
15 glucose. So we will eat more glucose than we  
16 need for that specific hour, and our liver will  
17 absorb the glucose as a sponge and then release  
18 it in small amounts during the course of the day.

19 If we didn't have that, we need to  
20 basically eat continuously to keep our blood  
21 glucose normal. So, we have over millions of  
22 years of evolution have devised two or three  
23 meals per day and we're able to survive. Our  
24 glucose level stays relatively stable even though  
25 we haven't been eating for several hours because

1 the liver sops up the glucose and gradually  
2 releases it. It's really a terrific system.  
3 Next slide.  
4 So, as mentioned, the glucose will  
5 travel to these organs and we've highlighted just  
6 three major ones; the brain, the heart and the  
7 skeletal muscle. And these organs will need to  
8 absorb that glucose inside the cells, the actual  
9 individual components of these organs. And the  
10 reason for that is not that these organs are  
11 trying to get the glucose out of the bloodstream  
12 for the purpose of getting it out of the  
13 bloodstream, but they need the glucose to  
14 survive. So these cells inside these organs will  
15 absorb glucose from the bloodstream in order to  
16 burn that glucose for energy.

17 Q. Does your animation also talk about how  
18 the body regulates the blood sugar levels once  
19 they're absorbed into the bloodstream?

20 A. I believe so. I think the next slide  
21 shows. We talked about the liver, we talked  
22 about the stomach. What about the pancreas? The  
23 pancreas is always green on these cartoons. It's  
24 actually more like a yellowish. It's not truly  
25 green. It's always designated like that in

1 textbooks.

2         It is a small organ, it actually  
3 has the consistency of jelly and its major role  
4 is actually the production of the digestive  
5 enzymes. So we talked about the acid from the  
6 stomach. After the food passes down the  
7 intestine, other digestive juices are secreted  
8 into the intestine to help break down the food  
9 but that's not what I'm interested in. I'm  
10 interested in an aspect of the pancreas that are  
11 hormonal signals and one of the major ones would  
12 be insulin. So this is a digestive organ that  
13 has two roles; it helps break down food, but it  
14 also produces this chemical signal called insulin  
15 that is critically important to regulate blood  
16 glucose.

17         Next slide.

18         So here's a blow-up of this  
19 pancreas. Still green. And it's producing these  
20 little hormonal signals called insulin. If we  
21 can run that just once again. So insulin is  
22 being produced by the pancreas, and is being put  
23 out into the bloodstream. And the signal to make  
24 that insulin, to make the pancreas produce  
25 insulin is glucose. So it's almost like the

1 pancreas is measuring the blood glucose every  
2 second of every day, and finely tuning the amount  
3 of insulin being released in order to keep the  
4 blood glucose down into a normal range.

5         Okay. So now we're getting to the  
6 cells. So we assume now that the insulin has  
7 actually been put out into the bloodstream by the  
8 pancreas, and now the insulin is at the cell  
9 where it's going to exert its action. And this  
10 is, again, quite terrific.

11         The insulin molecule binds to its  
12 receptor. That's how many of these hormones  
13 work. This is a lock-and-key kind of analogy  
14 that's very helpful when we teach medical  
15 students. We talk about the key being insulin,  
16 and the lock being the insulin receptor. Insulin  
17 will only bind to its insulin receptor.

18         Insulin will not bind to a thyroid  
19 hormone receptor. It won't bind to an estrogen  
20 receptor in women. Similarly -- thyroid hormone  
21 won't bind to the insulin receptor or it won't  
22 bind to the estrogen receptor. Each of these  
23 hormones have their own locks, their  
24 specially-designed molecules to exert its unique  
25 activity.

1         Let's run that once more and show  
2 you that after the binding to the receptor, the  
3 purpose of this is to open up these channels that  
4 allow glucose to enter the cell.

5         So without that insulin molecule,  
6 sugar will not enter the cell, and two things  
7 will happen: The sugar in the bloodstream will  
8 obviously build up because the patient  
9 is continuing to eat, and more importantly, the  
10 sugar won't be able to enter the cell. And the  
11 cell will ultimately not have an energy source  
12 and will begin to be dysfunctional for that. So  
13 you can see how important the pancreas and the  
14 production of insulin really is, because this is  
15 what actually regulates not only the blood  
16 glucose level, but how much of the sugar gets  
17 into the cells to be burned for energy.

18         Now, here we have a situation known  
19 as insulin resistance, and this is what we talked  
20 about before. Insulin typically works  
21 wonderfully well in healthy, lean young people.  
22 As we age, unfortunately, and as people perhaps  
23 gain a little weight, they become insulin  
24 resistant, which means that insulin doesn't work  
25 as well. If you like the analogy of the key and

1 the lock, the key doesn't -- kind of gets stuck  
2 in the lock. It doesn't work as well as it  
3 should.

4         Now, the body is very smart. It  
5 compensates for this, and what the pancreas does  
6 because it has this sensor built into it to  
7 determine how the blood glucose is of every  
8 second of every day, the pancreas, obviously, can  
9 read when the insulin is not working because the  
10 blood glucose won't drop normally. And the  
11 pancreas compensates. It makes more insulin.

12         So let's run this animation again.  
13 And we have, instead of one insulin molecule  
14 binding to one receptor, we have three. This is  
15 the pancreas making more insulin. The glucose  
16 still enters the cell because that's what a smart  
17 body would do. It would simply compensate for  
18 this insulin resistance. More insulin, glucose  
19 level gets lowered because the sugar, the glucose  
20 is entering the cell just like it happened in the  
21 insulin-sensitive patient.

22         Q. Do you have an analogy that might be  
23 useful in helping understand this process of  
24 compensation that goes on with insulin  
25 resistance?

1 A. The analogy I use with patients is I  
2 think we all have relatives who may be a little  
3 hard of hearing, and you still can communicate  
4 with them, you just have to raise your voice.  
5 You might have to increase the volume of your  
6 voice to get that message through. So the  
7 analogy that I like is -- this is kind of the  
8 pancreas shouting at the rest of the body saying,  
9 hey, you're not listening to my signal. I'm just  
10 going to make more of it and eventually you'll  
11 get the message and you'll get the blood glucose  
12 down into the normal range.

13 So when you look at blood glucose  
14 levels in normal individuals and then  
15 insulin-resistant individuals, they tend not to  
16 be that different. The blood sugar, as we  
17 mentioned before was 70 to 100. And in insulin  
18 resistant individuals it's going to be in that  
19 same range. The insulin levels will be higher.  
20 I don't mean to say that's a normal situation,  
21 but the glucose level stays normal and in most  
22 cases, you don't get diabetes.

23 Q. So do you -- are part of your slides  
24 designed to show that process whereby insulin  
25 helps to control the blood sugar levels in normal

1 patients?

2 A. So, before we start, let's just assume  
3 that this yellow bar here is the amount of sugar  
4 in our bloodstream. Let's pick a number, 85.  
5 That's a nice, normal blood sugar. And the  
6 insulin level here is in green, and it's not  
7 important what the insulin level is here but  
8 let's just assume that it's normal. Normal  
9 values will be 5, 6, or 7. This is in the normal  
10 situation where there is no food being consumed,  
11 so this is the fasting state. Let's see what  
12 happens after we eat.

13 So we eat, the blood sugar begins  
14 to go up, the pancreas realizes that and makes  
15 more insulin. At a certain point the blood sugar  
16 level is going to start to decline.

17 Next animation.

18 That's just the sugar that is in  
19 the bloodstream now entering the cells. And it's  
20 interesting that the insulin level comes down  
21 quite quickly because the pancreas, again, is  
22 reading the blood sugar and realizes the blood  
23 sugar is coming down. It starts to make less and  
24 less insulin.

25 This is a -- it's a beautiful

1 system, and it's critically important for the  
2 blood sugar to maintain in the normal range and  
3 for that sugar to get into the cells, and this  
4 system works wonderfully well in most  
5 individuals.

6 Now, let's take a look at the  
7 insulin-resistant patient.

8 Again, that would be the person who  
9 perhaps has gained weight, is getting a little  
10 older, may be physically inactive. These are  
11 things that make us insulin resistant. One thing  
12 you'll notice is that the blood sugar is normal,  
13 still about 85. But, if you remember, the  
14 insulin level used to be down here, 5 or 6. In  
15 these folks the insulin level may be 10, 12, 13,  
16 14. Why? Well, the insulin level needs to be a  
17 little bit higher to keep that blood sugar down  
18 into the normal range. Again, the pancreas  
19 shouting at the rest of the body. What happens  
20 when we eat?

21 Next animation.

22 Blood sugar begins to go up, now  
23 you'll see that the pancreas is stimulated in an  
24 exuberant fashion. You see how high the insulin  
25 level gets? But eventually it works. Next.

1 Blood sugar comes down. Insulin level comes  
2 down. This is a finely-tuned system. This is  
3 the pancreas that's compensating for a degree of  
4 insulin resistance.

5 Q. And finally, do you have an animation  
6 which shows how things look in a patient with  
7 diabetes?

8 A. Yeah.

9 So here's the pancreas again and  
10 not as much insulin is coming out of the  
11 pancreas. This is what diabetes is. If it's  
12 type 1, so you have a kid who develops severe  
13 hyperglycemia, severe diabetes. The pancreas has  
14 no insulin whatsoever. Zero insulin. And  
15 they're not insulin-resistant, necessarily, it's  
16 just that their insulin is gone. There's an  
17 immune destruction of the pancreas called the  
18 beta cells. The beta cells just disappear, and  
19 that's not compatible with life. You can't live  
20 without insulin. Insulin goes away and you need  
21 to take insulin injections. But that's not  
22 actually what we see in type 2 diabetes.

23 Let's go back once more and show  
24 the pancreas again in the diabetic patient.

25 Oh, here's the animation about the

1 insulin-resistant individual. We'll show you  
2 another animation in just a bit as to what  
3 happens in diabetes but I wanted just to remind  
4 you about the pancreatic production of insulin in  
5 type 2. It's a little bit different than in type  
6 1 because in type 1 there's zero insulin.

7 In type 2 there is insulin around,  
8 it's just less, there's less insulin coming out  
9 of that pancreas. And let's take a look at the  
10 bars. So a couple of things -- a couple of  
11 comments here. Blood sugar is no longer normal,  
12 right? A diabetic patient wakes up with a higher  
13 blood sugar. Instead of 70 to 100, it's going to  
14 be something like 140. If it's severe diabetes,  
15 it could be 200, 250 but let's make that it's  
16 140. The insulin level is still about as high as  
17 it was in the insulin-resistant patient. You'll  
18 notice that this is insufficient insulin because  
19 the blood sugar is not normal. This looks like a  
20 normal amount of insulin but for this  
21 insulin-resistant patient, they need more  
22 insulin; they're not able to produce it. What  
23 happens after a meal?

24 Next animation.

25 The blood sugar goes up and up.

1 You get a very anemic response from the pancreas.  
2 This is a pancreas that can't do it any longer,  
3 can't make enough insulin. This is what type 2  
4 diabetes is. It's not just insulin resistance or  
5 just insulin deficiency, it's both together. But  
6 the aspect to the physiology, the biology that  
7 transitions a person from normal blood glucose to  
8 a high blood glucose, which is after all what  
9 diabetes is, it's all in the pancreas, it's  
10 pancreatic deficiency of insulin that can no  
11 longer compensate for insulin resistance that  
12 leads to the diabetic state.

13 Q. Doctor, let's talk a little bit about  
14 how much diabetes there is in the United States  
15 today. How would you describe the rate of  
16 diabetes in the United States?

17 A. It's increasing at an alarming clip.

18 Q. And over the last 25 years, is it fair  
19 to say that the rate of diabetes has  
20 approximately tripled?

21 A. Yes.

22 Q. And roughly how many patients in the  
23 U.S. have diabetes today?

24 A. Last count there were approximately 20  
25 million patients, individuals with diabetes in

1 the U.S.

2 Q. And are all of those patients with  
3 diabetes patients who have actually been  
4 diagnosed with diabetes?

5 A. No, unfortunately not.

6 Q. And what percentage of patients have not  
7 been diagnosed with diabetes but actually have  
8 it?

9 A. About one-third of those have not been  
10 diagnosed.

11 Q. And why is it that there is such a high  
12 rate of undiagnosed diabetes?

13 A. Well, it's really a silent disease.  
14 It's not a disease that necessarily gives you  
15 symptoms. The main symptom of excess blood  
16 glucose is actually increased urination and as a  
17 result, thirst. So increased urination and  
18 thirst is often an early sign of it, but you  
19 really have to get the blood glucose up to about  
20 200 before you start urinating excessively. So  
21 readings of 126, 140, 150, there's no way you're  
22 going to feel that. You can determine it if you  
23 happen to have a blood test, but it is a silent  
24 disease, similar to blood pressure.

25 I mean, many of us may have a

1 little bit of hypertension or high blood pressure  
2 and it doesn't give you severe headaches or chest  
3 pain until it gets very, very high, so you need  
4 to be screened for these conditions to determine  
5 whether you have them.

6 Q. And is there -- in looking at the  
7 situation where there is this undiagnosed  
8 diabetes and you've described there being a  
9 period of time when there's essentially not a  
10 tremendous amount of symptoms, what period of  
11 time are we talking about that there's not a  
12 tremendous emergence of symptoms?

13 A. Years.

14 Q. Okay.

15 A. It could be up to five or ten years.  
16 One large study from England taught us that by  
17 the time patients were diagnosed, you could  
18 backtrack in time. Based on some testing that  
19 was done in that study, it could be ten years  
20 before someone may actually get the diagnosis of  
21 diabetes.

22 Q. And after -- after they're diagnosed  
23 with diabetes, is there a period of time when  
24 they can remain asymptomatic?

25 A. Yeah, I would say another several years.

1 I mean, another important point is that diabetes  
2 is a very variable disease. Some patients may  
3 stay with mild diabetes for years and not  
4 progress. The general rule is patients do  
5 progress. They tend to get worse and worse as  
6 they get older. But there is enormous  
7 variability in the expression of this disease.

8 Q. Doctor, you say it takes in your  
9 estimation about five to ten years to develop  
10 diabetes. Did you bring along a slide to explain  
11 the length of time, the process by which diabetes  
12 develops?

13 A. Yes.

14 MR. KANTRA: Can we bring up TG7?

15 THE WITNESS: So this is a  
16 slide that we -- I probably use this slide in 80  
17 percent of my lectures when I'm teaching  
18 residents and other physicians about diabetes  
19 because I think it's really illustrative of the  
20 process and makes some very, very important  
21 points.

22 If we presume that the diagnosis of  
23 diabetes here is made, let's say this year, 2008,  
24 there's a lot of biological, physiological  
25 changes going on in the five to ten years prior

1 that it happens to all of us, more so if we gain  
2 weight, become inactive. So the insulin  
3 resistance increases, but as we've talked about  
4 before, this is compensated. The pancreas is  
5 real smart. It compensates for this insulin  
6 resistance by putting out more insulin.

7 So you'll see in yellow, the  
8 insulin production is increased, is augmented,  
9 and then the result of that -- those two  
10 processes, insulin resistance getting worse and  
11 insulin production getting higher, the result is  
12 that the blood sugar stays in the normal range.  
13 So you don't have diabetes here; you have insulin  
14 resistance, but you don't have diabetes.

15 Now, in this phase between the two  
16 dotted lines, this is the onset of some disease  
17 process, and what is that disease process?  
18 That's the failure of the pancreas to make  
19 insulin. So this is the insulin production of  
20 the pancreas is now beginning to decrease. We  
21 actually don't understand what's going on here.  
22 I mean, if you'd like to make a lot of money, try  
23 to figure this out. What happens to transition  
24 this individual from somebody making plenty of  
25 insulin to somebody who is not able to make any

1 to the diagnosis.

2 Here's blood glucose in green, and  
3 these represent really schematically the two  
4 processes that we talked about on the earlier  
5 animations. The amount of insulin that your  
6 pancreas is going to crank out, right, that's  
7 insulin production, and how well your body  
8 responds to that insulin, and that's insulin  
9 sensitivity, but we call it insulin resistance  
10 here. Those are really the opposite. If you're  
11 not sensitive, you're resistant; if you're  
12 resistant, you're insensitive. But for purposes  
13 of clarity we've decided to label the orange line  
14 here insulin resistance. Insulin resistance is  
15 getting worse, and of course insulin sensitivity  
16 is being reduced.

17 Q. What does that show with respect to the  
18 first five years in the insulin production?

19 A. You can see that in individuals who  
20 develop insulin resistance, again, unfortunately,  
21 this is going on in all of us to some degree. I  
22 mean, there's a natural development in insulin  
23 resistance as we get older, particularly after  
24 age 40 to 45. We can combat that by keeping the  
25 weight off and exercising but it's interesting

1 longer the amount of insulin that they should.  
2 Genetics are probably involved to  
3 some degree. But we are completely in the dark  
4 about what happens here. Most patients don't  
5 experience this, but those that do now have a  
6 problem. They have insulin resistance, but the  
7 pancreas can no longer compensate for that  
8 insulin resistance. So it's almost like their  
9 body needs more insulin and the pancreas just  
10 can't do it. So that's a recipe for an  
11 increasing blood glucose.

12 Q. Why don't we talk a little bit about  
13 diabetes risk factors. And from your  
14 perspective, what is a risk factor?

15 A. Well, a risk factor is a characteristic  
16 of the patient that increases the risk of  
17 developing a disease down the road.

18 Q. And there's a difference between a risk  
19 factor and a cause, isn't there?

20 A. Of course, yes.

21 Q. And how would you describe the  
22 difference between a risk factor and a cause?

23 A. Well, a cause is directly responsible  
24 for a condition. So in this circumstance, the  
25 cause of diabetes is the deficiency of insulin

1 production from the pancreas. A risk factor  
 2 might be family history, your body weight,  
 3 physical inactivity. These things that increase  
 4 the risk of getting a disease such as diabetes.  
 5 But they're not the cause of that disease.  
 6 Q. In terms of leading to an outcome?  
 7 A. Correct.  
 8 Q. You mentioned a couple of diabetes risk  
 9 factors.  
 10 MR. KANTRA: Can we pull up TG3.  
 11 Q. (BY MR. KANTRA) Is there a slide that  
 12 you prepared that identifies the risk factors  
 13 that the American Diabetes Association has  
 14 identified?  
 15 A. Yes.  
 16 Q. Okay. And I want to focus your  
 17 attention specifically on a couple of aspects of  
 18 this. If you look at the second item on that  
 19 list there, overweight or obesity --  
 20 A. Yeah.  
 21 Q. -- how long has overweight or obesity  
 22 been recognized as a risk factor for diabetes?  
 23 A. Ever since I can remember. Certainly  
 24 when I was in medical school.  
 25 Q. As part of your basic medical training?

1 A. Yeah.  
 2 Q. Let's drop down to family history. You  
 3 mentioned that a minute ago.  
 4 A. Yes.  
 5 Q. To what extent does family history  
 6 identify a risk diabetes? What is the risk  
 7 associated with family history?  
 8 A. It's really important. It's estimated  
 9 that if you have one parent who has diabetes,  
 10 then your risk is 25 percent; if you have two  
 11 parents, it's about 50 percent. There's an  
 12 enormous risk just from the family history and it  
 13 may be related to those beta cells, that -- these  
 14 cells in the pancreas that make insulin, the  
 15 genes that determine how healthy our beta cells  
 16 are come from our parents.  
 17 Q. And one other one I want to focus on are  
 18 ethnicity. Are there certain ethnic groups that  
 19 have higher rates of diabetes than others?  
 20 A. Yes.  
 21 Q. And does that include Native Americans?  
 22 A. Yes. Hispanic Americans,  
 23 African-Americans, Native Americans. Native  
 24 Alaskans, for instance, are at increased risk of  
 25 developing diabetes. And, again, these are

1 obviously genetically-based. There may be some  
 2 social aspects to diet and body weight, but most  
 3 of the experts in this area feel that what  
 4 determines this is really genetic influences on  
 5 the cells that make insulin. It's only logical.  
 6 Q. Dr. Wirshing told us last week that he  
 7 did not believe that schizophrenia was a risk  
 8 factor for diabetes. Do you agree with that?  
 9 A. I don't agree with that.  
 10 Q. Why not?  
 11 A. There have been -- certainly, in my  
 12 experience I see plenty of patients with severe  
 13 mental illnesses that develop diabetes. And also  
 14 there are some published reports on this dating  
 15 back a number of years that seem to suggest that  
 16 the risk in the group of patients with  
 17 schizophrenia is increased. The risk of  
 18 diabetes.  
 19 Q. And those published reports predate or  
 20 come before antipsychotic -- the introduction of  
 21 antipsychotic medications?  
 22 A. Oh, yes. I believe that the initial  
 23 reports may have been in the 19th century that  
 24 this association was initially raised.  
 25 Q. Based on your clinical experience, how

1 would you explain this increased risk that you  
 2 observed in patients with schizophrenia?  
 3 A. Well, the common explanation is that,  
 4 unfortunately, patients with severe mental  
 5 illness are not always capable of complying with  
 6 normal healthy lifestyle. Diet, exercise,  
 7 keeping the weight off. Now, obviously, these  
 8 patients are mentally ill and they're obviously  
 9 distracted by what's going on with the  
 10 psychiatric condition. So it may be the last  
 11 thing on their mind, which is probably jog a  
 12 little bit or eating the right foods, so there  
 13 tends to be greater overweight and obesity in  
 14 this population. And I think that's the major  
 15 reason for them to develop a lot of different  
 16 metabolic conditions.  
 17 I often wonder if -- there is a  
 18 relationship between stress and diabetes. We  
 19 know that stress, severe stress can alter some of  
 20 the hormonal signals in the body, and I've always  
 21 wondered as to whether these patients who are  
 22 under severe psychological stress, whether that  
 23 could be a precipitant for diabetes, but that's  
 24 really conjecture on my part. The main concern  
 25 has been their lifestyle.



1 Q. And has the FDA offered any support for  
2 schizophrenia being a risk factor for diabetes?

3 A. Yes, I believe --

4 Q. How did it do so?

5 A. I believe that in the package labels or  
6 the prescribing guidelines for the currently used  
7 antipsychotic medications, I believe that there  
8 is an insert under the warnings section that,  
9 hey, this is a high-risk group of patients, be  
10 careful. These are patients that tend to be  
11 overweight. They tend to get more diabetes than  
12 other groups. And it's certainly something that  
13 needs to be monitored.

14 Q. Do you believe that the risk of diabetes  
15 goes up with the increase in severity of the  
16 mental illness? In other words, does the more  
17 seriously -- the more serious someone's  
18 schizophrenia is, does that mean they're at  
19 greater risk of diabetes?

20 A. I believe that.

21 Q. And why is that?

22 A. Well, for the same reasons; the ability  
23 to take part in healthy lifestyle, exercise,  
24 eating a proper diet. I think that's going to be  
25 even more of a problem in those that are more

1 severely ill, and this whole notion of stress may  
2 be even greater in those patients.

3 Q. How many people have diabetes risk  
4 factors?

5 A. Oh, gosh. You saw the list. Those are  
6 very common things. Greater than 45. Having  
7 passed that threshold myself, I have a diabetes  
8 risk factor. Many of us have family members with  
9 diabetes. A lot of us are gaining some weight  
10 over the years as we get older. Hypertension is  
11 very, very common. It's hard to put a number on  
12 that, but I would say the majority of adult  
13 Americans have at least one diabetes risk factor.

14 Q. So a lot of us do?

15 A. A lot of us.

16 Q. And if you were to look at any group of  
17 ten people, would you be able to determine of  
18 those who had risk factors who would go on to  
19 develop diabetes?

20 A. Well, I'd be able to tell you who had  
21 the greatest risk, but I couldn't predict who was  
22 going to develop diabetes. I mean, diabetes is a  
23 very complicated disease. I mean, which beta  
24 cells, which pancreases are going to compensate  
25 for the insulin resistance is really anyone's

1 guess.

2 Q. How does the number of risk factors  
3 affect the risk for diabetes?

4 A. Well, I believe it's cumulative so that  
5 the more risk factors you have, the greater the  
6 risk. So if you have a person just with age  
7 greater than 45, but compare that to somebody  
8 with age greater than 45, with a mom with  
9 diabetes, maybe overweight, and maybe has high  
10 blood pressure. If you were a gambling person,  
11 you would put money on the person with the  
12 multiple risk factors to get diabetes.

13 Q. Does having many risk factors mean that  
14 the person will develop diabetes?

15 A. No, nothing but going in and removing  
16 the pancreas surgically is guaranteed to give you  
17 diabetes. Diabetes is after all a disease of the  
18 pancreas, and there is enormous capability to  
19 respond to these challenges as insulin  
20 resistance. It's not possible to say in an  
21 individual patient whether they definitely or  
22 will not get diabetes.

23 Q. Are there people who develop diabetes  
24 who don't have any risk factors?

25 A. Absolutely. We find at least 10 to 15

1 percent of our patient population, which is --  
2 which is quite a large number, I think, that  
3 don't have any signs of insulin resistance at  
4 all. What goes on in those patients may be a  
5 disease of the beta cell. May be a very severe  
6 beta cell deficiency that we still don't  
7 understand.

8 Q. Let's talk a little bit, again, about  
9 the risk factor that we talked about on that  
10 slide about weight gain, being overweight and  
11 diabetes. And, in particular, we've heard  
12 testimony here that Zyprexa causes diabetes  
13 because it causes weight gain.

14 And I want to ask you, first, do  
15 you agree that Zyprexa causes weight gain?

16 A. Yes.

17 Q. And do you agree that because Zyprexa  
18 can cause weight gain it, therefore, causes  
19 diabetes?

20 A. No.

21 Q. And why is that?

22 A. Well, weight gain is not the cause of  
23 diabetes. Weight gain is a risk factor for  
24 diabetes. It presents the patient with insulin  
25 resistance. Most patients are going to

1 compensate, and it's not -- it's not logical to  
2 say that the weight gain from Zyprexa leads to  
3 diabetes because the cause of diabetes is, as we  
4 talked about, the deficiency of the pancreas.

5 Q. About two-thirds of the U.S. population  
6 is overweight or obese; is that right?

7 A. Unfortunately, yes.

8 Q. And only 7 percent have diabetes?

9 A. At last count, yes.

10 Q. Have you reviewed the studies that  
11 relate to weight gain and diabetes in patients  
12 who take atypical antipsychotics?

13 A. Yes.

14 Q. I want to ask you about three of those  
15 studies.

16 MR. KANTRA: And, again, with your  
17 permission I want to flip this over --

18 THE COURT: Sure. Again, has the  
19 State had an opportunity to see it?

20 MR. KANTRA: Sure. Three studies  
21 that should be well-known to you.

22 Q. (BY MR. KANTRA) Dr. Inzucchi, I want to  
23 direct your attention to three particular  
24 studies. The first being a study by Allison and  
25 colleagues from 2001; the second is by Cavazzoni

1 generation, there were no changes whatsoever.

2 Q. Did they have another measure that they  
3 looked at to look specifically at the question of  
4 diabetes?

5 A. Yeah. They looked at whether patients  
6 were developing diabetes. So again, an increase  
7 in your blood glucose. I think in that paper in  
8 one group it was three or four milligrams per  
9 deciliter. If you're starting off with a blood  
10 glucose of 85 and your blood glucose increases by  
11 three or four points, it's 88 or 89. It's  
12 nowhere near diabetes. So an increase in blood  
13 glucose is very different from developing the  
14 disease we call diabetes.

15 Q. What do the analysis that looked at  
16 these thresholds for diabetes tell us?

17 A. No difference between the groups. So  
18 there was no greater likelihood of you developing  
19 diabetes if you were taking Zyprexa than if you  
20 were taking one of the other medications or even  
21 placebo.

22 Q. Let's talk secondly about the Cavazzoni  
23 paper which is listed up there. That was a  
24 study -- or an analysis that looked at about  
25 5,000 patients, right?

1 and colleagues from 2004; and the third is a  
2 study which is known as the CATIE study from  
3 2005, and ask you, first, have you reviewed those  
4 three?

5 A. Yes.

6 Q. Let's talk about the Allison paper  
7 first. That was a study that involved about 3500  
8 patients; is that right?

9 A. Correct.

10 Q. From clinical trials that Lilly  
11 conducted?

12 A. Yes.

13 Q. And these were from studies where  
14 patients on Zyprexa gained more weight than with  
15 other medications?

16 A. That's right.

17 Q. Can you describe for the jury what the  
18 findings of that study were?

19 A. Yeah. There were modest, small  
20 increases in the blood glucose levels in those  
21 patients who were taking Zyprexa compared to some  
22 of the drugs, compared to placebo and compared to  
23 an older antipsychotic medication known as  
24 haloperidol. But compared to other medications  
25 that were of the same class, so-called second

1 A. Yes.

2 Q. Involving about 20 different studies?

3 A. Yes.

4 Q. And in that particular study there was a  
5 finding that weight gain was associated with an  
6 increased risk of diabetes; correct?

7 A. The weight gain was, yes.

8 Q. And that was across treatments?

9 A. Yes and that's not surprising since  
10 weight gain was -- since weight gain was  
11 associated with diabetes risk in a number of  
12 other studies.

13 Q. Was there any comparison as to whether  
14 or not patients taking Zyprexa were at  
15 significantly greater risk with respect to  
16 diabetes than any of the other treatments?

17 A. Yes. That was the purpose of the study.

18 Q. What was the finding in that regard?

19 A. Again, no difference. So, you were just  
20 as likely or unlikely to get diabetes across the  
21 treatment form. So Zyprexa, other drugs,  
22 placebo, et cetera.

23 Q. And let's talk about the third study  
24 that's listed up there. That's the CATIE study.  
25 The jury has already heard a fair amount about

1 that study. That was a long-term study, correct,  
2 or longer term? About one and a half years?

3 A. Yeah, about one and a half years.

4 Q. And involved about 1500 patients?

5 A. Correct.

6 Q. They looked in that study at a variety  
7 of different outcomes including weight gain,  
8 correct?

9 A. That's correct.

10 Q. They found that there was more weight  
11 gain in patients treated with Zyprexa than other  
12 antipsychotic medications?

13 A. Consistent with earlier findings, yes.

14 Q. Did they make any findings in that study  
15 as to whether patients developed diabetes in that  
16 study?

17 A. They did.

18 Q. And what was the finding?

19 A. Again, no difference, no statistical  
20 difference between the groups, whether you took  
21 Zyprexa, one of the three or four other  
22 antipsychotic medications. There was the same  
23 rates of diabetes over that period of  
24 observation.

25 Q. And in -- in the CATIE study was there a

1 diabetes?

2 A. That's a really complicated question.

3 To some degree it has to do with how severe the  
4 diabetes is. That's only logical. Somebody who  
5 has a blood sugar of 127 just into the diabetic  
6 range is not going to be as predisposed to the  
7 diabetes complications that we fear as somebody  
8 with a blood sugar of 300 or 400. But other  
9 co-existing diseases also play a role, such as  
10 hypertension. So if you have the combination of  
11 hypertension and diabetes, your risk is  
12 compounded.

13 If you have smoking, I tell my  
14 patients, listen, if you can just quit smoking  
15 and don't treat your diabetes, that's probably  
16 better than if you treat the diabetes and  
17 continue to smoke. I mean, smoking is a major  
18 risk factor for a lot of these complications. So  
19 that combination, smoking and diabetes, is a  
20 super powerful problem for patients' health.

21 Q. Let me ask you -- I'm sorry. I just  
22 want to ask you specifically about patients that  
23 you've seen who have serious mental illness, like  
24 schizophrenia or bipolar disorder.

25 From your perspective, how

1 finding that there was an increase in blood  
2 glucose levels?

3 A. Yes.

4 Q. But that didn't translate into a finding  
5 of more patients with diabetes?

6 A. Yeah, apparently not. There was modest  
7 changes in glucose, but, again, increasing  
8 glucose is not the same as diabetes. It just  
9 means that your glucose is going up.

10 Q. What do these studies tell you about  
11 whether or not Zyprexa-associated weight gain  
12 causes diabetes?

13 A. Well, all three say no.

14 Q. Doctor, do you agree that diabetes is a  
15 serious disease?

16 A. Absolutely.

17 Q. And that it has the potential for  
18 serious consequences?

19 A. Yes.

20 Q. You also believe that not all cases of  
21 type 2 diabetes are equally severe; is that  
22 right?

23 A. Correct.

24 Q. What determines the extent to which  
25 complications may develop in patients with

1 important is controlling their symptoms that  
2 relate to their mental illness to managing or  
3 controlling complications of diabetes?

4 A. It's critical. I mean, you cannot  
5 control a chronic disease like diabetes unless  
6 you have control of your severe mental illness.  
7 I mean, just think about it. How are you going  
8 to comply with the complex treatment programs,  
9 the medications, perhaps even insulin injections  
10 and also the ability to comply with diet  
11 recommendations, exercise schedules? I mean,  
12 it's just not possible if someone is in a  
13 psychiatric crisis, particularly patients with  
14 schizophrenia. You can't treat their diabetes  
15 until that's under control.

16 Q. Doctor, I think you told us earlier that  
17 as part of forming your opinions in this matter,  
18 you reviewed the available published studies in  
19 regards to Zyprexa and diabetes?

20 A. Yes.

21 Q. Okay. And as you reviewed those  
22 studies, were there particular kinds of studies  
23 that you gave more weight to?

24 A. Sure.

25 Q. And what were they?

1 A. Well, the ones that we reviewed on the  
2 poster. These were so-called randomized clinical  
3 trials. This is the gold standard of doing  
4 medical research. You're testing a treatment  
5 strategy in two groups; one group that is  
6 actually getting that active medication, and one  
7 group that is getting another treatment to  
8 directly compare the effects of those two  
9 treatments, or sometimes that second treatment is  
10 actually a placebo or a fake medication, if you  
11 will, to see if there is an actual effect of  
12 this. This is, again, the gold standard way of  
13 doing medical research.

14 Q. And it's the gold standard because it  
15 helps to eliminate the possibility of bias,  
16 right?

17 A. Correct. You'd assume that if the  
18 randomization, which is the way you assign  
19 patients to Group A or Group B or Group 1 or  
20 Group 2, is done, essentially, by a flip of the  
21 coin. It's a little fancier than that. There  
22 are computer programs that do that now. But it's  
23 a computerized flip of the coin, essentially.

24 And you assume that if the patient  
25 group is large enough, that at the end of the day

1 when you fill up those two groups, you're going  
2 to have about the same number of patients who are  
3 overweight in one group, about the same number of  
4 patients with a familiar history of diabetes in  
5 both groups, et cetera. So it's the only  
6 accepted way to do medical research that's going  
7 to lead to a change in practice.

8 Q. Now, you also mentioned mechanistic  
9 studies in addition to the clinical trials.

10 Can you tell the jury what a  
11 mechanistic study is?

12 A. Sure. These are smaller studies where  
13 we're actually looking at the mechanisms of  
14 disease. So, instead of having 1,000 patients in  
15 these types of studies, there may be only 10 or  
16 15. And we're asking very precise questions  
17 about, you know, what actually happens to the  
18 insulin level in this patient? What actually  
19 happens to the blood glucose in this patient?  
20 Very often we'll do specialized physiological  
21 tests on these individuals that may take a whole  
22 day, so we can actually look very closely at the  
23 biology of what's going on with glucose and  
24 insulin.

25 Q. And with respect to diabetes, is there a

1 particular type of study that's especially useful  
2 in evaluating whether a drug might cause  
3 diabetes?

4 A. Yeah. These tests are called the clamp  
5 studies, the clamp studies.

6 Q. And did you bring with you some slides  
7 that might help the jury understand these  
8 studies?

9 A. Yep.

10 MR. KANTRA: Can we bring up TG11?

11 Q. (BY MR. KANTRA) Would you tell us,  
12 first, about the two types of clamp studies that  
13 are available?

14 A. Now, I would first say that this gets a  
15 little confusing. And it -- don't feel bad if  
16 you don't get it the first time, because it takes  
17 us about two or three lectures to get this  
18 through to medical students, so --

19 But let me just review -- these are  
20 clamps, and I'll tell you why they call them  
21 clamps. These are research tests. These are  
22 tough to do. They're expensive to do. You're  
23 not going to do these in 100 patients or 1,000  
24 patients. You can do them in small groups of 10,  
25 20, 30, perhaps 40 or 50 patients.

1 There's two types. One is called  
2 the hyperglycemic clamp. That's fancy talk for a  
3 high blood sugar clamp. That's basically asking  
4 the question: Hey, how well can this person make  
5 insulin? The only way to determine that is to  
6 give them a lot of glucose and see what happens  
7 to their pancreas, how much insulin comes out of  
8 that pancreas.

9 The second test is called the  
10 euglycemic clamp. And that's -- that just means  
11 a normal sugar. Eu is normal clamp. And that's  
12 asking another question: That's saying, hey, how  
13 well does this person respond to insulin? We're  
14 testing the insulin sensitivity, or the opposite  
15 would be insulin resistant of this individual.  
16 And in that circumstance, we're not giving  
17 glucose to test how much insulin they can make;  
18 we're giving insulin to see how far the glucose  
19 drops.

20 Q. Let's look first at the hyperglycemic  
21 clamp.

22 A. So, again, the purpose here is to  
23 basically determine how healthy the pancreas is.  
24 If you're doing this in a before and after kind  
25 of situation, you're trying to see if the drug

1 that you're giving could be harming the  
2 pancreatic production of insulin.

3 Q. And is there a slide, then, that shows  
4 what that would look like?

5 A. Sure. So, let me just remind you that  
6 the amount of sugar that we give in these  
7 patients is fixed. We're trying to get the  
8 glucose fixed or clamped. That's where the term  
9 comes from. We clamp the glucose at a very high  
10 range, and then we ask the question: How much  
11 insulin can this person make?

12 So, before we show the animations,  
13 again, this is the hyperglycemic clamp. If we  
14 didn't do anything to that patient, the blood  
15 sugar would just stay normal, and the insulin  
16 here in blue would just stay normal. So we  
17 just -- it's a boring test. We just measure  
18 insulin and glucose for 12 hours. That's what  
19 we'd see. We wouldn't want to do that.

20 Q. And that's before you administer the  
21 drug, right?

22 A. This is before -- you know, not doing  
23 anything to the patient. Just fasting the  
24 patient and just observing them. What we  
25 actually do is we give a lot of glucose. So we

1 give lots of this sugar. This is done by  
2 intravenous infusion, and we clamp the blood  
3 glucose at a high range.

4 Q. When you say "high range," is that up in  
5 the range of diabetes?

6 A. Yes. We put that -- there's various  
7 targets, but one target, for instance, might be  
8 180. So we put that into a high range; certainly  
9 in the diabetic range.

10 Now, in the normal situation, let's  
11 see what would happen to the insulin. Well, the  
12 insulin -- and this is only logical, right? You  
13 give a lot of glucose, and a normal pancreas is  
14 going to make a lot of insulin. Now, if the  
15 pancreas wasn't healthy, if the pancreas was  
16 predisposed to diabetes or was a diabetic  
17 pancreas, this is what we would see. We would  
18 see a poor insulin response.

19 Q. Did Lilly actually conduct a  
20 hyperglycemic clamp study with Zyprexa that  
21 looked at this question of whether it would have  
22 a direct effect on the pancreas?

23 A. Yeah.

24 Q. So it looked before and then after the  
25 administration of the drug?

1 A. That's right.

2 Q. And what did it find?

3 A. They gave the drug for several weeks and  
4 they asked the question: Does it affect the  
5 insulin production? Because, just a reminder, if  
6 you're going to get diabetes from a drug, it's  
7 going to affect your insulin production. So the  
8 line was like this before the drug, and the line  
9 was like that after the drug. So, the insulin  
10 production was not impaired by Zyprexa. That was  
11 important to me, because I wanted to know, hey,  
12 does this drug give diabetes? And this is a very  
13 important test, the hyperglycemic clamp, to  
14 determine: Is this drug affecting the production  
15 of insulin.

16 Q. Let's turn, then, to the second study,  
17 the euglycemic clamp study you described a minute  
18 ago, and ask you whether you have some slides  
19 that help to explain that as well.

20 A. Yes. So this is, again, the test of  
21 insulin sensitivity. We're not giving glucose to  
22 see how much insulin they can make; we're giving  
23 insulin to see how effective that insulin is. So  
24 we give a fixed amount of insulin through an  
25 intravenous line, an infusion. Then we -- now,

1 we can't just let the blood sugar fall because  
2 that wouldn't be really good for the patient.  
3 They would feel really bad if we let the blood  
4 glucose fall. So we do something else. Instead  
5 of measuring how low the blood glucose is, we  
6 give them glucose and build up the blood sugar  
7 back to the baseline level. And the amount of  
8 glucose that we have to give them is an indirect  
9 reflection of how low the blood glucose would  
10 have gone.

11 Let me show you what we mean. So,  
12 again, if we didn't do any test, blood sugar  
13 stays normal. Insulin stays normal. But this is  
14 a test now. What we're going to do is we're  
15 going to give them insulin. So the insulin level  
16 is going to go way up there. In the normal  
17 situation, if we did that, look what would happen  
18 to the glucose. And that wouldn't be good.  
19 Patient wouldn't like that. They would never  
20 come back for more research studies.

21 But, seriously, what we actually do  
22 is we give them glucose. We kind of fill up the  
23 tank, and this amount of glucose that we need to  
24 give them is precisely measured. We can measure  
25 this down to milligram, and we can determine how

1 much glucose we need to give this individual that  
2 reflects how sensitive to that insulin they were.

3 Let me show you what would happen  
4 in somebody who was insulin resistant. Now, this  
5 person, we give them the same amount of insulin  
6 and their blood glucose would have dropped, but  
7 only perhaps half as much. We still don't want  
8 them to do that, because that wouldn't make them  
9 feel good. So we fill up the tank and we give  
10 them that much glucose. Now, you can see if we  
11 did patient A and then patient B, obviously  
12 patient A was much more sensitive to glucose  
13 because their glucose dropped further and we  
14 needed to give them much more glucose to bring  
15 them back up to normal.

16 Patient B is very insulin  
17 resistant. This person perhaps is older, maybe  
18 more overweight, and that person required very  
19 little glucose to bring their glucose level  
20 normal. This can be precisely measured and  
21 precisely compared.

22 Q. So if a drug caused insulin resistance,  
23 you would be seeing a very small amount of sugar  
24 that would be needed; is that right?

25 A. If it did it directly under the time

1 frame that we're doing the study, absolutely. I  
2 mean, if a drug leads to insulin resistance, this  
3 is the way you find -- this is the gold standard  
4 way of determining that.

5 Q. And did Lilly do one of these euglycemic  
6 clamp studies to evaluate whether or not Zyprexa  
7 caused insulin resistance --

8 A. They did.

9 Q. -- acutely?

10 And what was the finding?

11 A. No change. So, the amount of insulin  
12 sensitivity, whatever it was, it could have been  
13 high or low, but it wasn't changed by the  
14 medication. It really had more to do with what  
15 was the underlying insulin resistance of that  
16 patient. My recollection is this was a normal  
17 human volunteer study, so these were reasonably  
18 insulin-sensitive individuals and there was no  
19 effect of the drug, at least as far as the  
20 euglycemic clamp was concerned.

21 Q. Okay. Let's move off of the mechanistic  
22 studies for a moment and talk about the other  
23 studies that you considered in your review of the  
24 literature. I want to touch briefly on the  
25 clinical trials.

1 You've already told us the three  
2 that are up on this board up here: The Allison,  
3 the Cavazzoni and the CATIE analysis, correct?

4 A. Yes.

5 Q. And those studies found no increase in  
6 risk of -- no significant increase in the risk of  
7 diabetes notwithstanding weight gain, correct?

8 A. Correct.

9 Q. Okay. Let me ask you, then, to focus --  
10 after talking about the mechanistic and the  
11 clinical trial studies, which you consider most  
12 significant, did you also consider studies which  
13 are called epidemiologic or observational  
14 studies?

15 A. Yes, I did.

16 Q. Okay. And those are studies that  
17 essentially give us a 35,000-foot look at the  
18 data, right?

19 A. Yes.

20 Q. And in the context of atypical  
21 antipsychotics and diabetes, they are studies  
22 that look backwards in time, right?

23 A. Yeah, that's why they were  
24 observational. In other words, things have  
25 already occurred and now we're going to look back

1 at what happened to try to pull out the truth.

2 Q. And the information from these  
3 particular studies come out of databases that are  
4 designed for other purposes, right?

5 A. Yeah, these are huge databases. They  
6 have thousands, sometimes tens of thousands of  
7 lives encompassed within them. They're often set  
8 up to -- a health insurance company may want to  
9 track, you know, some financial data on patients,  
10 and if they have some interesting information  
11 regarding the diagnosis of diabetes, what the  
12 heck, you could go back and look at that to see  
13 if you can find any correlation -- you can't find  
14 causality; you can just find correlations between  
15 a drug and a disease.

16 Q. And these studies have important  
17 limitations, right?

18 A. Hugely important limitations.

19 Q. And one of those limitations is that  
20 unlike in the clinical trials, the groups are not  
21 equivalent necessarily in terms of their risk  
22 factors, right?

23 A. Yes. If a person took a medication  
24 because they were sicker, for instance, it's  
25 going to look like that person does worse with

1 that medication, but that's because they were  
2 sicker at the beginning. You really need to  
3 adjust for the severity of the disease, and these  
4 databases often don't have the critically  
5 important bits of information to properly adjust  
6 for those differences.

7 Q. And this is in contrast to the clinical  
8 trials where you could equalize or put together  
9 patient groups that have risk factors that are  
10 comparable?

11 A. The computerized flip of the coin does  
12 that automatically for you.

13 Q. Okay. Can you tell us what your overall  
14 conclusions were from your review of these  
15 epidemiologic or observational studies?

16 A. Well, I was completely confused. I  
17 mean, some studies suggested that all patients  
18 who took these drugs were at increased risk of  
19 diabetes compared to people not taking these  
20 drugs. We already knew that. We know that  
21 people with schizophrenia are at increased risk,  
22 so comparing them to patients who were taking  
23 antibiotics or antidepressants, it's not  
24 surprising that you might find an increased risk.

25 There were some studies clearly

1 that showed olanzapine-treated patients were  
2 diagnosed more with diabetes over time. Clearly,  
3 those results are out there. But there are other  
4 studies showing that there was no effect, or that  
5 another drug might have had a greater effect than  
6 olanzapine.

7 So, it's almost like the more I  
8 read, the more confused I got. There were arrows  
9 pointing in several different directions. So,  
10 you've got to look at the totality of the  
11 evidence, the potentially biased observational  
12 studies. They're important. I mean, I've done  
13 these studies. Don't get me wrong. They're  
14 important to raising questions about disease, but  
15 they don't show you the truth. You can only know  
16 the truth by these gold standard tests, which  
17 would be the randomized clinical trials and then  
18 supported by the mechanistic studies.

19 Q. Was one of the observational studies  
20 that you reviewed as part of forming your opinion  
21 in this case a study that was done by Dr. William  
22 Wirshing?

23 A. Yes.

24 MR. KANTRA: Mike, can you bring up  
25 AK10140? And in particular, can we go to, I

1 believe, internal page 6.

2 Q. (BY MR. KANTRA) Doctor, this was -- you  
3 understand that Dr. Wirshing was an expert for  
4 the State in this matter?

5 A. That's what I'm told, yes.

6 Q. And did this study evaluate whether  
7 patients developed diabetes while on  
8 antipsychotic medications?

9 A. Yes.

10 Q. And this is a study that had about 200  
11 patients in it; is that right?

12 A. Yeah, a little over 200.

13 Q. And roughly about 30 patients on  
14 Zyprexa?

15 A. Yeah, about 30.

16 Q. And they looked at patients over a  
17 period of about two and a half years?

18 A. Correct.

19 Q. And that was longer than the CATIE  
20 study; is that right?

21 A. About a year longer, yeah.

22 Q. And in terms of the conclusions with  
23 respect to whether or not there were significant  
24 differences among the treatment groups, including  
25 Zyprexa and other medications with respect to

1 diabetes, what was the conclusion?

2 A. Well, here, we can read that. This is  
3 in yellow now. No statistical differences were  
4 found for the percentage of patients with  
5 clinically significant changes in glucose levels  
6 between groups. Overall, 48 percent of patients  
7 getting this drug, clozapine; 25 percent of  
8 patients getting Zyprexa, that's olanzapine; 21  
9 percent of patients getting risperidone; and 25  
10 percent of those getting quetiapine developed  
11 clinically significant elevations. Here we're  
12 talking about --

13 Q. Cholesterol --

14 A. -- cholesterol.

15 MR. KANTRA: If you go up, Mike,  
16 just a little bit from there. And if you look at  
17 the sentence that says, Using a cutoff of 200.

18 A. This is an analogous sentence here.  
19 Taking the cutoff of 200, and that's because they  
20 had random glucose. They don't know if the  
21 patients were fasting or not. This is a chart  
22 review study. They got about 200 charts and they  
23 reviewed the charts, but everything had already  
24 occurred. Using the cutoff of 200, 4 percent of  
25 patients receiving clozapine; 5 percent receiving

1 olanzapine, that's Zyprexa; 8 percent of those  
2 receiving risperidone; and none of the patients  
3 receiving quetiapine developed clinically  
4 significant elevations in random glucose.

5 Q. (BY MR. KANTRA) So the less -- there  
6 were fewer elevations on olanzapine than on  
7 risperidone in this study?

8 A. Well, in this study, but, you know,  
9 this is such a small study it's really hard to  
10 make any conclusions. But they looked, at least,  
11 over two years and they couldn't find a  
12 difference. This seems to support the  
13 conclusions of the randomized clinical trials.

14 But, again, these studies are okay for what they  
15 are, but you really need to interpret them very,  
16 very cautiously. You can't make any conclusions  
17 from them.

18 Q. Lastly, I want to turn your attention to  
19 something which has been referred to as case  
20 reports.

21 A. Yeah.

22 Q. And case reports are usually a single  
23 published study or sometimes a series of patients  
24 that are reported in literature?

25 A. That's right.

1 Q. And did you review the case reports for  
2 Zyprexa?

3 A. Yes.

4 Q. And did you reach any conclusions about  
5 whether they were sufficient to establish  
6 causation?

7 A. You can't -- you can't prove causation  
8 with a case report. This is basically a  
9 physician who has seen a patient, who took in  
10 this circumstance, Zyprexa. The patient then  
11 developed diabetes, and that's about what the  
12 association is. There's no way to prove  
13 causality. They're interesting. They need to be  
14 done. They're most interesting for rare  
15 conditions.

16 Diabetes, as we've talked about, is  
17 a really common thing. I mean, million, million  
18 and a half new cases per year in this country.  
19 These case reports are more notable when the  
20 effect is rare. So, you know, your ears turn  
21 green; that's really rare for that to happen from  
22 the medication. So if we had two or three cases  
23 come through that says, hey, this drug leads to  
24 green ears, I think everybody would say, whoa,  
25 this is something we need to take seriously.

1 But when a drug is associated with  
2 diabetes or high blood pressure, it's really hard  
3 to know whether it's a cause-and-effect  
4 relationship.

5 Q. And did you review as part of your  
6 analysis of the literature things which are  
7 called positive rechallenge cases?

8 A. Yes.

9 Q. And those are cases where an individual  
10 is placed on the drug, developed an event, went  
11 off the drug, the event went away, back on the  
12 drug, and the event redeveloped?

13 A. Yes.

14 Q. Did those reports change your opinion as  
15 to whether or not those kinds of publications  
16 would establish causation for Zyprexa?

17 A. No. I mean, obviously they're more  
18 interesting, but there's only a handful of them.  
19 You know, diabetes can wax and wane. People are  
20 being treated, they're coming off medications,  
21 they're losing weight, gaining weight. I mean,  
22 there's a lot of things going on with diabetes.  
23 I don't think -- certainly in light of what we've  
24 just talked about, this is very convincing  
25 evidence that there's no risk of increasing

1 diabetes from the mechanistic, from the clinical  
2 trials. They don't change my mind.

3 Q. And did you also review the spontaneous  
4 adverse event reports as well?

5 A. Yes.

6 Q. Those are unpublished studies typically  
7 that go into FDA?

8 A. That's right.

9 Q. And was your opinion in regards to  
10 whether or not those established causation the  
11 same as with case reports?

12 A. That's correct.

13 Q. That they do not?

14 A. They do not. The problem with these  
15 reports is that, you know, no one reports, my  
16 patient took Zyprexa and didn't get diabetes. I  
17 mean, there's no -- there's no sense of what the  
18 denominator is here. It's just that, hey, this  
19 is an observation I made. And they're important,  
20 they need to be done, particularly for these rare  
21 conditions. But for diabetes, I think it's less  
22 helpful.

23 Q. So, in sum, as you look back over all of  
24 these studies, the mechanistic studies, the  
25 clinical trial studies, the epidemiologic



1 studies, case reports, spontaneous adverse event  
 2 reports, what is your overall conclusion as to  
 3 whether or not Zyprexa causes diabetes?  
 4 A. There's no evidence that diabetes is  
 5 caused by Zyprexa.  
 6 Q. Okay. I want to focus your attention on  
 7 something the jury has heard a lot about, which  
 8 is the ADA consensus statement regarding diabetes  
 9 and obesity.  
 10 Are you familiar with that?  
 11 A. Sure.  
 12 Q. And you told us earlier that you were a  
 13 member of the American Diabetes Association,  
 14 right?  
 15 A. Yes.  
 16 Q. And that meant that you -- it didn't  
 17 mean that -- but you are also a member of the  
 18 editorial board of Diabetes Care?  
 19 A. That's correct.  
 20 Q. That's the journal that published this  
 21 consensus statement?  
 22 A. Yes, but I was not on the editorial  
 23 board when it was published. I just joined last  
 24 year.  
 25 Q. Understood. You've sat on consensus

1 important as what we call the standards of care.  
 2 These are direct from the ADA. You really need  
 3 to do this or you're providing substandard care.  
 4 Q. And are you familiar, as a result of  
 5 having reviewed this particular consensus  
 6 statement, with Table 2 in that publication?  
 7 A. Yes.  
 8 Q. And that's the publication that  
 9 looks specifically at whether or not there's an  
 10 increased risk among the various atypical  
 11 antipsychotic agents?  
 12 A. Correct.  
 13 Q. And, in particular, I want to ask you  
 14 whether you agree with the ranking with respect  
 15 to the risk of diabetes here?  
 16 A. I don't.  
 17 Q. Why is that?  
 18 A. Well, as we've just talked about, when  
 19 you look at all the evidence -- and I will admit  
 20 that some of the evidence that we talked about  
 21 has been accumulated since this consensus  
 22 statement was published in 2003, 2004. But,  
 23 again, between the mechanistic studies showing no  
 24 effect on the pancreas or direct effect on  
 25 insulin resistance, with the clinical trials

1 panels sponsored by the ADA yourself?  
 2 A. Yes.  
 3 Q. Can you tell us what the purpose of a  
 4 consensus statement is?  
 5 A. Well, it's a group of physicians that  
 6 are called by a professional organization like  
 7 the ADA to come together to listen to evidence  
 8 when there's a controversy in a specific area.  
 9 When we don't know what to do, when there's lots  
 10 of data coming at us that is conflicting, where  
 11 the optimal clinical trial has not yet been done,  
 12 to try to weigh in on this important clinical  
 13 question.  
 14 Q. Do you consider it to be the final word  
 15 or binding on physicians?  
 16 A. No. There's a lot of arguments about,  
 17 you know, what role these consensus statements  
 18 have. They're important. They come from  
 19 authoritative sources. But they basically make  
 20 suggestions mainly about what needs to be done.  
 21 You know, what we know, what we don't know, where  
 22 do we need to go from here to find out the truth.  
 23 And we as clinicians need to take the  
 24 recommendations and interpret them and apply them  
 25 to our clinical practice. They're not as

1 showing no effect on the diagnosis of diabetes,  
 2 and with the epidemiological studies being  
 3 somewhat discordant, I don't think that I would  
 4 have put much of a difference between these  
 5 medications. I think all these patients are at  
 6 increased risk of diabetes, and my personal view  
 7 is that the drug treatment itself may be an  
 8 insignificant aspect to the diabetes risk.  
 9 Q. Let's talk about the labeling with  
 10 respect to Zyprexa. And, in particular, I want  
 11 to ask you: As a clinician who treats patients  
 12 and prescribes medications, are you someone who  
 13 is familiar with and read medication labels?  
 14 A. Yes.  
 15 MR. SUGGS: Excuse me, Your Honor,  
 16 I'm going to object. I don't believe that he was  
 17 qualified for that by the Court.  
 18 MR. KANTRA: We talked about the  
 19 present -- sorry.  
 20 (Bench discussion.)  
 21 THE COURT: What does the report  
 22 say about this?  
 23 MR. KANTRA: Very specifically in  
 24 the report a paragraph where he talks about -- if  
 25 I can have him read it --

1 THE COURT: I mean, I'm just saying

2 that --

3 MR. SUGGS: Honestly, I can't  
4 remember. I remember when we were doing the --  
5 it wasn't included --

6 MR. KANTRA: I'm happy to  
7 proffer -- I'm happy to bring the report, have  
8 him read the report.

9 THE COURT: To the extent it was  
10 gone into in his report and -- was he deposed on  
11 this topic?

12 MR. KANTRA: Yes, he was.

13 THE COURT: I'll allow the  
14 question.

15 (End of bench discussion.)

16 Q. (BY MR. KANTRA) Let me ask you again:  
17 As a clinician who prescribes medications, are  
18 you familiar with and have you read medication  
19 labels?

20 A. Yes.

21 Q. And from your perspective, do you  
22 believe that there is information that you rely  
23 upon in the adverse reaction section in  
24 determining the safety profile of a medication?

25 MR. SUGGS: Objection, Your Honor.

1 He doesn't prescribe Zyprexa.

2 MR. KANTRA: That's not my  
3 question.

4 THE COURT: I think this is a  
5 general question, not a specific question. So as  
6 a general question, I'll allow it.

7 A. So, I do read the labels and I think the  
8 information in the adverse effects section is one  
9 area that I always go to early on because as  
10 physicians, we're taught, do no harm. That's one  
11 of the most important lessons we learn in  
12 medicine, and every medication has benefit and  
13 always some risk. So we're always focused on  
14 what are the adverse events that we might expect  
15 with this medication so that we can counsel the  
16 patient and make a decision as to whether the  
17 risk/benefit ratio is worth it.

18 Q. (BY MR. KANTRA) And could you describe  
19 what you understand the purpose of -- as a  
20 practicing physician, again, what the purpose of  
21 labeling is?

22 A. Well, again, it's to inform the  
23 prescriber as to what the risk/benefit ratio will  
24 be in this specific patient with this specific  
25 drug. All medications, as I said, have some

1 downside, and we need to be very aware of that  
2 potential downside and look at the expected  
3 benefit compared to the possible risk.

4 Q. And do you expect that every single data  
5 analysis that a company has ever done would be  
6 included in labeling?

7 A. No. I mean, it's -- hopefully it's a  
8 distilled version of what's happened in the  
9 clinical trials. There's a discussion between  
10 the company and the Food & Drug Administration,  
11 the FDA, as to what ultimately gets into the  
12 label.

13 Q. And where do you get your information  
14 about the labeling for medications?

15 A. Well, I actually like to read the label  
16 itself. Very often we get medication samples and  
17 when there's a new drug, I like to update myself.  
18 So, I actually pull out the label and read the  
19 label. But it's also available in the PDR, the  
20 Physicians' Desk Reference. It gets mailed to  
21 every physician once a year. They're also  
22 available on-line. There are electronic  
23 resources. I'm kind of a 1970s kind of guy and I  
24 don't use them, but --

25 Q. There are a number of different ways

1 that physicians can access labeling?

2 A. Yeah, Hippocrates. These are downloaded  
3 ways to get that kind of information. You can go  
4 on-line and download them yourselves. Lectures  
5 that we attend, CME, continuing medical  
6 education, reading review articles. There's a  
7 number of different ways we learn about new  
8 drugs.

9 Q. Have you reviewed the Zyprexa label as  
10 part of preparing your expert opinion in this  
11 case?

12 A. Yes, I have.

13 Q. And did you review the original 1996  
14 label?

15 A. Yes.

16 Q. Is it your opinion that the Zyprexa  
17 label provided adequate information to alert  
18 physicians to a potential risk of diabetes?

19 MR. SUGGS: I'm going to object,  
20 Your Honor. He doesn't prescribe Zyprexa.

21 MR. KANTRA: I'm asking him as a  
22 prescriber of medication whether information  
23 about weight gain --

24 THE COURT: You're asking him  
25 specifically about the Zyprexa label that he

1 doesn't prescribe, correct?

2 THE WITNESS: That's correct.

3 MR. KANTRA: Can we approach,  
4 Your Honor?

5 THE COURT: You may.  
6 (Bench discussion.)

7 THE COURT: Just before we get  
8 started, it's Mr. Suggs' witness.

9 MR. SUGGS: He's whispering in my  
10 ear.

11 MR. KANTRA: Again, from the  
12 preparation of his expert opinion in this matter  
13 and the report that they had and the deposition  
14 that they took in this matter, all of that is in  
15 there in regards to his expert opinion with  
16 respect to Zyprexa. And the information that  
17 we're trying to elicit is as somebody who is  
18 familiar with diabetes and its risk factors,  
19 whether or not he prescribed Zyprexa, would a  
20 physician seeing a label like this understand  
21 that there was a risk of diabetes. That's all  
22 we're asking him.

23 MR. SUGGS: Your Honor, from our  
24 perspective, you have to weigh the benefits and  
25 the risks as to whether a drug's being used. He

1 doesn't treat psychiatric conditions --

2 THE COURT: I'll allow the question  
3 to be asked for the limited purpose of in his  
4 opinion, is the risk of diabetes being -- or the  
5 other diseases we're talking about being  
6 adequately disclosed, and you can ask all those  
7 questions on cross-examination.

8 MR. SUGGS: Thank you, sir.  
9 (End of bench discussion.)

10 MR. KANTRA: If we could bring up,  
11 Mike, what's been marked as EL 2954A --

12 THE COURT: Mr. Kantra, we're  
13 getting to a point where I probably want to take  
14 a morning break when you get to a convenient -- I  
15 don't know if this is it or --

16 MR. KANTRA: We're just about  
17 finished. I would say five minutes and we'll be  
18 done.

19 THE COURT: Why don't we finish and  
20 take our break then.

21 Q. (BY MR. KANTRA) Okay. I want to focus  
22 you on this particular document here. And if you  
23 look at the bottom of that, do you see that label  
24 as marked from 1996?

25 A. Yes.

1 Q. And is that one of the labels that you  
2 reviewed in this particular case?

3 A. Yeah.

4 MR. KANTRA: Okay. Mike, if you  
5 could turn to internal page 16.

6 Q. (BY MR. KANTRA) And this section in  
7 particular about weight gain there.

8 Dr. Inzucchi, did you review that  
9 information in the weight-gain section?

10 A. Yes, I did.

11 Q. Okay. And did that information in the  
12 weight-gain section place physicians on notice of  
13 a risk of diabetes with Zyprexa?

14 MR. SUGGS: Objection; calls for  
15 conjecture; speculation.

16 A. Well, it certainly put --

17 THE COURT: I'll overrule the  
18 objection.

19 A. It certainly made clinicians who were  
20 prescribing this drug aware about the weight  
21 gain. It was pretty explicit. It mentioned what  
22 was seen in the clinical trials down to the  
23 amount of weight, the percentage of patients who  
24 gained weight, even the percentage of patients  
25 who gained a significant amount of weight. Seven

1 percent of your body weight is just, for some  
2 reason, considered a significant amount of  
3 weight. So it gave those percentages. So,  
4 clearly, individuals who read the label and  
5 prescribing this medication would have been  
6 informed about what was a well-known side effect  
7 of not only this drug but a lot of drugs of this  
8 class which is, unfortunately, weight gain.

9 Q. (BY MR. KANTRA) And my specific  
10 question to you is: Does that information on  
11 weight gain in the labeling provide sufficient  
12 notice to physicians of the risk of diabetes?

13 A. Well, we know about the connection  
14 between weight gain and diabetes. I mean,  
15 anybody who has been to medical school knows  
16 that. So, yeah, that seems logical.

17 Q. And was there any other information in  
18 the package labeling relating to diabetes that  
19 would have put physicians on notice of that risk  
20 as well?

21 A. Well, I believe lower down in the label  
22 under -- in the same section there was mention of  
23 reports of diabetes occurring in the clinical  
24 trials.

25 Q. Doctor, I want to ask you: Are you

1 familiar with the 2003 label for Zyprexa? Did  
 2 you review that as well?  
 3 A. Yes, I did.  
 4 Q. Okay. And, in particular, did you  
 5 review the warning in that label?  
 6 A. Yes.  
 7 Q. Okay. And did that warning regarding  
 8 diabetes include any conclusions regarding  
 9 causation?  
 10 A. No. My recollection of that label  
 11 mentioned that this is a high-risk group of  
 12 patients, patients with severe mental illness,  
 13 and that monitoring of their blood glucose would  
 14 be warranted because of that increased risk  
 15 across the treatments, the strategies for those  
 16 patients.  
 17 Q. And did that label rank the atypical  
 18 antipsychotics with respect to the risk of  
 19 diabetes?  
 20 A. I don't believe so.  
 21 Q. And did the labeling for Zyprexa  
 22 adequately reflect the risk of diabetes as the  
 23 data evolved over time from your perspective?  
 24 A. Yes.  
 25 Q. Let me ask you to summarize just so that

1 we have clear for the jury what your overall  
 2 opinion in this case is with respect to whether  
 3 or not Zyprexa causes type 2 diabetes.  
 4 A. That there is no evidence from the  
 5 studies that I've looked at, looking at all the  
 6 studies put together, that Zyprexa causes  
 7 diabetes.  
 8 Q. And why do you believe that to be the  
 9 case?  
 10 A. The evidence is not there. I mean, if  
 11 you believe that connection, you've got to have  
 12 the evidence, and the best we have is modest  
 13 changes in blood glucose. There is the weight  
 14 gain, without question. But the diagnosis of  
 15 diabetes, there's a bar that you have to reach to  
 16 be diagnosed as diabetic, and there's no evidence  
 17 from either the clinical trials -- certainly the  
 18 mechanistic studies don't inform us as to how  
 19 this drug could even cause diabetes, and the  
 20 observational data are all over the map, so I  
 21 just don't see it.  
 22 MR. KANTRA: Thank you, sir. Thank  
 23 you, Judge.  
 24 THE COURT: Ladies and gentlemen of  
 25 the jury, we're going to take our first morning

1 break. We'll take about a 15-minute break at  
 2 this time.  
 3 We'll be in recess.  
 4 THE CLERK: Please rise.  
 5 (Jury out.)  
 6 (Break.)  
 7 THE COURT: Back on record, please.  
 8 THE CLERK: On record.  
 9 THE COURT: We're outside the  
 10 presence of the jury. I've reviewed Lilly's  
 11 deposition counterdesignations for trial and  
 12 objections to the few additional portions of the  
 13 Breier deposition contained at Pages 450 and  
 14 451 -- of the deposition of Alan Breier. I'll  
 15 overrule the objections to the deposition  
 16 designations of the State. And as to the  
 17 additional counterdesignations, I find that those  
 18 do not need to be included in the State's  
 19 presentation for completeness. They may be  
 20 included as part of cross-examination or in  
 21 Lilly's case in chief, if Lilly wishes to include  
 22 them.  
 23 We'll be off record.  
 24 THE CLERK: Off record.  
 25 (Break.)

1 THE COURT: We're back on the  
 2 record. Parties are present, the jury is all  
 3 present. Mr. Suggs.  
 4 MR. SUGGS: Thank you, Your Honor.  
 5 CROSS-EXAMINATION  
 6 Q. (BY MR. SUGGS) Dr. Inzucchi, you do not  
 7 prescribe Zyprexa, correct?  
 8 A. That's correct.  
 9 Q. You would agree that those doctors who  
 10 do prescribe the drug need to weigh both the  
 11 risks and the benefits, correct?  
 12 A. Absolutely.  
 13 Q. Now, you talked about weight gain being  
 14 in the adverse reactions section, at least in the  
 15 labeling up until 2007, correct?  
 16 A. Yes.  
 17 Q. Are you aware that it's in --  
 18 MR. KANTRA: Objection, Your Honor.  
 19 I don't believe he testified to that.  
 20 Q. (BY MR. SUGGS) You talked about  
 21 labeling in 1996 and 2000 and 2003, correct?  
 22 A. Yes.  
 23 Q. Okay. Are you aware that weight gain is  
 24 now in the warning section in the 2007 label?  
 25 A. Yes, I am.

1 Q. Okay. Now, the adverse reactions  
2 section talked about long-term weight gain being  
3 5.4 kilograms; is that correct?

4 A. Say that once more, please.

5 Q. Sure. The adverse reactions section in  
6 the label for '96, 2000, 2003 said that long-term  
7 weight gain was 5.4 kilograms, correct?

8 A. I don't recall the specific number, but  
9 I'd be happy to look at it.

10 Q. The jury has seen this before. This is  
11 a blow-up of the adverse reactions section and  
12 you see that -- oh, here we go. Weight gain,  
13 average weight gain during long-term therapy was  
14 5.4 kilograms?

15 A. Yes.

16 Q. Okay. Now, 5.4 kilograms works out to  
17 about 11.8 pounds or something; is that right?

18 A. Yes, about that.

19 Q. And were you aware that Lilly's clinical  
20 trials showed that average weight gain in  
21 patients who use Zyprexa for a year was 24  
22 pounds?

23 A. We'd have to look at the specific trial.  
24 There are many different trials that have been  
25 done and --

1 Q. Well, my question, sir: Were you  
2 informed of that? We've had testimony from  
3 Dr. Beasley and others that the average weight  
4 gain in one year was 24 pounds. Were you aware  
5 of that? Yes or no?

6 A. Again, I'd have to look at the study  
7 result. I don't recall that number specifically.

8 Q. Okay. So, no, you do not recall that?

9 A. I don't recall that number specifically.

10 Q. Okay. You'd agree with me, wouldn't  
11 you, sir, that a doctor who's considering using  
12 Zyprexa in his patient ought to be aware that the  
13 average weight gain over one year was 24 pounds,  
14 wouldn't you, sir?

15 A. All the side effects of any drug would  
16 need to be looked at, weighing the risks and the  
17 benefits, of course.

18 Q. You agree a doctor should have that  
19 information, correct?

20 A. A doctor would have to have all the  
21 information available to make a prescribing  
22 decision, of course.

23 Q. Is that a yes?

24 A. A doctor would have to have the risks  
25 and the benefits of a specific drug.

1 Q. Would you agree, sir, yes or no, that a  
2 doctor should be aware that an average weight  
3 gain of a patient on Zyprexa for a year was 24  
4 pounds?

5 A. I would need to understand the context  
6 of that, because there are individual clinical  
7 trials that may give a certain amount of adverse  
8 effects, certain amount of weight gain. You need  
9 to look at the totality of the experience of a  
10 specific drug before it makes it into the label  
11 and before it's digested by the prescribing  
12 physician.

13 Q. You can't answer my question yes or no?

14 A. Again, I'm not sure exactly what you're  
15 after. The --

16 Q. My question, very simple question:  
17 Should a doctor, before he prescribes Zyprexa to  
18 his patients, be made aware that the average  
19 weight gain on the drug for those patients who  
20 use it for a year is 24 pounds?

21 A. If that was a single clinical trial, not  
22 necessarily. What the prescribing physician  
23 needs to know is what is the overall weight gain  
24 in the variety of patients that are prescribed  
25 this drug. Again, I would need to know the total

1 experience of using Zyprexa and the weight  
2 gain that would be --

3 Q. Sir, do you have any basis to dispute  
4 that the average weight gain is 24 pounds in one  
5 year on the drug?

6 A. I have no reason to dispute it, no.

7 Q. Okay. And if a person were to gain 24  
8 pounds in one year, which is caused by -- you do  
9 believe that Zyprexa causes weight gain, correct?

10 A. Yes.

11 Q. Okay. And if a patient did gain 24  
12 pounds in one year that was caused by Zyprexa,  
13 how much would that increase in weight over one  
14 year increase that person's risk of getting  
15 diabetes?

16 A. That's impossible to say. It depends on  
17 what the baseline weight is and other risk  
18 factors.

19 Q. What's the range?

20 A. I couldn't give you that number now.  
21 It --

22 Q. Doesn't the American Diabetes  
23 Association say that for every pound of weight  
24 gain, there's an increase of 4 percent in the  
25 risk of diabetes?

1 A. Those statistics come from general  
2 population surveys and to know what the risk is  
3 in an individual patient, you need to know a  
4 little bit more, such as, what is the baseline  
5 weight of that person. That person at a normal  
6 body weight, an increase in weight may not  
7 necessarily increase a risk of diabetes.

8 Q. Well, as you testified before, the  
9 schizophrenic population tends to be overweight  
10 anyway, correct?

11 A. Tends to.

12 Q. So the schizophrenic population  
13 generally would be on the high end of weight to  
14 start with even before they started on Zyprexa,  
15 right?

16 A. As a general population, correct.

17 Q. Okay. So, let's consider that  
18 population, the population of schizophrenics who  
19 typically tend to be overweight. And if that  
20 population were to gain 24 pounds of weight  
21 caused by Zyprexa over one year, wouldn't that  
22 increase their risk of diabetes?

23 A. As an overall population, weight gain  
24 increases the risk of diabetes.

25 Q. Okay. And how much would the 24 pounds

1 of weight gain increase the risk of population --  
2 pardon me -- increase the risk of diabetes in  
3 that population? We've heard estimates from Dr.  
4 Brancati that it would be four to five times.  
5 Dr. Wirshing has testified to a similar number.

6 Do you disagree with that, sir?

7 A. I don't disagree with those statements,  
8 but my concern is that the risk of diabetes  
9 should be apparent, then, in the clinical trials  
10 that have been -- that we discussed this morning,  
11 and that's not what we see.

12 Q. I want to make sure I understand your  
13 answer. Your answer was -- you said that you did  
14 not disagree with those figures provided by Dr.  
15 Brancati and Dr. Wirshing; is that correct?

16 A. I don't disagree with those figures  
17 across the general populations, yes.

18 Q. Okay. So you would agree that a gain of  
19 24 pounds would increase the risk across the  
20 population four to five times, correct?

21 A. I don't have any reason to  
22 dispute those, but I don't know them  
23 specifically.

24 Q. If, in fact, the risk of diabetes is  
25 increased by a factor of four to five times by a

1 weigh gain of 24 pounds, don't you think doctors  
2 should have been told that the weight gain with  
3 Zyprexa was 24 pounds in one year?

4 A. The issue was diabetes risk, and the  
5 studies that had been conducted showed no  
6 increase in the risk of diabetes. That's what  
7 the real question is.

8 Q. Well, I'm asking the question. Don't  
9 you think doctors should have been told about  
10 that?

11 A. I really would like to know what the  
12 totality of that evidence was. If it's from one  
13 specific clinical trial, that may or may not be  
14 something that would have made it to the label.  
15 It really depends on the overall experience with  
16 that drug, not an individual data point from an  
17 individual trial.

18 Q. Now, earlier in your testimony you said  
19 that there was no evidence of causation; there's  
20 no evidence that Zyprexa causes diabetes.

21 Did you really mean to say that  
22 there was absolutely no evidence?

23 A. There is evidence of association, but  
24 there's no evidence of causation.

25 Q. Okay. You also talked about the three

1 studies that you -- the three prospective studies  
2 that you were talking about, that you were  
3 relying on most heavily were the Allison study,  
4 the Cavazzoni study and the CATIE study, correct?

5 A. Correct.

6 Q. Okay. Now, the Allison and the  
7 Cavazzoni study were both done at a time when  
8 they would have been considered by the consensus  
9 statement, correct?

10 A. Well, Cavazzoni was published at around  
11 the time of the consensus statement, so I'm not  
12 exactly sure. I think it overlapped right around  
13 that 2003 period.

14 Q. Well, Dr. Cavazzoni was a presenter at  
15 the consensus statement, was she not?

16 A. I'm sure he presented his data, yes.

17 Q. Actually, it's a she.

18 A. She.

19 Q. It's Dr. Patrizia Cavazzoni. And you're  
20 sure she presented that at the consensus  
21 conference?

22 A. I believe I saw her name as one of the  
23 presenters.

24 Q. Okay. Now, the CATIE study was not  
25 conducted prior to the consensus statement,

1 correct? It was published in 2004?  
 2 A. It was being conducted during the  
 3 consensus, but it was published in 2005, I  
 4 believe.  
 5 Q. Correct. Okay. So the consensus panel  
 6 would not have been able to consider the CATIE  
 7 study, correct?  
 8 A. Correct.  
 9 Q. Okay. What is the metabolic syndrome,  
 10 sir?  
 11 A. Well, it's a controversial topic. It's  
 12 a term that's used by some to describe a  
 13 constellation of clinical features in patients  
 14 that seem to go together. So, very often we have  
 15 patients who have hypertension who also tend to  
 16 have slightly high blood glucose levels, also  
 17 tend to be a little overweight, and there are  
 18 four or five of these features that have been  
 19 lumped together by some as the metabolic  
 20 syndrome.  
 21 Q. And don't they also tend to have  
 22 elevated cholesterol and triglycerides?  
 23 A. Yes. Actually, low HDL cholesterol and  
 24 high triglycerides, but not total cholesterol.  
 25 Q. Okay. Low HDL cholesterol is the good

1 stuff, right, the good cholesterol?  
 2 A. Correct.  
 3 Q. So they have a lower level of the good  
 4 cholesterol and they've got a high level of  
 5 triglycerides, correct?  
 6 A. Yes.  
 7 Q. Okay. And, sir, the CATIE study  
 8 concluded that olanzapine had effects consistent  
 9 with potential development of the metabolic  
 10 syndrome and was associated with greater  
 11 increases in glycosylated hemoglobin, total  
 12 cholesterol and triglycerides after randomization  
 13 than the other study drugs even after adjustment  
 14 for the duration of treatment; isn't that  
 15 correct, sir?  
 16 A. That's correct.  
 17 Q. And they also concluded, the CATIE study  
 18 did, that more patients discontinued olanzapine  
 19 owing to weight gain or metabolic effects,  
 20 correct?  
 21 A. Correct.  
 22 Q. In fact, you had 9 percent of the  
 23 olanzapine patients dropping out because of  
 24 weight gain and metabolic effects compared to  
 25 only 1 percent to 4 percent with the other four

1 drugs, correct?  
 2 A. Correct.  
 3 Q. Okay. And the study also concluded that  
 4 olanzapine was associated with greater weight  
 5 gain and increases in measures of glucose and  
 6 lipid metabolism, correct?  
 7 A. Correct.  
 8 Q. And you know Dr. Fred Brancati, the  
 9 diabetes epidemiologist from Johns Hopkins who  
 10 testified for the State, do you not?  
 11 A. Not personally, no.  
 12 Q. Do you know him by reputation?  
 13 A. I've seen his name on papers, yes.  
 14 Q. You're not an epidemiologist, correct?  
 15 A. No.  
 16 Q. Are you aware that he's testified to  
 17 this jury that Zyprexa does cause diabetes and  
 18 causes it at a greater rate than other  
 19 antipsychotic drugs?  
 20 A. I'm aware of that, yes.  
 21 Q. Okay. And I think you also testified  
 22 that you do treat some patients who are on -- who  
 23 had been on Zyprexa, correct?  
 24 A. Correct.  
 25 Q. And some of those would have been

1 patients who had diabetes, correct?  
 2 A. Yes.  
 3 Q. And you never took them off Zyprexa or  
 4 asked them to consider going to another drug,  
 5 correct?  
 6 A. Correct. They're often -- by the time  
 7 they get to the Diabetes Center, they're often  
 8 stabilized on their Zyprexa therapy or other  
 9 drugs, and the last thing we want to do is to  
 10 upset that cart that might allow them to  
 11 deteriorate in terms of their psychiatric status.  
 12 Q. Were you ever informed by Lilly or  
 13 anyone else that Zyprexa had been contraindicated  
 14 for use by diabetics in Japan since 2002?  
 15 A. Diabetes is really different in Japan,  
 16 so I don't think you can compare the diabetes in  
 17 Japan then --  
 18 Q. Sir, my question was what Lilly told  
 19 you. Did Lilly ever tell you that use of Zyprexa  
 20 in diabetics was contraindicated in Japan and has  
 21 been since 2002?  
 22 A. I'm aware of more stringent labeling for  
 23 Zyprexa in Japan. The specific details, I'm not  
 24 familiar with.  
 25 Q. So is it fair to say you were not aware

1 that Zyprexa was contraindicated for diabetics in  
2 Japan?

3 A. That specific aspect to the label I may  
4 not have been aware of, but I know that the label  
5 is more stringent in Japan for this specific  
6 drug.

7 Q. So your answer is, no, you were not  
8 aware of that, correct?

9 A. Correct.

10 Q. Okay. Now, you were showing the jury  
11 earlier a PowerPoint of how diabetes develops and  
12 you had the curving lines over time and so forth.

13 Do you remember that?

14 A. Yes.

15 Q. Okay. Am I correct that that was based  
16 on data from patients whose diabetes developed  
17 naturally?

18 A. Yes. It's based on long-term studies in  
19 various groups of patients.

20 Q. It was not based on patients whose  
21 diabetes was drug-induced, correct?

22 A. Correct. Based on long-term studies of  
23 type 2 diabetes.

24 Q. Okay. And, in fact, you would  
25 conceive -- leave Zyprexa aside or any -- leave

1 all the antipsychotics aside for a second. You  
2 would agree that there are some drugs that can,  
3 indeed, cause diabetes, correct?

4 A. There are many drugs that are listed as  
5 causes of diabetes, but when you actually look at  
6 the data that supports that association,  
7 sometimes it's relatively weak.

8 Q. Well, the strength of the evidence  
9 wasn't my question. There are, indeed, drugs  
10 that you would acknowledge have been described as  
11 causing diabetes, correct?

12 A. Yes.

13 Q. And the course of development of the  
14 diabetes, the length of time that it takes for  
15 the patient to develop diabetes when it's  
16 drug-induced is different from and shorter than  
17 how it develops over time naturally, correct?

18 A. As a general rule.

19 Q. It can occur in weeks, correct?

20 A. With some drugs it can occur quickly,  
21 yes.

22 Q. Okay. We've talked a little bit about  
23 the fact that you've been consulting for Lilly  
24 previously. You gave a deposition in this case  
25 and you prepared a report for this case, correct?

1 A. I don't consult for Lilly, no.

2 Q. You're consulting for them now, are you  
3 not?

4 A. I'm providing expert testimony for the  
5 legal firm, yes, but I don't --

6 Q. Okay. Maybe I was unclear. In the  
7 course of doing that, you've given a deposition  
8 before that was taken by Mr. Fibich and other  
9 lawyers, correct?

10 A. Yes.

11 Q. And you prepared a report, correct?

12 A. Yes, yes.

13 Q. And about how many hours did you put  
14 into all that process?

15 A. I don't have that number. Several --  
16 several dozen hours.

17 Q. And how much were you paid for that by  
18 Lilly?

19 A. Overall, I can only tell you the hourly  
20 amount, \$450 per hour.

21 Q. Okay. And if we do the math -- well,  
22 can you give me just a ballpark estimate of how  
23 many thousands of dollars you've been paid?

24 A. I can't give you that estimate right  
25 now. I can calculate it for you at a point -- I

1 don't have that -- I tend to be about six months  
2 behind in my invoices, so I don't have those.

3 Q. Okay.

4 MR. SUGGS: Can we turn on the Elmo  
5 here?

6 Q. (BY MR. SUGGS) This was the PowerPoint  
7 you showed of the American Diabetes Association  
8 Risk Factors; is that correct?

9 A. Yes.

10 Q. Bipolar disorder is not on there, is it?

11 A. No. I don't believe any psychiatric  
12 condition is on that list.

13 Q. And schizophrenia is not on there  
14 either, correct?

15 A. No.

16 Q. Mr. Kantra made a representation as to  
17 what Dr. Wirshing testified to last week, and the  
18 record and the jurors' recollection of what  
19 Dr. Wirshing testified to will govern what was,  
20 in fact, said.

21 But I'll represent to you that  
22 Dr. Wirshing testified last week that there is no  
23 evidence showing that the disease of  
24 schizophrenia without weight gain is a risk  
25 factor for diabetes.



1 Now, would you agree with that  
2 statement?  
3 A. That's actually a very complex  
4 statement. I would imagine you would have to  
5 have lean individuals with schizophrenia to know  
6 what their risk of diabetes was, and I don't  
7 think a study has been done looking at that  
8 specific group.  
9 Q. Well, in fact, there are many women  
10 schizophrenics who are lean, correct?  
11 A. Correct, and probably many men that are  
12 lean. But the question is whether that group has  
13 been studied long enough to know whether  
14 schizophrenia by itself predisposes them to risk  
15 of diabetes.  
16 Q. In fact, there is no study that you're  
17 aware of showing that schizophrenia by itself  
18 without weight gain is a risk factor for  
19 diabetes, correct?  
20 A. You can't dissect those two, no, you're  
21 incorrect.  
22 Q. There has been no study -- well, let me  
23 just ask this question: Can you point to any  
24 study demonstrating that even if you control for  
25 weight, schizophrenia is an independent risk

1 factor for diabetes?  
2 A. I don't know if the studies that have  
3 been published actually controlled for weight  
4 adequately to make that determination, so I would  
5 say no.  
6 Q. Okay. You would agree, sir, wouldn't  
7 you, that schizophrenics need to be closely  
8 monitored for diabetes?  
9 A. All -- schizophrenics with diabetes?  
10 I'm sorry?  
11 Q. Well, would you agree, sir, that  
12 schizophrenics who are being treated with  
13 antipsychotic drugs should be closely monitored  
14 for blood glucose?  
15 A. All patients that would have risk  
16 factors for diabetes should have periodic  
17 assessment of whether they develop diabetes.  
18 Q. And that would include blood monitoring?  
19 A. Based on the ADA recommendations, yes.  
20 Q. Okay. And for how long -- going how far  
21 back do you think that patients should have been  
22 monitored for blood glucose -- schizophrenic  
23 patients taking Zyprexa? Is that just a recent  
24 thing, or should that have been done years ago?  
25 A. Well, the -- the package insert, I think

1 we go back to 1996, mentioned the development of  
2 weight gain in the clinical trials.  
3 Q. Sir, there was no warning of the need  
4 for blood monitoring in the warning section of  
5 the labeling in 1996, was there, sir?  
6 A. That's not what I said. I said that  
7 the --  
8 Q. I know. This is my question, now, sir.  
9 My question is: The 1996 labeling did not warn  
10 about the need for blood monitoring in the  
11 warning section, did it, sir?  
12 A. I don't believe that there was any  
13 mention of monitoring blood glucose in those  
14 patients, no.  
15 Q. It does now, doesn't it, sir?  
16 A. I believe, and we can look at the labels  
17 side by side, but I believe that the label -- the  
18 current label recommends -- does not recommend a  
19 specific monitoring program, but recommends that  
20 blood glucose, because of the risks being higher  
21 in that population, that should be considered as  
22 part of the routine clinical care of those  
23 patients.  
24 Q. Sir, the 2007 labeling for Zyprexa  
25 recommends that every patient starting on Zyprexa

1 be monitored for blood glucose, correct?  
2 A. I believe so, yes.  
3 Q. And no other antipsychotic requires or  
4 recommends that all patients be monitored,  
5 correct?  
6 A. I'm not aware of all those other labels.  
7 The risk of diabetes is mentioned in all the  
8 labels.  
9 Q. Sir, my question has to do with blood  
10 monitoring. The labeling for no other  
11 antipsychotic recommends blood monitoring for  
12 every patient starting on the drug. That's a  
13 fact, isn't it, sir?  
14 A. Of the Zyprexa label?  
15 Q. Yes.  
16 A. Yes.  
17 Q. And it's a fact that no other  
18 antipsychotic drug makes that recommendation in  
19 their label, correct?  
20 A. I don't know that specifically.  
21 Q. You just don't know one way or the  
22 other?  
23 A. I don't know that specific point.  
24 Q. Okay. Sir, it's a fact that weight gain  
25 came in the warning in the Zyprexa label in 2007,

1 correct?

2 A. No. Weight gain has been in the package  
3 label, I believe, since 1996.

4 THE COURT: His question was in the  
5 warning section.

6 Q. (BY MR. SUGGS) In the warning section.

7 A. The warning -- the warning section was  
8 updated, I believe, earlier than 2007. I believe  
9 in 2003.

10 Q. Sir, the warning section in 2003 did not  
11 mention weight gain in the warning section,  
12 correct?

13 A. I'm sorry. The weight gain in 2003  
14 discussed glucose issues. The weight gain -- the  
15 movement of weight gain into the precautions or  
16 warning section occurred in 2007, you're right.

17 Q. Okay. I'll tell you what, we'll come  
18 back to the warnings at the end of the  
19 examination.

20 I'd like to talk about your view of  
21 causation. You take the position that in order  
22 to be able to prove that Zyprexa causes diabetes,  
23 someone would have to show that the drug led to  
24 deterioration of beta cell function, correct?

25 A. That's part of it, but not -- I don't

1 dysfunction. This is one of the -- I still think  
2 one of the great mysteries of diabetes is what  
3 causes the beta cell to fail.

4 Do you recall testifying to that?

5 A. Yes.

6 Q. Okay. And you also testified that,  
7 quote, this is a real black box in our field, is  
8 what causes the beta cell to fail.

9 Do you remember giving that  
10 testimony?

11 A. That's correct, yes.

12 Q. Okay. It kind of sounds like a catch-22  
13 to me, Doctor. According to you, the State of  
14 Alaska can only prove that Zyprexa causes  
15 diabetes if we can prove that it has a  
16 deleterious effect on beta-cell function, and  
17 then you testified that -- you also say that no  
18 one knows what causes the beta cell to fail.

19 Isn't that a catch-22, Doctor?

20 A. I don't agree with that.

21 Q. Okay. In fact, in your deposition on  
22 behalf of Lilly that Mr. Fibich took, you  
23 testified that you can't even say that weight  
24 gain has been shown to cause a deleterious effect  
25 on beta-cell function, correct?

1 think I said that.

2 Q. Well --

3 MR. SUGGS: Can you pull up Exhibit  
4 EL 2005, Chris, and could you go to page 3 of his  
5 report?

6 And could you blow up the third  
7 bullet point that starts off "in order"?

8 Q. (BY MR. SUGGS) Sir, this is an excerpt  
9 from your report that you filed in this case,  
10 correct?

11 A. Yes.

12 Q. And you stated in your report that in  
13 order for olanzapine to be causally related to  
14 treatment-emergent diabetes, a deleterious effect  
15 on beta cell function should be demonstrated. To  
16 my knowledge, this has not been demonstrated in  
17 humans, correct?

18 A. That's correct.

19 Q. And the beta-cell function that you're  
20 talking about are the beta cells in the pancreas,  
21 correct?

22 A. That's correct, yeah.

23 Q. Okay. But you also testified in your  
24 deposition that I don't think -- quote, I don't  
25 think we know precisely what leads to beta-cell

1 A. It has not been conclusively shown.

2 Q. Okay. And if that's the case, then  
3 mentioning weight gain only in the adverse  
4 reactions section of the labeling from 1996 to  
5 2006 wouldn't have given doctors any warning that  
6 Zyprexa-induced weight gain leads to diabetes;  
7 isn't that right, sir?

8 A. There's plenty of warning in the adverse  
9 events section -- adverse effects section, yes.

10 Q. Sir, do you know what the CFR, the  
11 regulations -- the FDA regulations call for in  
12 terms of where warnings shall be?

13 A. No.

14 Q. Let me show it to you. The jury has  
15 seen this quite a few times. It says: Warnings,  
16 referring to the warnings section of the label.  
17 Under this section heading, the labeling shall  
18 describe serious adverse reactions and potential  
19 safety hazards, limitations in use imposed by  
20 them and steps that should be taken if they  
21 occur. The labeling shall be revised to include  
22 a warning as soon as there's reasonable evidence  
23 of an association of a serious hazard with a drug  
24 and causal relationship need not have been  
25 proved.

1 Do you see that language, sir?  
 2 A. Yes.  
 3 Q. Were you aware of that requirement  
 4 before I showed it to you just now?  
 5 A. I'm not a regulatory expert, no.  
 6 Q. But you were not aware of that  
 7 requirement, correct?  
 8 A. I'm not a regulatory expert, no.  
 9 Q. Doctor, your test for causation, that  
 10 the State must prove that Zyprexa has a direct  
 11 deleterious effect on beta cells in the pancreas  
 12 is not what the law requires, is it, Doctor?  
 13 A. I believe I said it should be  
 14 demonstrated.  
 15 Q. Do you know what the local test is in  
 16 this case as to whether or not Zyprexa can cause  
 17 diabetes?  
 18 MR. KANTRA: Objection, Your Honor.  
 19 We're not offering him as a legal expert.  
 20 THE COURT: He's testified already  
 21 that he's not a regulatory expert and doesn't  
 22 know that, so --  
 23 MR. SUGGS: He's using the term --  
 24 Q. (BY MR. SUGGS) Doctor, you've used the  
 25 word cause in your testimony any number of times,

1 correct?  
 2 A. Yes.  
 3 Q. Okay. But you don't know what the law  
 4 requires in terms of proving causation, correct?  
 5 A. Again, I can tell you what it means to a  
 6 physician, to a scientist, what causality means,  
 7 but I can't opine on legalistic terminology of  
 8 what cause is.  
 9 Q. And that's exactly what I wanted to  
 10 bring out. You're talking about your individual  
 11 perception of what cause is from your  
 12 perspective, but you're not here to tell the jury  
 13 what legal cause is, correct?  
 14 A. I'm talking about the scientific  
 15 perspective, not just my own.  
 16 Q. Okay. By the way, I think you said that  
 17 there was an association between Zyprexa and  
 18 diabetes; is that correct?  
 19 A. Some studies have shown an association.  
 20 Q. Okay. When did those studies first show  
 21 an association?  
 22 A. I believe in the late 1990s and early  
 23 2000s.  
 24 Q. Okay. So in the late 1990s and early  
 25 2000s, there would have been evidence of an

1 association of Zyprexa with diabetes, correct?  
 2 Isn't that what you just testified  
 3 to, sir?  
 4 MR. KANTRA: Objection; Your Honor.  
 5 Q. (BY MR. SUGGS) Well, let me ask you --  
 6 MR. KANTRA: He's asking him to  
 7 interpret --  
 8 THE COURT: Let him make his  
 9 objection.  
 10 MR. KANTRA: He's asking him again  
 11 to interpret this regulation. He's not being  
 12 offered as a regulatory expert.  
 13 THE COURT: That was not the  
 14 question. I'll overrule that objection.  
 15 Q. (BY MR. SUGGS) Sir, diabetes is a  
 16 serious hazard, is it not?  
 17 A. Diabetes is a serious disease, yes.  
 18 Q. Okay. And you've just testified that  
 19 there was scientific evidence of an association  
 20 with Zyprexa and diabetes as early as the late  
 21 1990s, correct?  
 22 A. The studies I'm referring to are  
 23 isolated studies. I would assume -- though,  
 24 again, I'm not a regulatory expert, I would  
 25 assume that the purpose of that description is to

1 look at the totality of the evidence. So, is the  
 2 evidence of an association reasonable and do we  
 3 look at the entire clinical picture of what is  
 4 available from the drug company, what's available  
 5 in independent studies. So, I wouldn't say that  
 6 the association found in a study or a series of  
 7 studies would necessarily meet that bar, but,  
 8 again, I'm not a regulatory expert.  
 9 Q. Are you backing away from your testimony  
 10 that there was evidence of an association of  
 11 Zyprexa with diabetes as early as the late 1990s?  
 12 A. Again, I'm here to interpret the  
 13 scientific literature, and in the scientific  
 14 literature there have been studies demonstrating  
 15 this association as well as scientific studies  
 16 demonstrating no association.  
 17 Q. Okay. Let's talk about some of those  
 18 other studies that you talk about.  
 19 You talk about clamp studies,  
 20 correct?  
 21 A. Well, these are the mechanistic studies.  
 22 Q. Yeah, mechanistic studies. Those were  
 23 studies that were done by Lilly, were they not?  
 24 A. I believe they were funded by Lilly,  
 25 yeah.

1 Q. Well, in fact, the lead author was  
 2 Margaret Sowell, is she not?  
 3 A. Yes.  
 4 Q. And Margaret Sowell was an employee of  
 5 Lilly, was she not?  
 6 A. Yes.  
 7 Q. In fact, weren't all of the other  
 8 authors on those studies, weren't they also all  
 9 Lilly employees?  
 10 A. No, that's incorrect.  
 11 Q. Okay. Which ones weren't?  
 12 A. I remember that there are at least one  
 13 or two that were members of the University of  
 14 Indiana.  
 15 MR. SUGGS: Can you pull up the  
 16 first Sowell study?  
 17 I've had marked for identification,  
 18 Your Honor, AK10171, which is a copy of an  
 19 article entitled Hyperglycemic Clamp Assessment  
 20 of Insulin Secretory Responses in Normal Subjects  
 21 Treated with Olanzapine, Risperidone or Placebo,  
 22 the authors being Margaret Sowell -- I'm not even  
 23 going to try on the second one there -- well, I  
 24 guess I should -- Nitai Mukhopadhyay, Patrizia  
 25 Cavazzoni, Sudha Shankar, Helmut Steinberg, Alan

1 Breier, Charles Beasley, Jr., Jamie Dananberg.  
 2 Q. (BY MR. SUGGS) And most of those folks  
 3 are employees of Eli Lilly, are they not, sir?  
 4 A. Most, but not all.  
 5 Q. Which ones aren't employees of Lilly?  
 6 A. It would appear that Dr. Shankar and  
 7 Dr. Steinberg are on the faculty -- Indiana  
 8 University School of Medicine.  
 9 Q. Okay. And were you also relying on  
 10 another study by Sowell and others, which I've  
 11 marked here as -- for identification as  
 12 Plaintiff's Exhibit AK10172 -- that was published  
 13 in -- I guess about a year or so later?  
 14 A. Yes.  
 15 MR. SUGGS: I've had this marked,  
 16 Your Honor, for identification as AK10172.  
 17 Q. (BY MR. SUGGS) And are these two  
 18 studies that I've handed you, are they the clamp  
 19 studies that you're relying on, sir?  
 20 A. Correct.  
 21 Q. Okay. No other clamp studies you're  
 22 relying on?  
 23 A. There was a follow-up study that was a  
 24 reanalysis of one of the clamp studies, and the  
 25 first author, I believe, was Hardy.

1 Q. And that was a post-hoc analysis, was it  
 2 not?  
 3 A. That's correct, yes.  
 4 Q. A post-hoc analysis -- let's just tell  
 5 the jury what a post-hoc analysis is.  
 6 Usually what you try to do with an  
 7 experiment is you specify the analyses that  
 8 you're going to do ahead of time, correct?  
 9 A. Correct.  
 10 Q. Okay. Sometimes people take data that's  
 11 already been generated and they go back and they  
 12 reanalyze the data, correct?  
 13 A. That's correct, yes.  
 14 Q. And that's called a post-hoc analysis?  
 15 A. Yep.  
 16 Q. Scientists don't like those as much, do  
 17 they?  
 18 A. Not necessarily. It depends on what  
 19 you're looking at.  
 20 Q. Well, scientists tend to be more  
 21 skeptical of post-hoc analyses that were not  
 22 specified in advance, correct?  
 23 A. Not necessarily, no.  
 24 Q. Okay. Are they ever?  
 25 A. They can be; they can't be. I mean, it

1 depends on the study that you're referring to.  
 2 Q. And this Hardy that you're talking  
 3 about, study, was really an -- or a reanalysis of  
 4 the Sowell data, correct?  
 5 A. That's correct.  
 6 Q. Okay. I have this marked as AK10174.  
 7 Is that the Hardy study you're  
 8 referring to?  
 9 A. Yes.  
 10 Q. Okay. And I want to make sure we  
 11 understand this. Those three studies are the  
 12 clamp studies that you're relying on, correct?  
 13 A. That's correct.  
 14 Q. Okay. You haven't relied on any other  
 15 studies?  
 16 A. I've relied on over 100 studies.  
 17 Q. You haven't relied on any other clamp  
 18 studies?  
 19 A. As far as I know, no other clamp studies  
 20 have been performed, at least when I conducted my  
 21 expert report.  
 22 Q. Well, Doctor, in fact, there have been  
 23 some other clamp studies performed since then,  
 24 and you're not aware of that?  
 25 A. I am since then, yes, but at the time of

1 my report, these were the cardinal studies that I  
 2 relied on.  
 3 Q. Those other clamp studies contradict  
 4 those studies, don't they, sir?  
 5 A. Which clamp studies are you referring  
 6 to?  
 7 Q. Well, Let's talk about them.  
 8 Which ones are you aware of, sir?  
 9 A. Well, there was one recently published  
 10 by Sacher, I believe, is the first author.  
 11 Q. Well, let's take these in order.  
 12 MR. SUGGS: Can you pull up the  
 13 Ader study?  
 14 I stuck the sticker on the wrong  
 15 exhibit.  
 16 Q. (BY MR. SUGGS) I'm handing you what  
 17 I've had marked as AK10173. It's an article  
 18 entitled Metabolic Dysregulation with Atypical  
 19 Antipsychotics Occurs in the Absence of  
 20 Underlying Disease, a Placebo-Controlled Study of  
 21 Olanzapine and Risperidone in Dogs.  
 22 Have you reviewed this article  
 23 before, sir?  
 24 A. Yes, I have.  
 25 Q. Okay. But you didn't tell the jury

1 about it, did you, sir?  
 2 A. It's a dog study, sir.  
 3 MR. SUGGS: Okay. Can you pull up  
 4 the abstract section? Yeah, that column on the  
 5 left there, Chris, just blow that whole thing up.  
 6 Q. (BY MR. SUGGS) It starts off by saying:  
 7 Atypical antipsychotics have been linked to  
 8 weight gain, hyperglycemia and diabetes. We  
 9 examined the effects of atypical antipsychotics,  
 10 olanzapine and risperidone, versus placebo on  
 11 adiposity, insulin sensitivity and pancreatic  
 12 beta cell compensation.  
 13 You see that language, sir?  
 14 A. Yes.  
 15 Q. They go on to say a couple lines down:  
 16 Olanzapine resulted in substantial increases in  
 17 adiposity, increased total body fat, correct?  
 18 A. Yes.  
 19 Q. Then dropping down, they go on to say:  
 20 Changes in adiposity with RIS.  
 21 That stands for risperidone,  
 22 correct?  
 23 A. Yes.  
 24 Q. Were not different from that observed in  
 25 the placebo group. Only olanzapine -- OLZ, that

1 stands for olanzapine, correct?  
 2 A. Yes.  
 3 Q. It says: Only olanzapine resulted in  
 4 marked hepatic insulin resistance.  
 5 You see that?  
 6 A. Yes.  
 7 Q. And tell the jury what hepatic insulin  
 8 resistance is.  
 9 A. We talked earlier today about inability  
 10 of insulin to work well in peripheral tissues and  
 11 you can measure this action in a variety of  
 12 tissues. One -- the clamp studies that I showed  
 13 was measuring this insulin resistance in muscle  
 14 mainly, because that's the main sync to blood  
 15 glucose. You could also measure it using a  
 16 different technique at the level of the liver,  
 17 which is the sponge that absorbs glucose.  
 18 Q. And, Doctor, was does the word "induced"  
 19 mean?  
 20 A. To result in or to --  
 21 Q. To cause?  
 22 A. To lead to, to cause, yes.  
 23 Q. Okay. Dropping down it says:  
 24 Olanzapine-induced, or olanzapine-caused,  
 25 beta-cell dysfunction was further demonstrated

1 when beta-cell compensation was compared with a  
 2 group of animals with adiposity and insulin  
 3 resistance induced by moderate fat feeding alone.  
 4 Do you see that language, sir?  
 5 A. Yes.  
 6 MR. SUGGS: Can you highlight that  
 7 language? Apparently he just did.  
 8 Q. (BY MR. SUGGS) And it goes on to say:  
 9 These results may explain the diabetogenic  
 10 effects of atypical antipsychotics.  
 11 Now, what does the word  
 12 "diabetogenic" mean, sir?  
 13 A. To induce, to lead to diabetes.  
 14 Q. Okay. So we can translate that in  
 15 saying these results may explain the  
 16 diabetes-causing effects of atypical  
 17 antipsychotics and suggest that beta-cell  
 18 compensation is under neural control, correct?  
 19 A. That's what the sentence says, yes.  
 20 Q. Okay. And this was published in 2005,  
 21 correct?  
 22 A. Yes, and the Journal of Diabetes focuses  
 23 on animal studies. This is not a human study.  
 24 Q. Well, we've got another animal study I  
 25 want to show you, but we're going to get to

1 humans.  
 2 I want to show you what I've had  
 3 marked as AK10175. This is an article entitled  
 4 Acute Effects of Atypical Antipsychotics on  
 5 Whole-Body Insulin Resistance in Rats,  
 6 Implications for Adverse Metabolic Effects.  
 7 And it starts off --  
 8 MR. SUGGS: Can you blow up the  
 9 abstract section, Chris?  
 10 Q. (BY MR. SUGGS) And it starts off in the  
 11 first sentence by saying: It is generally  
 12 accepted that atypical antipsychotics differ in  
 13 their risk for diabetic side effects.  
 14 You see that?  
 15 A. Yes.  
 16 Q. By the way, this article is published in  
 17 2007, correct?  
 18 A. Yes.  
 19 Q. And I take it you would disagree with  
 20 that statement that it is generally accepted that  
 21 atypical antipsychotics differ in their risks for  
 22 diabetic side effects; is that correct?  
 23 A. Some people hold that view.  
 24 Q. You dispute that it's generally  
 25 accepted?

1 A. I think there's a lot of controversy in  
 2 this area.  
 3 Q. So you would disagree with Dr. Brancati  
 4 and Dr. Wirshing and Dr. Gueriguian that it's  
 5 generally accepted that atypical antipsychotics  
 6 differ in their risk for diabetic side effects,  
 7 correct?  
 8 A. I believe that many have that opinion,  
 9 but I don't.  
 10 Q. Okay. The consensus statement in 2003  
 11 came to the conclusion that there was a  
 12 differential risk, correct?  
 13 A. It did.  
 14 Q. And you disagree with that?  
 15 A. At this point in time I disagree with  
 16 that because a lot of data has accumulated since  
 17 then.  
 18 Q. Well, in fact, what we're looking at  
 19 right here is some data that accumulated since  
 20 then, correct?  
 21 A. In rats.  
 22 Q. Okay. We're going to get to humans.  
 23 If you can drop down about three  
 24 lines, there's a sentence that starts off: To  
 25 investigate.

1 Do you see where I'm starting?  
 2 A. Yes.  
 3 Q. To investigate whether antipsychotics  
 4 can acutely cause metabolic effects before any  
 5 change in body compensation, we studied the  
 6 effects of four atypical antipsychotics on  
 7 whole-body insulin resistance.  
 8 Do you see that language, sir?  
 9 A. Yes.  
 10 Q. So, this study was designed to look at  
 11 whether or not atypical antipsychotics could  
 12 cause metabolic effects before there was any  
 13 weight gain, correct?  
 14 A. Correct.  
 15 Q. Okay. If you drop down to about six  
 16 lines from the bottom over towards the right on  
 17 that abstract, there's a sentence that starts  
 18 off: Olanzapine. Do you see where I'm talking?  
 19 I wish I had my light pen.  
 20 You're there. You found it.  
 21 It says: Olanzapine and clozapine  
 22 acutely impaired whole-body sensitivity in a  
 23 dose-dependent manner.  
 24 Let's stop right there and talk  
 25 about that for a second.

1 Can you explain to the jury what  
 2 that phrase means, sir?  
 3 A. The phrase means that, as I was  
 4 referring to before, insulin sensitivity is like  
 5 insulin action, how well you respond to insulin.  
 6 So these investigators are claiming that these  
 7 two drugs, the two atypical antipsychotics  
 8 acutely impair that, so they lead to insulin  
 9 resistance. And a dose-dependent manner means  
 10 that if they give more of it, then it would seem  
 11 to have a worse effect.  
 12 Q. And that was a statistically significant  
 13 finding, was it not?  
 14 A. Yes.  
 15 Q. The conventional measure of statistical  
 16 significance is .05, correct?  
 17 A. Correct.  
 18 Q. And in this case, they found that it was  
 19 statistically significant to the .001 level,  
 20 correct?  
 21 A. Yes.  
 22 Q. That's highly statistically significant?  
 23 It's not even a close call, correct?  
 24 A. In this rat model, correct.  
 25 Q. Okay. They go on to say that: Whereas

1 olanzapine and clozapine acutely impaired  
 2 whole-body insulin sensitivity in a  
 3 dose-dependent manner -- by the way, before I get  
 4 off that phrase. When it says it's a  
 5 "dose-dependent manner," it means the higher the  
 6 dose, the greater the effect, correct?  
 7 A. Well, not necessarily.  
 8 Q. What does the phrase "dose-dependent"  
 9 mean?  
 10 A. It means that the effect is dependent on  
 11 the dose, but it doesn't necessarily mean that  
 12 the higher the dose is, the greater the effect.  
 13 It could be the lower the dose is, the greater  
 14 the effect. It depends on what you're looking  
 15 at.  
 16 Q. In this case it was the higher the dose,  
 17 the greater the effect, correct?  
 18 A. In this specific case, yes.  
 19 Q. Okay. And the jury's heard some  
 20 testimony about the Bradford-Hill criteria.  
 21 You're familiar with those  
 22 criteria, are you not?  
 23 A. To some degree.  
 24 Q. And when you've got a dose-dependent  
 25 situation going on, that is one of the

1 Bradford-Hill criteria for causation, correct?  
 2 A. That's completely incorrect.  
 3 Q. Let's go on with what these authors  
 4 said. They found that olanzapine and clozapine  
 5 acutely impaired whole-body insulin sensitivity  
 6 in a dose-dependent manner, whereas ziprasidone  
 7 and risperidone had no effect.  
 8 Do you see that language, sir?  
 9 A. Yes.  
 10 Q. They go on to say: Clozapine also  
 11 induced profound insulin resistance after dosing  
 12 at 10 milligrams per kilogram per day for five  
 13 days.  
 14 And then if we drop down, the last  
 15 sentence in the abstract says: Olanzapine and  
 16 clozapine can thus rapidly induce, or cause,  
 17 marked insulin resistance, which could contribute  
 18 to the hyperglycemia and ketoacidosis reported  
 19 for patients receiving those therapies.  
 20 You see that language, sir?  
 21 A. I see the language, yes.  
 22 Q. Okay. I think the jury knows pretty  
 23 well what hyperglycemia is, but I'm not sure we  
 24 know what ketoacidosis is. Can you explain that,  
 25 sir?

1 A. Ketoacidosis is a complication of type 1  
 2 diabetes. It doesn't pertain, generally  
 3 speaking, to type 2 diabetes. It suggests that  
 4 there has been an autoimmune destruction of beta  
 5 cells. So, kids that present with type 1  
 6 diabetes often present with DKA or diabetic  
 7 ketoacidosis. It suggests severe insulin  
 8 deficiency.  
 9 Q. And a severe insulin deficiency which  
 10 has happened rather rapidly, correct?  
 11 A. Not necessarily.  
 12 Q. Okay. Can it happen rapidly?  
 13 A. It can or it can't.  
 14 Q. Okay. There's another study that you  
 15 said you're aware of. That's the recent article  
 16 by Sacher and others; is that correct?  
 17 A. Yes.  
 18 Q. This one was done in humans, right?  
 19 A. This was done in humans, yes.  
 20 Q. I had marked for identification AK10176.  
 21 And could you pull up the -- by the way, this is  
 22 published -- well, this is a 2007 article that  
 23 is a -- what's called an e-pub. Are you aware of  
 24 that, sir? Do you know what an e-pub is?  
 25 A. Yes.

1 Q. Okay. Could you tell the jury what an  
 2 e-pub is?  
 3 A. It's a publication on-line, typically a  
 4 couple of weeks before the ultimate publication,  
 5 printed journal.  
 6 Q. And are you familiar with this journal,  
 7 Neuropsychopharmacology?  
 8 A. No, it is not a diabetes journal, not an  
 9 endocrinology journal. It's something --  
 10 Q. Not something you'd usually read, right?  
 11 A. I've read this article. It's not a  
 12 well-known publication in the diabetes field.  
 13 Q. Okay. How did you read the article?  
 14 A. I learned of the publication and I  
 15 looked it up on-line. And I'm not sure if I  
 16 downloaded it or if someone printed it for me.  
 17 Q. Who told you about it?  
 18 A. I don't recall.  
 19 Q. You looked over at Mr. Kantra. Did he  
 20 provide it to you?  
 21 A. I don't recall if this was something  
 22 that I found on-line or Dr. -- Mr. Kantra may  
 23 have allowed me to see the paper. I really don't  
 24 recall.  
 25 Q. It starts off by saying in the abstract:

1 Atypical antipsychotics have been linked to a  
 2 higher risk for glucose intolerance and  
 3 consequentially the development of type 2  
 4 diabetes mellitus.  
 5 Do you see that language, sir?  
 6 A. Yes.  
 7 Q. Now, when it says "consequentially,"  
 8 what does that mean there, sir?  
 9 A. I believe it means as a consequence.  
 10 Q. In other words, it's saying that  
 11 atypical antipsychotics have been linked to a  
 12 higher risk for glucose intolerance and therefore  
 13 cause the development of type 2 diabetes; isn't  
 14 that what that's saying?  
 15 A. That's what the sentence says.  
 16 Q. Okay. And you disagree with that?  
 17 A. Well, I don't disagree that they've been  
 18 linked. As we said before, there's been  
 19 associations, but I don't think that the  
 20 association is valid, nor do I think that the  
 21 association is causal.  
 22 Q. Okay. So you would disagree with their  
 23 conclusion that it's a causal relationship,  
 24 correct?  
 25 A. Yes.

1 Q. Okay. They go on to say in the third  
 2 line down: Using the standardized hyperinsulemic  
 3 euglycemic clamp technique.  
 4 That's the same technique that was  
 5 used by Dr. Sowell at Lilly, right?  
 6 A. Yes, but there's an important  
 7 difference.  
 8 Q. It goes on to say: We compared  
 9 whole-body insulin sensitivity of 29 healthy male  
 10 volunteers after oral intake of either olanzapine  
 11 10 milligrams per day, or ziprasidone, 80  
 12 milligrams per day for ten days.  
 13 By the way, those are standard  
 14 clinical doses for both of those drugs; isn't  
 15 that correct?  
 16 A. Yes.  
 17 Q. Okay. So the fact that ziprasidone is  
 18 80 milligrams a day doesn't mean that it's eight  
 19 times more than the standard dose for olanzapine,  
 20 right?  
 21 A. I believe those are considered  
 22 psychiatrically equipotent.  
 23 Q. Okay. So, pharmacologically they're  
 24 equivalent, or equipotent to use your word  
 25 A. You'd have to ask a pharmacologist that

1 question.  
 2 Q. Okay. They go on to say that: A  
 3 significant decrease, with a P value being .001  
 4 in whole-body insulin sensitivity from 5.7  
 5 milliliters per hour per kilogram after oral take  
 6 of olanzapine for ten days was observed. The  
 7 ziprasidone group did not show any significant  
 8 difference after ten days of oral intake. Our  
 9 main finding demonstrates that oral  
 10 administration of olanzapine but not ziprasidone  
 11 leads to a decrease in whole-body insulin  
 12 sensitivity in response to a hyperinsulemic  
 13 euglycemic challenge, correct?  
 14 A. That statement is actually incorrect.  
 15 Q. That's what the sentence states,  
 16 correct?  
 17 A. The sentence states that, but the  
 18 sentence is incorrect.  
 19 Q. You disagree with the findings of these  
 20 authors, correct?  
 21 A. Yes, I do.  
 22 MR. SUGGS: Okay. Chris, could you  
 23 pull up page 5, please? Go down to the  
 24 discussion, and can you blow up the discussion --  
 25 there you go.

1 Q. (BY MR. SUGGS) Okay. The discussion  
 2 starts off by saying: This study is the first to  
 3 demonstrate consistent in vivo evidence in humans  
 4 that olanzapine causes significant acute insulin  
 5 resistance.  
 6 Do you see that language, sir?  
 7 A. I see the language.  
 8 Q. Now, the word -- or that phrase "in  
 9 vivo," that means what? What does that mean?  
 10 A. In living organisms.  
 11 Q. Okay. They go on to say: In contrast  
 12 to previous reports, Sowell, et al., referring to  
 13 the Lilly studies, our clamp experiments show  
 14 that there is a significant decrease with a P  
 15 value of .001 in whole-body insulin sensitivity  
 16 in response to hyperinsulemic euglycemic  
 17 challenge in healthy subjects following oral  
 18 intake of 10 milligrams per day olanzapine.  
 19 Whereas Sowell, et al., reported an increased  
 20 total insulin response for olanzapine, they  
 21 attributed those changes to the  
 22 antipsychotic-induced weight gain and concluded  
 23 that the observed changes were insignificant.  
 24 Do you see that language, sir?  
 25 A. Yes.



1 Q. And, in fact, the clamp studies that  
 2 were done by Sowell, they were what, less than  
 3 three weeks, right?  
 4 A. I believe three or four weeks, yes.  
 5 Q. And they were done in healthy human  
 6 volunteers, right?  
 7 A. As these studies are usually done, yes.  
 8 Q. And they found significant weight gain  
 9 in those volunteers even in that short period of  
 10 time, correct?  
 11 A. A small amount of weight gain, yeah.  
 12 Q. Okay. It was statistically significant  
 13 weight gain, wasn't it, sir?  
 14 A. But modest.  
 15 Q. Yes, it was statistically significant?  
 16 A. Perhaps not clinically significant.  
 17 Q. Can I get an answer to my question? Was  
 18 it statistically significant?  
 19 A. The P value was less than .05.  
 20 Q. Thank you, sir.  
 21 And, sir, these authors, they  
 22 described the data from the Lilly folks as being  
 23 controversial.  
 24 Do you recall that?  
 25 A. If you point me to the section, I

1 will --  
 2 Q. Sure.  
 3 MR. SUGGS: Can you go to page 6,  
 4 Chris? In the right-hand column, the second full  
 5 paragraph, the one that starts off  
 6 nevertheless -- well, let me backtrack from that.  
 7 Chris, can you blow up the  
 8 paragraph ahead of that first?  
 9 Q. (BY MR. SUGGS) And about the middle of  
 10 the paragraph they start off -- or a third of the  
 11 way down, rather, they say: Our results are  
 12 consistent with rodent data -- referring to the  
 13 Hausknecht article and some others -- as well as  
 14 similar observations in humans. Our current  
 15 results confirm the previously observed  
 16 olanzapine-induced changes and glucose metabolism  
 17 in patients with schizophrenia for healthy  
 18 volunteers. The time for these metabolic changes  
 19 to develop in healthy subjects was 10 days of  
 20 oral intake only, a time period that is shorter  
 21 than what has been demonstrated for humans  
 22 before.  
 23 Do you see that language, sir?  
 24 A. Yes.  
 25 Q. Okay. And do you disagree with their

1 statements, sir?  
 2 A. Their conclusions are completely  
 3 invalid.  
 4 Q. Okay. They go on to say in the next  
 5 paragraph -- they talk about the Sowell data,  
 6 right? And the data from the Lilly studies,  
 7 correct?  
 8 A. Yes.  
 9 Q. Now, in the world of academic  
 10 publications, in medical journals, to call  
 11 someone's data controversial is not a compliment,  
 12 correct?  
 13 A. It's not a criticism nor a compliment.  
 14 It just states that there is some controversy  
 15 about the data.  
 16 Q. Well, in fact, the thrust of this  
 17 article is that -- and the statement of these  
 18 authors is that Sowell and the other Lilly  
 19 authors are standing out there alone in this  
 20 area, correct?  
 21 A. No, that's incorrect.  
 22 Q. Well, let's look at what the language  
 23 says.  
 24 By the way, you say you disagree  
 25 with the findings of these authors and it's

1 totally incorrect. This is a peer-reviewed  
 2 journal, is it not, sir?  
 3 A. It's a psychiatric journal. It's not a  
 4 metabolic or a diabetes journal.  
 5 Q. Sir, maybe you didn't hear my question.  
 6 My question was: This is a  
 7 peer-reviewed journal, is it not, sir.  
 8 A. It's a peer-reviewed psychiatric  
 9 journal.  
 10 Q. Thank you. Are you casting some  
 11 aspersions on psychiatric journals?  
 12 A. When they publish metabolic studies,  
 13 yes.  
 14 Q. Are you saying that the doctors who did  
 15 the peer review on this article and found it  
 16 worthy of publication didn't know what they were  
 17 doing?  
 18 A. I would need to know who those  
 19 physicians were and whether they had a metabolic  
 20 background.  
 21 Q. How can you criticize them if you don't  
 22 know who they are, sir?  
 23 A. Because the study has major  
 24 methodological flaws and their conclusions are  
 25 completely invalid.

1 Q. Let's look at what they said about the  
 2 Lilly studies. They start off by saying:  
 3 Nevertheless, it has to be noted that one group  
 4 has collected controversial data regarding the  
 5 hypothesis that olanzapine might impair insulin  
 6 sensitivity in healthy volunteers. Sowell, et  
 7 al., performed hyperglycemic clamps in healthy  
 8 subjects before and after three weeks of oral  
 9 intake of olanzapine, risperidone or placebo.  
 10 Despite their finding of substantial weight gain  
 11 that was reported for olanzapine and risperidone,  
 12 they did not find the observed changes in whole  
 13 body insulin sensitivity to be significant. The  
 14 authors attributed the detected increase in total  
 15 insulin response for the olanzapine group to the  
 16 antipsychotic weight gain. But in this study no  
 17 absolute values of glucose infusion at baseline  
 18 were reported, which makes it difficult to  
 19 interpret the results.

20 Do you see that language, sir?

21 A. Yes.

22 Q. They are being -- they are criticizing  
 23 the Sowell study, are they not?

24 A. Not criticizing the study. They're just  
 25 point out an exclusion of one data point that

1 they would have liked to have seen.

2 Q. They go on to say in the following  
 3 paragraph: In another study -- again, referring  
 4 to a study done by Sowell and the Lilly folks,  
 5 correct?

6 A. Yes.

7 Q. -- the euglycemic clamp technique, this  
 8 group failed -- strike that.

9 Says: In another study using the  
 10 euglycemic clamp technique, this group failed to  
 11 detect a significant difference in whole body  
 12 insulin sensitivity. This is surprising, but  
 13 could be partly explained by the partial caloric  
 14 restriction applied to subjects and by more  
 15 specific changes in insulin sensitivity of muscle  
 16 or liver that may have occurred, but were masked  
 17 because the specific insulin effects were not  
 18 assessed as hypothesized in Bergman's detailed  
 19 review on the Sowell studies.

20 Do you see that language, sir?

21 A. Yes.

22 Q. And have you reviewed that Bergman  
 23 article that has what they refer to as a detailed  
 24 review of the Sowell articles?

25 A. I believe so, yes.

1 Q. Okay. Now, sir, just to wrap up this  
 2 discussion of clamp studies, none of these clamp  
 3 studies, whether it's the ones by Sowell or the  
 4 later ones that contradict Sowell, none of them  
 5 address the issue of whether chronic treatment  
 6 with Zyprexa, especially when accompanied by  
 7 substantial weight gain, has detrimental  
 8 metabolic effects, correct?

9 A. Correct. That's impossible to do with  
 10 these studies.

11 Q. I mean, that's just the nature of the  
 12 studies. They can't even look at the issue of  
 13 whether chronic treatment with the drug resulting  
 14 in substantial weight gain increases diabetes,  
 15 correct?

16 A. But the signal would be perceived in  
 17 these short-term studies.

18 Q. Okay. Well, then, according to the  
 19 studies that we saw in the rat and the dog and  
 20 the one in humans by Sacher just recently, there  
 21 was a signal seen, correct?

22 A. The Sacher study is completely invalid  
 23 because of its methodology.

24 Q. I'd like to talk a bit about weight  
 25 gain, Doctor. In your deposition you told

1 Mr. Fibich that you couldn't even say that weight  
 2 gain leads to beta-cell dysfunction, correct?

3 A. That's not well worked out.

4 Q. Okay. And under your mindset and the  
 5 way you look at things, in order to show that  
 6 something causes diabetes, you need to show that  
 7 that something has a direct impact on beta-cell  
 8 function, correct?

9 A. The issue of causality can be  
 10 demonstrated through clinical trials as well.  
 11 The mechanistic studies would lend support to  
 12 that. So it's not that it must show that, but it  
 13 would be nice to be able to confirm what you see  
 14 in the clinical trials with the mechanistic  
 15 studies.

16 Q. And, sir, when you're not testifying for  
 17 Lilly, you know and you tell people that weight  
 18 gain is one of the contributing factors which  
 19 causes diabetes; isn't that right?

20 A. It's clearly a risk factor for diabetes,  
 21 yes.

22 Q. Okay. And when something is a risk  
 23 factor, that means that if you expose a  
 24 population to that risk factor, that at the end  
 25 of the day there will be an increased incidence

1 of people in that population subjected to the  
2 risk factor who have the disease, correct?

3 A. Across a population, yes.

4 Q. Okay. So, for example, if we're talking  
5 about the population of Alaska and the population  
6 of mentally ill people in Alaska who are exposed  
7 to Zyprexa, you would -- strike that.

8 If you expose people in the  
9 population of Alaska to a drug which causes them  
10 to gain weight, that would be subjecting them to  
11 a risk factor for diabetes, correct?

12 A. I don't think that's an accurate  
13 statement, no.

14 Q. Okay. We'll come back to that.

15 MR. SUGGS: Could you pull up the  
16 Inzucchi and Amatruda article?

17 Q. (BY MR. SUGGS) This is an editorial  
18 that you wrote in the journal called Diabetes;  
19 isn't that right?

20 A. No.

21 Q. What did I get wrong?

22 A. Diabetes Care.

23 Q. Diabetes Care. That's the journal of  
24 the American Diabetes Association?

25 A. Yes. One of them, uh-huh.

1 Q. Okay. And this was published in 2003,  
2 correct?

3 A. Yes.

4 Q. You've got this great quote at the  
5 beginning.

6 Can you blow that up?

7 With fat, diabetes begins. From  
8 fat, diabetics die, formerly of coma and recently  
9 of arteriosclerosis.

10 Do you see that language, sir?

11 A. Yes, I do.

12 Q. And you stand by that, don't you?

13 A. It's not my quote.

14 Q. Well, I grant you you didn't -- you  
15 didn't say it. That was actually a quote from  
16 Dr. Elliot Joslin 75 years ago, correct?

17 A. That's right.

18 Q. Okay. You quoted it with approval in an  
19 article that you wrote in 2003, correct?

20 A. This article is about lipids, which is a  
21 type of fat and the reference is to the effects  
22 of lipid fats in the bloodstream and their  
23 relationship to cardiovascular disease, which is  
24 well known.

25 Q. Okay. And what does the phrase "due to"

1 mean, Doctor?

2 A. If you give me the context.

3 Q. Well, just -- if I said that your flight  
4 back home was canceled due to weather, what would  
5 that mean?

6 A. Because of.

7 Q. Yeah, okay. So the factor that -- well,  
8 strike that.

9 MR. SUGGS: Could you blow up the  
10 quote of the sentence that says, Chris, With  
11 diabetes increasing worldwide due to decreased  
12 physical activity and an aging and more obese  
13 population?

14 Q. (BY MR. SUGGS) What you're saying there  
15 when you wrote that was that the obesity is --  
16 pardon me -- that the diabetes increasing  
17 worldwide is due to decreased physical activity,  
18 aging and a more obese population, correct?

19 A. Correct.

20 Q. Obesity is clearly a risk factor for  
21 diabetes, correct?

22 A. Obesity is a risk factor for diabetes,  
23 yes.

24 Q. Okay. And if you do something to make a  
25 population more obese, you would expect that that

1 population would have an increased incidence of  
2 diabetes down the road, correct?

3 A. Across the population, yes.

4 Q. Okay. And in that circumstance you  
5 would say that obesity was one of the  
6 contributing factors in the increased incidence  
7 of diabetes, correct?

8 A. It's a risk factor for diabetes, yes, of  
9 course.

10 Q. Okay. So, of course, in that situation,  
11 the obesity would be a contributing factor in the  
12 increased incidence of diabetes, correct?

13 A. I'm not sure we could use that phrase --  
14 contributing factor really probably boils down to  
15 an individual patient. This is a risk factor,  
16 and I think that language is a little bit more  
17 accurate when you're talking about population  
18 risk.

19 Q. Well, that's what we're talking about in  
20 this case, sir, is population risk.

21 A. And it's a risk factor.

22 Q. Okay. And if you expose a population to  
23 a risk factor, that is going to cause some people  
24 in that population to have the disease? You may  
25 not be able to predict which ones, but you would

1 agree that exposure to a risk factor causes  
2 people in that population to develop the disease,  
3 correct?

4 A. I think that's inaccurate.

5 Q. What's inaccurate about it, sir?

6 A. The cause.

7 MR. SUGGS: Chris, could you pull  
8 up page 2 of Dr. Inzucchi's report? And could  
9 you blow up the -- that's it.

10 Q. (BY MR. SUGGS) This is a bullet point  
11 from your report that states: Many risk factors  
12 have been identified that predispose to type 2  
13 diabetes. The presence of a risk factor, such as  
14 obesity, however, simply increases one's chances  
15 of acquiring this disease.

16 You see that language, sir?

17 A. Yes.

18 Q. And when you say that the presence of a  
19 risk factor simply increases one's chances of  
20 acquiring this disease, you're really referring  
21 to the chances of one particular person acquiring  
22 the disease, correct?

23 A. Risk factors can be applied to  
24 populations and also to individuals, yes.

25 Q. And if you step back from the individual

1 and look at the population exposed to a risk  
2 factor, if something really is a risk factor,  
3 then it is virtually certain that the population  
4 exposed to that risk factor will have an  
5 increased number of people with the disease down  
6 the road, correct?

7 A. That's what a risk factor is, yes.

8 Q. Okay. And metabolic syndrome is a known  
9 risk factor for diabetes, correct?

10 A. Well, it's not as widely accepted as  
11 some of the other ones that we've discussed  
12 today, because it's really a compilation of many  
13 of the risk factors, so the critics of the term  
14 metabolic syndrome would say that it doesn't add  
15 anything to the equation. It just compiles those  
16 risk factors that we already knew about.

17 Q. Didn't you say in your deposition  
18 testimony that metabolic syndrome is a known risk  
19 factor for diabetes?

20 A. I may have. I'm clarifying that  
21 metabolic syndrome is not an accepted risk factor  
22 as far as the American Diabetes Association list  
23 of risk factors is a concern, because the  
24 components of the metabolic syndrome are already  
25 in the table.

1 Q. But in your view, and you've testified  
2 in your deposition, that metabolic syndrome is a  
3 known risk factor for diabetes, correct?

4 A. Yes, yes.

5 Q. Okay. So if you have more people with  
6 metabolic syndrome downstream, you would expect  
7 more cases of diabetes, correct?

8 A. That's what a risk factor is, yes.

9 Q. And the CATIE study found that more  
10 people with olanzapine -- strike that.

11 People who used olanzapine had a  
12 higher incidence of metabolic syndrome, correct?

13 A. That's what the paper showed, yes.

14 MR. SUGGS: Okay. Could you pull  
15 up page 3 of Mr. -- pardon me -- Dr. Inzucchi's  
16 report.

17 Q. (BY MR. SUGGS) And Chris is blowing up  
18 the bullet point that says: Olanzapine is a  
19 powerful and effective atypical antipsychotic  
20 medication. One of its side effects, as with  
21 many drugs of this class, is weight gain. Most,  
22 but not all, processes that increase body weight  
23 will, across populations, increase the risk of  
24 diabetes.

25 So you see that language, sir?

1 A. Yes.

2 Q. And you're not aware of any evidence or  
3 any studies you can point to that show that the  
4 weight gain caused by diabetes -- pardon me,  
5 strike that.

6 You are not aware of any studies  
7 showing that the weight gain caused by Zyprexa  
8 does not increase the risk of diabetes, correct?

9 A. There were a couple of negatives in that  
10 sentence and I lost the train.

11 Q. Okay. Let me see if I can -- I  
12 apologize.

13 The second sentence in your -- in  
14 that bullet point says: Most, but not all  
15 processes that increase body weight, will, across  
16 populations, increase the risk of diabetes.

17 Do you see that language, sir?

18 A. Yes.

19 Q. Now, Zyprexa-induced weight gain is a  
20 process, is it not?

21 A. Yes.

22 Q. And you're not aware of any evidence  
23 indicating that that process does not increase  
24 the risk of diabetes, correct?

25 A. I am aware of such data.

1 Q. Okay. What data is it that you're  
2 relying on? The stuff that you previously  
3 testified before? The Cavazzoni study?  
4 A. The CATIE study, I think, is a good  
5 example, the Cavazzoni study. This is a drug  
6 that was associated with weight gain in these  
7 studies, but when you looked for what we're  
8 talking about, which is diabetes, that wasn't  
9 seen. So that's an example of a study or two  
10 studies where that connection was not  
11 demonstrated.  
12 Q. Well, in fact, as we talked about  
13 before, the CATIE study found that olanzapine had  
14 effects consistent with the potential development  
15 of the metabolic syndrome and was associated with  
16 greater increases in glycosylated hemoglobin,  
17 total cholesterol and triglycerides after  
18 randomization, correct?  
19 A. Yes.  
20 Q. Okay. And the CATIE study also found  
21 that more patients discontinued olanzapine owing  
22 to weight gain or metabolic effects, correct?  
23 A. Yes, but your question was about  
24 diabetes.  
25 Q. Well, and you testified that obesity and

1 weight gain increases the risk of diabetes,  
2 correct?  
3 A. The question was about olanzapine and  
4 diabetes.  
5 Q. Right now my question is about obesity  
6 and weight gain. Obesity and weight gain  
7 increase the risk of diabetes, correct?  
8 A. That's what a risk factor is, yes.  
9 Q. And Zyprexa causes weight gain, does it  
10 not, sir?  
11 A. Zyprexa does cause weight gain.  
12 Q. Okay.  
13 MR. SUGGS: Chris, can you pull up  
14 AK6128, and go to the second page, please. And  
15 can you blow up that paragraph -- there you go.  
16 This is an e-mail from  
17 Dr. Beasley --  
18 Mark, can you turn down the lights?  
19 Maybe it will make it easier to see that.  
20 Q. (BY MR. SUGGS) This is Exhibit 6128  
21 which is admitted in evidence, has been published  
22 to the jury before. It's an e-mail from Charles  
23 Beasley on March 15, 2001 in which he says,  
24 starting about the third line down: One thing we  
25 can say definitively is that olanzapine causes

1 weight gain and for approximately 50 percent of  
2 patients in trials who remained on the drug for  
3 more than six months, the amount of gain was  
4 greater than 10 pounds. Some patients in  
5 clinical trials gained as much as 80-plus pounds.  
6 Do you see that language, sir?  
7 A. Yes.  
8 Q. Were you aware that patients in the  
9 Lilly clinical studies, some of them, gained more  
10 than 80 pounds on the drug?  
11 A. Yes.  
12 Q. Okay. He goes on to say: Lacking  
13 empirical data to the contrary, it would be  
14 ludicrous to state that such a patient is not at  
15 long-term increased cardiac risk relative to  
16 prior to gaining that weight, especially if in  
17 temporal association with that weight gain the  
18 patient developed an increase in fasting glucose  
19 and lipid levels.  
20 Do you see that language, sir?  
21 A. Yes.  
22 Q. That would be a fair statement, isn't  
23 it, sir? It would be ludicrous?  
24 A. I would not have used that language.  
25 Q. You would agree with it?

1 A. No.  
2 Q. You disagree with Dr. Beasley who said  
3 it would be ludicrous to argue that such patient  
4 is not at increased risk of cardiac problems?  
5 A. It would need to be looked at. I would  
6 not use the terminology ludicrous.  
7 MR. SUGGS: Chris, can you pull up  
8 Exhibit 1453, please?  
9 Q. (BY MR. SUGGS) By the way, had you seen  
10 that other document before, sir?  
11 A. These internal Lilly documents?  
12 Q. Right.  
13 A. No, I've not seen anything but  
14 submissions to the FDA. Publicly available  
15 information.  
16 Q. Fair to say that you simply cannot  
17 testify as to what Lilly knew and when they knew  
18 it, correct?  
19 A. I've not seen these documents.  
20 Q. Okay. If I could direct your attention  
21 to -- by the way, let me represent to you, sir,  
22 we've had a lot of testimony about  
23 representatives of Eli Lilly meeting with  
24 endocrinologist specialists in Atlanta in 2000 to  
25 discuss Zyprexa and weight gain and the risk of

1 diabetes. Are you familiar with that meeting  
 2 that occurred down there?  
 3 A. I did not participate in that meeting.  
 4 Q. Is the first you've heard of it when I  
 5 told you just now, or had you heard of it before?  
 6 A. I've heard of meetings. I don't recall  
 7 specific meetings. Atlanta, I'd heard that there  
 8 were advisory boards as I would expect there to  
 9 be with any drug that might have metabolic  
 10 implications.  
 11 Q. Who told you about that? Was it  
 12 Mr. Kantra?  
 13 A. I don't recall that.  
 14 Q. Was it one of the lawyers?  
 15 A. I believe it was one of the lawyers. I  
 16 don't have any information about this specific  
 17 meeting.  
 18 Q. They didn't show you any documents?  
 19 A. I don't believe I saw any documents  
 20 other than was specifically submitted at a public  
 21 forum to the FDA.  
 22 Q. Okay. So the only documents that you  
 23 have seen are either published medical articles  
 24 or documents which Lilly's corporate folks  
 25 prepared for submission to FDA, correct?

1 A. That was -- what I was asked to do was  
 2 to submit my opinion based on what was the  
 3 publicly available information, published  
 4 literature, the scientific literature, yes.  
 5 Q. Lilly engages in the process of science,  
 6 do they not?  
 7 A. Yes.  
 8 Q. They're supposed to. And Lilly chooses  
 9 what it decides to publish and what it chooses  
 10 not to publish, correct?  
 11 A. At this point in time all studies that  
 12 are conducted by pharmaceutical studies are  
 13 actually publicly accessible, I believe.  
 14 Q. Were they publicly accessible back in  
 15 2000, Doctor?  
 16 A. I don't know when that started.  
 17 Q. That didn't happen until?  
 18 A. I don't know when it started. I know  
 19 it's the case now.  
 20 Q. Let's talk about the internal data and  
 21 see if you were aware of that.  
 22 MR. SUGGS: Could you go to the  
 23 second page, Chris. And blow up that e-mail from  
 24 Charles Beasley.  
 25 Q. (BY MR. SUGGS) Actually, I'll represent

1 to you, sir, this is an October 10, 2000 e-mail  
 2 from Charles Beasley to Alan Breier, Robert  
 3 Baker, Paul Berg, Scott Clark, John H. Holcombe,  
 4 Roland Powell, Alvin Rampey, Roy N. Tamura.  
 5 Do you know any of those people?  
 6 A. I have met Dr. Holcombe in the past, in  
 7 the distant past, but not the others.  
 8 Q. Dr. Holcombe is an endocrinologist,  
 9 correct?  
 10 A. I believe a pediatric endocrinologist,  
 11 yes.  
 12 Q. He's one of the few endocrinologists  
 13 working on the Zyprexa project; is that correct?  
 14 A. I don't know his involvement in the  
 15 Zyprexa project.  
 16 Q. If I can direct your attention to the  
 17 second paragraph. It starts off by saying that  
 18 these guys were really concerned about the weight  
 19 gain, not only because of a diabetes risk, but  
 20 all of the other potential health risks. They  
 21 initially thought it might simply be a response  
 22 to improvement in schizophrenia with a few  
 23 outliers. When they understood that this is seen  
 24 in nonpsychotic normals and animals on fixed  
 25 diets, less concern with animals, and that

1 olanzapine is the worst offender other than  
 2 clozapine, they advocated a different marketing  
 3 strategy than we are taking.  
 4 Do you see that language, sir?  
 5 A. Yes.  
 6 Q. Were you informed that Lilly had been  
 7 advised of that back in 2000?  
 8 A. Again, I don't have access to internal  
 9 documents.  
 10 Q. Okay. Did they give you any internal  
 11 documents showing data from animals on fixed  
 12 diets?  
 13 A. I can't recall if I have -- I've seen  
 14 hundreds of studies, and I can't recall if those  
 15 were amongst them.  
 16 Q. If an animal is on a fixed diet and  
 17 gains weight, then it's obviously not gaining  
 18 weight but of increased caloric intake, correct?  
 19 A. I need to know a little bit more about  
 20 the model, because what happens in animals is  
 21 often not translatable to humans, particularly as  
 22 you get further and further away from the human  
 23 models. So dogs are significantly removed from  
 24 humans and mice and rats even more so, so it's  
 25 really difficult to say.

1 Q. And you don't even know what types of  
2 animals were involved in this; you don't know if  
3 they're monkeys or dogs or rats or whatever,  
4 right?

5 A. Again, I don't have access to these  
6 internal documents and I don't recall that  
7 specific study.

8 Q. If I can direct your attention to about  
9 four lines from the only, there's a sentence that  
10 starts off, There does not seem much to say. Do  
11 you see that, sir?

12 A. Yes.

13 Q. Dr. Beasley says: There does not seem  
14 much to say about scientific analyses of weight  
15 gain. We know it's a weighty problem. When you  
16 translate 1 to 2 percent gain of 40-plus kilos  
17 into the absolute number based on 5 million  
18 patients the number is 50,000 to 100,000.  
19 100,000 people putting on 90 pounds of weight is  
20 a lot. You see that language, sir?

21 A. Yes.

22 Q. And did Lilly ever provide you the data  
23 that forms the background for that statement?

24 A. I'm not sure what you're asking. This  
25 is a statement within an e-mail from this

1 physician.

2 Q. Right. Did Lilly provide you any  
3 scientific data indicating that at least by 2000,  
4 which is, what, eight years ago, there were  
5 100,000 people who had put on 90 pounds of weight  
6 after taking Zyprexa?

7 A. I don't think that's what this statement  
8 says.

9 Q. Well, that's what Dr. Beasley said it  
10 says?

11 A. These are estimates.

12 Q. And Dr. Beasley -- are you aware of  
13 Dr. Beasley's testimony on the subject?

14 A. In this trial?

15 Q. Yes.

16 A. No.

17 Q. Are you saying that Dr. Beasley was  
18 wrong when he did the calculation there, that  
19 found that there could be 100,000 people putting  
20 on 90 pounds of weight with Zyprexa?

21 A. This is the first time I've seen such a  
22 calculation. I'm not sure what it's based on.  
23 It sounds like an informal remark to a colleague.  
24 I don't know what this specific number was based  
25 on. Was it a scientific sampling? I doubt it.

1 It's really difficult to comment on someone's  
2 e-mail to a colleague.

3 Q. So you're challenging what Dr. Beasley  
4 said and the validity of it even though you don't  
5 have data on this one way or another, is that  
6 correct?

7 A. I can't comment on it.

8 Q. Would you agree -- let's assume for the  
9 purposes of agreement that there were, in fact,  
10 by 2000, 100,000 people putting on 90 pounds of  
11 weight due to Zyprexa. Would you agree that  
12 those people would be at increased risk of  
13 diabetes?

14 A. First I would say that 90-pound weight  
15 gain is a lot of weight and it's unlikely to be  
16 solely responsible because of a drug. There are  
17 many patients who gain 90 pounds of weight who  
18 are not taking any medications, so these are  
19 outliers and I think it's more accurate to  
20 determine the average weight gain, not the  
21 extreme weight gain, which is unlikely to be  
22 related to the medication.

23 Q. You use the term outliers. That was the  
24 thing that the outside endocrinologists thought,  
25 too, you see where he talks about that. They

1 initially thought it might simply be a response  
2 to improvement in schizophrenia with a few  
3 outliers, a rather naive view, but they ain't  
4 shrinks. When they understood that this is seen  
5 in nonpsychotic normals and animals on a fixed  
6 diets and that olanzapine is the worst offender,  
7 other than clozapine, they advocated a different  
8 marketing strategy than we are taking.

9 You see that language, sir?

10 A. Yes.

11 Q. By the way, do you know what their  
12 marketing strategy was?

13 A. I'm not familiar with the marketing  
14 strategy for this drug.

15 Q. Okay. Let's get back to the 100,000  
16 people with 90 pounds of weight gain.

17 If 100,000 people put on 90 pounds  
18 of weight that's drug-induced, is that population  
19 of folks going to be at increased risk of  
20 contracting diabetes?

21 A. Again, I would take exception to the  
22 description of it being drug-induced, because  
23 these are outliers.

24 Q. Sir, I'm entitled to ask you a  
25 hypothetical and accept the premise of the

1 hypothetical, okay? Let's assume that you have  
2 100,000 people who take a drug and that causes 90  
3 pounds of weight gain. Would you agree, sir,  
4 that that puts that population of people at an  
5 increased risk of developing diabetes?

6 A. That population would be at an increased  
7 risk from before gaining 90 pounds of weight.

8 Q. And can you give us some sort of  
9 ballpark estimate as to what that increased risk  
10 would be?

11 A. Several fold.

12 Q. Several fold. Well, I believe you  
13 testified earlier that you agreed with  
14 Dr. Wirshing and with Dr. Brancati who testified  
15 that 24 pounds of weight gain would increase the  
16 risk 3 to 4 times. If that's the case, then what  
17 would 90 pounds be? That would be higher than  
18 that, wouldn't it?

19 A. The relationship is -- may not be  
20 linear, so it's difficult to extrapolate from  
21 what we know about modest weight gain to more  
22 extreme. We just don't know.

23 Q. You know, you brought up a good point,  
24 because that's exactly what Dr. Wirshing and  
25 Dr. Brancati said. They said that it's not a

1 linear relationship. As you gain more and more  
2 weight, the rate of increase goes up even more  
3 dramatically, doesn't it, sir?

4 A. I don't think we know that as well as we  
5 do some of the more modest weight gain just  
6 because the studies for extreme weight gain are  
7 not as numerous as other studies.

8 Q. You don't often see 100,000 people  
9 putting on 90 pounds of weight, do you, sir?

10 A. It's hard to track in research, for  
11 sure.

12 Q. Sir, you would agree with me that  
13 putting on 90 pounds of weight is going to  
14 increase the risk of diabetes more than three or  
15 four times, correct?

16 A. Several fold. Again --

17 Q. Several fold times the three to four?

18 A. Several fold above no weight change. So  
19 several fold -- 3, 4, 5. It's difficult to give  
20 you an accurate, because these studies have not  
21 been adequately done to this degree.

22 Q. Okay. So you say with 90 pounds of  
23 weight gain the risk would be 3 to 4 times higher  
24 and with 24 times it would be 3 to 4 times  
25 higher. I guess you don't see any difference

1 between gaining 24 pounds and 90 pounds of  
2 weight. Is that what you're telling the jury?

3 A. I don't think I said that. I said that  
4 the data to inform and answer that question is  
5 not easily. Again, this is weight gain that  
6 occurs over time. So the data we have from these  
7 epidemiological study is what happens to an  
8 individual over a lifetime of gaining this  
9 weight. We really don't know what happens in the  
10 short-term because of the issue of beta cell  
11 compensation. It could be that the beta cells  
12 would compensate more astutely in the setting of  
13 more recent weight gain.

14 Q. Dr. Brancati testified that if you gain  
15 -- he was talking in the context of 24 pounds.  
16 He said that if you gain 24 pounds in a year,  
17 that was going to have a greater impact and more  
18 negative impact than gaining 24 pounds over, say,  
19 a decade or so. Do you disagree with  
20 Dr. Brancati?

21 A. I disagree because we don't know the  
22 answer to that question. You could take  
23 arguments from both sides. I don't think we  
24 know. And as an endocrinologist who studies this  
25 area and sees patients with diabetes, it's

1 difficult for me to know whether more rapid  
2 weight gain is more detrimental or less  
3 detrimental than long-term weight gain as regards  
4 to diabetes risk.

5 MR. SUGGS: Your Honor, we're at a  
6 convenient stopping place for a break, if now  
7 would be convenient for the Court.

8 THE COURT: Yes, this is a good  
9 time. Ladies and gentlemen of the jury, we'll  
10 take our second morning break and we'll be in  
11 recess for about 15 minutes.

12 (Jury out.)

13 (Break.)

14 (Jury in.)

15 THE COURT: Please be seated. We  
16 are back on the record. All members of the jury  
17 are present. Mr. Suggs?

18 MR. SUGGS: Thank you, Your Honor.

19 Q. (BY MR. SUGGS) Dr. Inzucchi, in your  
20 deposition and I think even today, you testified  
21 that it is your opinion that diabetes is probably  
22 mainly genetically mediated; is that correct?

23 A. Correct.

24 Q. In other words, patients who have a  
25 genetic tendency toward diabetes are predisposed



1 to diabetes, correct?  
 2 A. Yes.  
 3 Q. Okay. And I believe you also testified  
 4 that risk factors are additive, correct?  
 5 A. With each other?  
 6 Q. Yes.  
 7 A. Yes.  
 8 Q. So if a person has two risk factors,  
 9 they're much more prone to develop a disease than  
 10 if they had none or one?  
 11 A. Yes, statistically.  
 12 Q. And you would agree -- is it a fair  
 13 statement that weight gain plus genetic  
 14 vulnerability leads to diabetes?  
 15 A. Well, it increases the risk, it doesn't  
 16 necessarily -- you can't anticipate that a  
 17 person definitely will or will not get diabetes,  
 18 but it increases the risk, yes.  
 19 Q. If somebody had a genetic vulnerability  
 20 to diabetes, that would mean that they were  
 21 predisposed towards getting diabetes, correct?  
 22 A. Correct.  
 23 Q. Okay. And could you pull up Exhibit  
 24 4361, please?  
 25 MR. SUGGS: This is 4361; it's not

1 been previously introduced.  
 2 Q. (BY MR. SUGGS) I'm going to hand you  
 3 what I've had marked as AK4361.  
 4 MR. LEHNER: May we just approach  
 5 for one minute?  
 6 THE COURT: You may.  
 7 (Bench discussion.)  
 8 MR. LEHNER: I want to get the  
 9 rules straight here. This has not been  
 10 introduced as an exhibit.  
 11 THE COURT: That's the rule. How  
 12 are you going to get it in through this witness?  
 13 MR. SUGGS: Cross-examination,  
 14 Your Honor.  
 15 THE COURT: The question is if it's  
 16 a nonadmitted document, I don't want it shown up  
 17 on the board and then have the jury take a look  
 18 at it and we've got a problem, so --  
 19 MR. SUGGS: Okay.  
 20 (End of bench discussion.)  
 21 MR. SUGGS: Chris, could you take  
 22 it off the screen for the time being.  
 23 Q. (BY MR. SUGGS) Doctor, can I direct  
 24 your attention to this document which is entitled  
 25 Issues in Management Planning, Diabetes Final

1 Draft. Do you see that on the second page there  
 2 is a listing of core beliefs?  
 3 A. Yes.  
 4 Q. And the second bullet point there  
 5 states: Patients taking Zyprexa often experience  
 6 weight gain which in predisposed individuals can  
 7 contribute to the development of diabetes.  
 8 You see that language, sir?  
 9 A. Yes.  
 10 Q. And you would agree with that, would you  
 11 not, sir?  
 12 A. Yes, in terms of contributing being the  
 13 risk factor issue that we discussed this morning,  
 14 yes.  
 15 Q. Well, when you talked about contribute  
 16 to the development of something, that means that  
 17 it is playing a causal role, correct?  
 18 A. No. You can contribute, but it may not  
 19 be a cause.  
 20 Q. Well, if -- if something contributes,  
 21 that means that it's a contributing factor,  
 22 correct?  
 23 A. Contributing factor, yes, or risk  
 24 factor, yes.  
 25 Q. Okay. So this document -- you would

1 agree that patients taking Zyprexa often  
 2 experience weight gain and in predisposed  
 3 individuals that can be a contributing factor to  
 4 their development of diabetes, correct?  
 5 A. In an individual patient it's not clear  
 6 whether the weight gain --  
 7 Q. Leave aside the individual patient.  
 8 Again, we're talking population. Zyprexa was a  
 9 drug that was sold to millions of people,  
 10 correct? Correct?  
 11 A. I don't know the sales statistics of  
 12 Zyprexa.  
 13 Q. It's been described as a blockbuster  
 14 drug. It was used by a lot of people, right?  
 15 A. If it's a blockbuster drug, I assume it  
 16 was. I don't prescribe it, so I don't know.  
 17 Q. Were you aware that it was the fourth  
 18 leading drug in the world in terms of sales?  
 19 A. I don't know the statistics on sales.  
 20 Q. In any event, if we're talking about the  
 21 population of people that were taking Zyprexa,  
 22 including the population here in Alaska, patients  
 23 taking Zyprexa often experience weight gain which  
 24 in a predisposed individual can be a contributing  
 25 factor to the development of diabetes, correct?

1 A. That would be a fair statement.

2 MR. SUGGS: Your Honor, I move for  
3 the admission of 4361.

4 MR. LEHNER: Your Honor, as we've  
5 discussed, it hasn't met the foundation --

6 THE COURT: I don't think you've  
7 laid a foundation.

8 MR. SUGGS: Okay. I'll go on, Your  
9 Honor.

10 THE COURT: Just because you've  
11 read it doesn't make it admissible.

12 Q. (BY MR. SUGGS) But you do agree with  
13 that statement, do you not, as we've discussed?

14 A. As a hypothetical question, yes. But  
15 not as something that's been demonstrated in the  
16 clinical trials, as we've discussed.

17 MR. SUGGS: Chris, can you pull up  
18 page 3 of his report, please?

19 And could you pull up the first  
20 bulleted item up there?

21 Q. (BY MR. SUGGS) And in that second --  
22 third sentence, you say: Most, but not all  
23 processes that increase body weight, will, across  
24 populations, increase the risk of diabetes.

25 Do you see that language, sir?

1 A. Yes.

2 Q. And, sir, six years ago, in 2002  
3 scientists within Lilly were saying that a  
4 fair-minded scholarly evaluation of the evidence  
5 would lead to the conclusion that Zyprexa causes  
6 weight gain and that Zyprexa-induced weight  
7 probably increases the risk of diabetes.

8 Were you aware of that, sir?

9 A. I'm not sure what document you're  
10 referring to now.

11 MR. SUGGS: Could you pull up  
12 Exhibit 8666?

13 THE COURT: Is this AK?

14 MR. SUGGS: AK. Yes, Your Honor.  
15 AK86666. It's been previously admitted.

16 Chris, could you highlight and blow  
17 up the last two sentences in the paragraph and  
18 then also the two bullet items?

19 Q. (BY MR. SUGGS) Sir, this is from a June  
20 27, 2002 e-mail from Simeon Israel Taylor to a  
21 number of individuals at Eli Lilly and the part  
22 that I've had blown up there states: Quote,  
23 however, I feel that we need to deal with the  
24 scientific facts, whatever they are. Ultimately,  
25 I expect that a fair-minded, scholarly evaluation

1 of the available data is likely to support  
2 several conclusions. One, Zyprexa, like other  
3 members of the class, causes weight gain; two,  
4 like other causes of weight gain, Zyprexa-induced  
5 weight gain probably increases the risk of  
6 diabetes.

7 Do you see that language, sir?

8 A. Yes.

9 Q. Did the Lilly folks show you this  
10 document before you came here to testify?

11 A. Again, I don't recall seeing any  
12 internal documents from Lilly.

13 Q. And do you disagree with these  
14 conclusions of Dr. Simeon Israel Taylor made back  
15 in 2002, six years ago?

16 A. I'm not sure they're conclusions. This  
17 sounds like an internal document and I need to  
18 know what the context was that this was written  
19 in. Was this an interchange between two  
20 colleagues at Lilly saying that, hey, this is a  
21 drug that causes weight gain? Weight gain is  
22 obviously something that's -- you need to be  
23 concerned about diabetes. We need to look at  
24 this question.

25 But, again, I'm here to testify to

1 the view of the scientific literature out there,  
2 the studies that we've looked at, and this has  
3 not been demonstrated in the clinical trials and  
4 mechanistic studies. So this sentence here does  
5 not prove that what I said was incorrect. It  
6 simply describes a contention of this individual.

7 Q. Sir, my question was whether you agreed  
8 or disagreed with those two statements there.  
9 And apparently you disagree, correct?

10 A. I disagree with statement No. 2 as  
11 written.

12 Q. And, certainly, Lilly has never warned  
13 doctors that like other causes of weight gain,  
14 Zyprexa-induced weight gain probably increases  
15 the risk of diabetes, correct?

16 A. Are you referring to the package labels  
17 or --

18 Q. Yes.

19 A. No, that's not something that is in the  
20 package label because it's not been demonstrated  
21 to be true.

22 Q. It's not been demonstrated to be true to  
23 your satisfaction or the satisfaction of Eli  
24 Lilly. In fact, even today Lilly denies that  
25 there is any causal relationship between Zyprexa

1 and diabetes; correct?

2 A. And the FDA.

3 Q. Were you aware that the FDA told Lilly  
4 that Zyprexa induces hyperglycemia?

5 A. Again, hyperglycemia is not the same as  
6 diabetes.

7 Q. Sir, my question is: Were you aware  
8 that FDA told Lilly that Zyprexa induces  
9 hyperglycemia?

10 A. I'm not aware of what communication  
11 you're referring to.

12 Q. We'll get to that later.

13 Sir, within days after this e-mail  
14 where Dr. Israel Taylor was saying that like  
15 other causes of weight gain, Zyprexa-induced  
16 weight gain probably increases the risk of  
17 diabetes, there was another document generated  
18 which concluded that increased blood glucose in  
19 the Zyprexa clinical trial was probably causally  
20 related. Were you aware of that, sir?

21 A. Which study are you referring to?

22 MR. SUGGS: Can you pull up Exhibit  
23 7802, please? Can you blow up the title at the  
24 top there, Chris, and then blow up the line for  
25 glucose nonfasting high.

1 chemistry tests done.

2 Q. In this study what they found was that  
3 2.2 percent of people exposed to Zyprexa had high  
4 nonfasting glucose compared to zero in the  
5 placebo group, correct?

6 A. That's what the line says, yes.

7 Q. And do you see to the right of that  
8 there's letters A --

9 A. Yes.

10 MR. SUGGS: Chris, can you blow up  
11 the legend down at the bottom so we can show the  
12 witness what A means.

13 Q. (BY MR. SUGGS) Category A means that  
14 the event was probably causally related. Do you  
15 see that language, sir?

16 A. Yes.

17 Q. Did Lilly ever tell you before you came  
18 here to testify to this jury that data from this  
19 study demonstrated that the event of nonfasting  
20 high glucose was probably causally related?

21 A. I would need to know a little bit more  
22 about how the table was formulated.

23 Q. My question was: Did they tell you  
24 this? Did they give you this information before  
25 you came to Alaska to testify before this jury?

1 Q. (BY MR. SUGGS) Sir, this is data from a  
2 study described as Listing of Treatment-Emergent  
3 Abnormal Lab Findings in Olanzapine-Treated  
4 Patients, Placebo Controlled FID-MC-HGFU Studies  
5 1 and 2 Combined.

6 Did you review that study, sir?

7 A. I reviewed hundreds of studies. I can't  
8 recall this specific one.

9 Q. Don't know if they showed you this one  
10 or not?

11 A. Again, I reviewed hundreds of studies  
12 that were submitted to the FDA and this may have  
13 been included in that.

14 Q. But you just don't know one way or the  
15 other, correct?

16 A. As I said, I don't recall specifically  
17 this specific page out of a submission to the FDA  
18 that may have been several hundred pages.

19 Q. By the way, it's common, is it not, to  
20 conduct laboratory analyses of various things  
21 like blood and urine, so on and so forth?

22 A. Yes.

23 Q. And it would be customary to do a  
24 measurement of high glucose, correct?

25 A. In most clinical trials, sure, there are

1 A. Again, I was given the submissions from  
2 Lilly to the FDA, several submissions in which  
3 this study may or may not have been included. I  
4 don't -- I don't recall specific discussion about  
5 this specific line with this specific entry of  
6 letter-writing.

7 Q. Lilly has never told the FDA that any  
8 data from any of their studies demonstrates that  
9 high blood glucose is probably causally related,  
10 have they, sir?

11 A. I don't understand the question.

12 Q. Sir, Lilly has never told the FDA that  
13 high blood glucose is probably causally related  
14 to the administration of Zyprexa, have they?

15 A. I'm not -- I don't have available to me  
16 every discussion between Lilly and the FDA.  
17 That's not my purpose here today.

18 Q. Sir, Lilly has consistently denied that  
19 there's any causality between Zyprexa and  
20 hyperglycemia or diabetes, isn't that true, sir?

21 A. As we talked about today, the bulk of  
22 the clinical trial data, mechanistic studies,  
23 even the epidemiological studies have failed to  
24 demonstrate a cause and effect relationship  
25 between Zyprexa and diabetes.

1 Q. Apparently the author of this document  
2 thought that this study showed that high blood  
3 glucose was probably causally related and no one  
4 gave this to you to review, did they, sir?

5 A. Again, not necessarily. We need to know  
6 what causally related meant --

7 Q. The question was: Did anybody give this  
8 to you to review before you came to testify  
9 before this jury?

10 A. I will say, again, that I was given  
11 hundreds of pages of submissions from Lilly to  
12 the FDA which I reviewed. I cannot specifically  
13 recall this specific page, nor can I recall the  
14 specific line.

15 Q. So that would be a no, correct?

16 A. Depends on what the question you're  
17 asking.

18 Q. My question was whether anybody gave it  
19 to you. Your answer is no, you don't recall ever  
20 seeing anything from Lilly where they said that  
21 hyperglycemia was probably causally related to  
22 Zyprexa, correct?

23 A. The -- the submissions from Lilly to the  
24 FDA were given to me by the attorneys. So if  
25 this was included in that submission, then it was

1 given to me.

2 Q. You don't recall seeing it? They never  
3 gave you this, did they?

4 MR. KANTRA: Objection, Your Honor,  
5 we've been over this about four or five times.

6 MR. SUGGS: I'll move on, Your  
7 Honor.

8 THE COURT: Move on, please.

9 MR. SUGGS: Your Honor, can I ask  
10 him to make a responsive answer to my question?

11 THE COURT: Yes. Are you saying  
12 you just don't know whether you ever got this?

13 THE WITNESS: I can't recall that.

14 THE COURT: Do you recall any  
15 documents where a causal relationship appears to  
16 have been made between Zyprexa and high glucose  
17 nonfasting?

18 THE WITNESS: I can't specifically  
19 recall. I looked at these data and came to my  
20 own conclusions about causality. But this could  
21 be a principal investigator. It could be a  
22 director of the clinical trial who has made this  
23 judgment. But this would not be my judgment,  
24 necessarily.

25 MR. SUGGS: Thank you, Your Honor.

1 Q. (BY MR. SUGGS) Dr. Inzucchi, you've  
2 testified about the consensus statement.

3 MR. SUGGS: Chris, could you please  
4 pull up Exhibit 2368?

5 Q. (BY MR. SUGGS) Now, there were a number  
6 of people that were invited to speak and present  
7 at that panel, correct?

8 A. Yes.

9 Q. You weren't one of them, were you?

10 A. No.

11 Q. By the way, this was a consensus  
12 statement not just of the American Diabetes  
13 Association, but also of the American Psychiatric  
14 Association, the American Association of Clinical  
15 Endocrinologists, and the North American  
16 Association for the Study of Obesity, correct?

17 A. Yes.

18 Q. And you talked earlier today in response  
19 to Mr. -- questions from Mr. Kantra that you had  
20 been on an ADA consensus panel of one sort or  
21 another?

22 A. Yes.

23 Q. Have you ever been on a consensus panel  
24 where you had four different medical associations  
25 convening the panel?

1 A. Yes.

2 Q. And the speakers, the panel of experts  
3 at this I believe you testified in your  
4 deposition that Mr. Fibich took, that you knew  
5 about half of them, right?

6 A. I don't recall saying that.

7 MR. SUGGS: Chris, can you go to --  
8 can you go to the last page, please, and can you  
9 blow up the paragraph there at the top on the  
10 left about the consensus panel.

11 Q. (BY MR. SUGGS) I believe you testified  
12 that you know a number of those individuals, in  
13 fact, the ones who were the endocrinologists, is  
14 that correct?

15 A. Your question was whether I knew the  
16 presenters. These were the authors --

17 Q. I'm sorry.

18 A. Okay.

19 Q. In any event, these were the experts who  
20 were on the panel who were hearing the evidence  
21 and hearing the presentations, correct?

22 A. Correct.

23 Q. And you knew all of them or some of  
24 them?

25 A. Some of them.

1 Q. Okay. About half?  
 2 A. Three, possibly four.  
 3 Q. And you testified in your deposition  
 4 that you respect their abilities as  
 5 endocrinologists, correct?  
 6 A. The ones that I know, yes, of course.  
 7 Q. The ones -- was this a panel just of  
 8 endocrinologists, or did it also include  
 9 psychiatrists?  
 10 A. I'm not exactly sure, but I would assume  
 11 that because the convening bodies represented  
 12 both psychiatry and endocrinology, there were  
 13 psychiatrists on this panel.  
 14 Q. This panel of experts reviewed all of  
 15 the known literature in the English language and  
 16 then heard presentations from 14 experts, the FDA  
 17 and representatives of the drug companies that  
 18 manufactured atypical antipsychotics, correct?  
 19 A. Yes.  
 20 Q. By the way, were you aware that  
 21 Dr. Allison, Dr. David Allison was one of the  
 22 presenters?  
 23 A. Yes.  
 24 Q. And he did one of the studies that you  
 25 said that you relied on most heavily, correct?

1 A. Correct.  
 2 Q. And he would have presented the data for  
 3 that, correct?  
 4 A. I believe so, yes.  
 5 Q. Also Patrizia Cavazzoni was another  
 6 presenter there, correct?  
 7 A. Yes.  
 8 Q. And she would have presented her data as  
 9 well, correct?  
 10 A. I would assume so, yes.  
 11 Q. And can you look at --  
 12 MR. SUGGS: Can you turn to table  
 13 2, please, Chris?  
 14 Q. (BY MR. SUGGS) And you've testified to  
 15 the jury this morning that despite the fact that  
 16 the ADA consensus statement came out with these  
 17 conclusions which are summarized in that table,  
 18 you disagree with them, correct?  
 19 A. Some of these conclusions, yes.  
 20 Q. Okay. You disagree that olanzapine has  
 21 a higher risk of weight gain than another, for  
 22 example, risperidone, quetiapine, aripiprazole or  
 23 ziprasidone?  
 24 A. No, my disagreement concerned the second  
 25 column.

1 Q. So you disagree that there is a risk for  
 2 diabetes with olanzapine, correct?  
 3 A. I believe the risk for diabetes is in  
 4 patients who take olanzapine because they have  
 5 psychiatric illness and schizophrenia, so it  
 6 depends on how you phrase the question. The  
 7 issue is whether olanzapine adds to that risk and  
 8 that's, I think, still very controversial and I'm  
 9 not sure we know the answer to that question.  
 10 Q. Apparently it wasn't controversial for  
 11 the ADA consensus panel, correct?  
 12 A. It was definitely controversial. That's  
 13 why consensus panels are convened.  
 14 Q. When they got done, what they did was  
 15 they published this article which appeared in  
 16 February, 2004, and in this table they said there  
 17 was an increased effect for the risk of diabetes  
 18 with olanzapine, correct?  
 19 A. That's what they felt.  
 20 MR. SUGGS: Can you turn to the  
 21 summary section, please? It's the last page -- I  
 22 take it back the -- page 5 -- the page just  
 23 before that in the right-hand column. Second  
 24 paragraph is all I need blown up.  
 25 Q. (BY MR. SUGGS) And this panel of

1 experts, after hearing all of -- by the way, this  
 2 panel not only heard presentations, they reviewed  
 3 all of the known literature that was published in  
 4 English at that time, correct?  
 5 A. I don't know what they reviewed. They  
 6 certainly attended the conference.  
 7 Q. Well, according to the article on the  
 8 first page -- you don't have to go there,  
 9 Chris -- it says in addition, before the  
 10 conference, the consensus panel was given copies  
 11 of most of the known peer-reviewed  
 12 English-language clinical studies published in  
 13 this area as well as additional articles present,  
 14 animal studies, other papers and abstracts were  
 15 reviewed at the conference.  
 16 Do you have any basis to dispute  
 17 that?  
 18 A. No.  
 19 Q. And then in the summary section they say  
 20 that: Clozapine and olanzapine are associated  
 21 with the greatest weight gain and the highest  
 22 occurrence of diabetes and dyslipidemia.  
 23 Correct?  
 24 A. Yes. Associated with.  
 25 Q. And they aren't talking about just a

1 risk of diabetes; they're talking about the  
2 highest occurrence of diabetes, correct?

3 A. They're talking about a risk of diabetes  
4 being an association, not a cause. There's  
5 nothing about cause here.

6 Q. The cause is implied in the whole  
7 paragraph. They go on to say, Risperidone and  
8 quetiapine appear to have intermediate effects.

9 Effects are -- result from causes,  
10 correct?

11 A. That's how I use the word. I think  
12 this --

13 Q. You think they were using the English  
14 language differently than you were, sir?

15 A. No, but I do think at the time that this  
16 was written there was a lot of controversy and  
17 this was their best effort to come to some  
18 consensus as to what we need to be looking for.  
19 But the majority of this document actually  
20 focused on what we need, what data do we need to  
21 actually prove or to demonstrate actually what's  
22 going on.

23 Q. Sir, were you aware that after this  
24 conference the ADA, American Diabetes  
25 Association, issued a press release regarding the

1 consensus panel?

2 A. That follows most consensus panels, yes.

3 Q. I'm going to hand you what I'll marked  
4 as the next exhibit. I'm not quite sure what the  
5 number is. I've got to look at what you've got  
6 up there.

7 THE COURT: 10176?

8 MR. SUGGS: I think it's AK10177.

9 Is that right, Mark?

10 THE CLERK: 10177.

11 Q. (BY MR. SUGGS) And did you see this  
12 press release when it was issued, sir?

13 A. No.

14 Q. You were on the board of the American  
15 Diabetes Association at that time, were you not?  
16 Or did that come later?

17 A. I'm not on the board of the Diabetes  
18 Association. I'm on the professional practice  
19 committee.

20 Q. Okay. So you're a member of a committee  
21 of the association but you're not on the board,  
22 or did I misspeak?

23 A. It's semantics. The professional  
24 practice committee is one of the committees in  
25 the American Diabetes Association. There is an

1 over board that controls all the actions of the  
2 ADA. I don't see sit on that.

3 Q. And the title of this -- I'm going to  
4 put this up on the ELMO.

5 THE COURT: Again, are we getting  
6 this?

7 MR. SUGGS: Pardon?

8 THE COURT: Before we put it up on  
9 the ELMO is it being admitted?

10 MR. SUGGS: I offer Exhibit  
11 AK10177 -- AK10177.

12 MR. LEHNER: No objection,  
13 Your Honor.

14 THE COURT: AK10177 is admitted.

15 Q. (BY MR. SUGGS) And, Doctor, the title  
16 of this press release is Antipsychotics Raise  
17 Obesity, Diabetes, and Heart Disease Risks.  
18 Correct?

19 A. Yes, that's what it says.

20 Q. And if some factor raises a risk, that  
21 means that it has an increasing effect, correct?

22 A. It raises -- it raises the risk. Across  
23 the population, more people will develop that  
24 disease, yes.

25 Q. And what does the phrase "lead to" mean?

1 A. I need context.

2 Q. Well, when you were talking before, you  
3 said that we couldn't show causation unless we  
4 could show that a drug led to damage to the beta  
5 cells, correct? You know what the phrase led to  
6 meant in that context, right?

7 A. That would be supportive evidence of  
8 causation if you could actually demonstrate  
9 mechanistically what's going on at the level of  
10 the pancreas, yes.

11 Q. If I could direct your attention to the  
12 first paragraph, it states: People who take  
13 antipsychotic drugs for the treatment of a  
14 variety of mental illnesses may be at increased  
15 risk for obesity, diabetes and high cholesterol,  
16 all of which can lead to heart disease. Because  
17 of this, a joint panel of the American Diabetes  
18 Association, American Psychiatric Association,  
19 American Association of Clinical Endocrinologists  
20 and the North American Association for the Study  
21 of Obesity has issued a consensus statement  
22 asking doctors to carefully screen and monitor  
23 patients on these medications for signs of rapid  
24 weight gain or other problems that could lead to  
25 diabetes, obesity and heart disease and refer

1 them to specialists, if necessary.  
 2 Correct?  
 3 A. That's what it says, yes.  
 4 Q. What they're talking about there is the  
 5 problem of rapid weight gain leading to diabetes,  
 6 correct?  
 7 A. Yes.  
 8 Q. Okay. And clearly, they are thinking in  
 9 a causal way, are they not?  
 10 A. I'm not sure what they're thinking. The  
 11 language is association.  
 12 Q. They talk about something leading to  
 13 diabetes, correct?  
 14 A. Weight gain, yes, weight gain. We know  
 15 that weight gain is a risk factor for diabetes.  
 16 Q. And we know that Zyprexa causes weight  
 17 gain, correct?  
 18 A. (Witness nods head.) But what has not  
 19 been demonstrated is Zyprexa causing diabetes.  
 20 Q. We know that Zyprexa can cause massive  
 21 amounts of weight gain in some individuals, in  
 22 some instances more than 80 pounds, correct?  
 23 A. I'm not sure we know that specifically.  
 24 Patients in those clinical trials, some gained  
 25 weight, some lost weight. Patients not in

1 clinical trials can gain 80 pounds as well.  
 2 Q. We saw in the e-mail from Dr. Beasley  
 3 that he felt back in 2002 it could be said  
 4 definitively that Zyprexa causes weight gain. Do  
 5 you remember that?  
 6 A. We've spoken before about Zyprexa  
 7 causing weight gain. That's not something that  
 8 I'm going to disagree with.  
 9 Q. If I can direct your attention to the  
 10 third paragraph, this press release states: The  
 11 panel concluded that, quote, there is  
 12 considerable evidence, end quote, that treatment  
 13 with SGAs can lead to rapid weight gain and that  
 14 most of the weight gained is fat.  
 15 Correct?  
 16 A. Yes.  
 17 Q. And as we saw from your quote from the  
 18 letter to the editor, you wrote back in 2003,  
 19 with that begins diabetes --  
 20 A. That was in reference to lipid levels in  
 21 blood.  
 22 Q. Was that quote from 75 years ago talking  
 23 about lipid levels in the blood or talking about  
 24 obesity?  
 25 A. It was referring to both, but we used

1 that in the context of the article which is about  
 2 lipid levels and cardiovascular diseases.  
 3 Q. This press release goes on to state,  
 4 studies also show that association between  
 5 SGAs -- SGA stands for second-generation  
 6 antipsychotic, correct?  
 7 A. Yes.  
 8 Q. -- studies also show an association  
 9 between SGA use and the development of  
 10 prediabetes, diabetes and elevated blood lipid  
 11 levels.  
 12 And you've testified in your  
 13 deposition that you didn't even believe there was  
 14 an evidence of an association between Zyprexa and  
 15 diabetes, correct?  
 16 A. No, I did not say that.  
 17 Q. Do you believe there is an association?  
 18 A. I said that there are some studies that  
 19 have associated Zyprexa with the develop of  
 20 diabetes, some of the observational or  
 21 epidemiological study that we reviewed today.  
 22 Q. You said some studies have shown that.  
 23 Where do you come down -- is there an association  
 24 between Zyprexa and diabetes?  
 25 A. Overall, the observational data point in

1 many different directions, so there are data out  
 2 there that have associated Zyprexa with diabetes,  
 3 but taken in the contrast of the clinical trials  
 4 and the mechanistic studies, there's no  
 5 convincing data that there's a causal  
 6 association.  
 7 Q. In your view there is not even an  
 8 association between Zyprexa and diabetes?  
 9 A. There is an association between Zyprexa  
 10 and diabetes insofar as patients who take  
 11 Zyprexa have schizophrenia and schizophrenia is  
 12 associated with diabetes.  
 13 Q. In some cases SGA use have been  
 14 associated with diabetic ketoacidosis, DKA, which  
 15 can be life-threatening.  
 16 Did I read that correctly?  
 17 A. Yes.  
 18 Q. Is that the same ketoacidosis that we  
 19 talked about earlier?  
 20 A. The one that's associated with type 1  
 21 diabetes.  
 22 Q. And the ADA points out here that it can  
 23 also occur in the context of atypical  
 24 antipsychotics, correct?  
 25 A. That's what they point out, yes.

1 Q. They also go on to state, the paragraph  
2 below that: The panel also concluded that the  
3 SGAs differ in their risk profiles and that some  
4 SGAs such as clozapine and olanzapine, while  
5 effective treatment options, raise a greater risk  
6 of weight gain, diabetes and lipid disorders than  
7 others.

8 Correct?

9 A. That's what it says, yes.

10 Q. And then they go on to say below that --  
11 they state that the risks that are reviewed here  
12 in this study should influence choice of  
13 medications, correct?

14 A. Yes.

15 Q. Okay.

16 MR. SUGGS: Scott, that's all I'm  
17 going to do with that.

18 MR. LEHNER: Your Honor, can we  
19 approach for one minute?

20 THE COURT: You may.  
21 (Bench discussion.)

22 MR. LEHNER: We have informed  
23 Plaintiffs that there is a time for -- this  
24 witness has a time constraint, and I think we've  
25 been repeating things over and over again. I'm

1 concerned about the -- about the motive,  
2 actually.

3 MR. SUGGS: To the extent I've  
4 repeated things it's been because he hasn't been  
5 responsive to the questions.

6 MR. LEHNER: He has a flight at  
7 4:30 this afternoon.

8 MR. SUGGS: I'm coming down to the  
9 last --

10 THE COURT: Let's get done with our  
11 discussion and finish this up.

12 (End of bench discussion.)

13 MR. SUGGS: Your Honor, can I  
14 publish AK10177 to the jury?

15 THE COURT: You may.

16 Q. (BY MR. SUGGS) Doctor, I'm mindful of  
17 the fact that you need to catch a plane, and  
18 since I haven't been home in a month, I'm  
19 sympathetic for anyone who wants to get home. So  
20 I'll try to move it along here.

21 A. Thanks.

22 MR. SUGGS: Chris, could you pull  
23 up Exhibit 10094? This is the March 27, 2002  
24 letter on -- pardon me -- March 27, 2007 letter  
25 that the jury has heard considerable testimony

1 about.

2 Q. (BY MR. SUGGS) And I believe you saw  
3 this at your deposition, did you not, sir?

4 A. Yes, this I recall seeing.

5 Q. You saw in this that the FDA informed  
6 Lilly in March that they were concerned that the  
7 labeling for Zyprexa is deficient with regard to  
8 information about weight gain, hyperglycemia and  
9 hyperlipidemia that is associated with olanzapine  
10 use whether taken alone or in combination with  
11 fluoxetine, correct?

12 A. Yes.

13 Q. I wanted to ask you some specific  
14 questions with respect to the first full  
15 paragraph on page 2.

16 MR. SUGGS: If you can blow that  
17 up, Chris.

18 Q. (BY MR. SUGGS) In this letter there is  
19 discussion of some data that Lilly had submitted  
20 to FDA. Do you recall reviewing that?

21 A. The data, yes.

22 Q. And what they did -- what the FDA  
23 describes in this paragraph is comparisons  
24 between placebo and people who were exposed to  
25 OFC or the combination of olanzapine and

1 fluoxetine, correct?

2 A. Yes, right.

3 Q. And by the way, it's clear that the  
4 FDA's concerns about hyperlipidemia and diabetes  
5 and stuff that were expressed in this letter  
6 pertain to the Zyprexa portion of the drug, not  
7 the Prozac, correct?

8 A. That's what my impression is, yes.

9 Q. Okay.

10 And in this paragraph they talk  
11 about two comparisons. One is a comparison  
12 between the incidence of hyperglycemia in excess  
13 of 200 milligrams per deciliter in patients who  
14 were exposed to the Zyprexa combination drug and  
15 placebo, correct?

16 A. Yes.

17 Q. And that 200 milligrams --

18 MR. SUGGS: Can you blow up, Chris,  
19 so the jury is sure exactly what we're talking  
20 about here -- actually, can you start the third  
21 line down, the sentence that starts "for  
22 example".

23 Q. (BY MR. SUGGS) For example, we note  
24 that your proposed Symbyax label includes  
25 information only on proportions of patients who



1 are relatively normal at baseline with regard to  
2 random blood glucose, less than 140 milligrams  
3 per deciliter, i.e., 2.9 percent of such patients  
4 receiving OFC had on-treatment levels greater  
5 than or equal to 200 milligrams per deciliter  
6 compared to .3 percent of placebo-treated  
7 patients.

8 Stop right there, Chris.

9 Now, what that's saying is that  
10 there was essentially a tenfold increased  
11 incidence in hyperglycemia above 200 milligrams  
12 per deciliter for the patients who were exposed  
13 to the Zyprexa drug versus those who just got  
14 placebo, correct?

15 A. That's what it says, yes.

16 Q. And that tenfold difference is about the  
17 same -- that's a relative risk of 10, correct?

18 A. I wouldn't use that term in analyzing  
19 adverse event data from clinical trial, no.

20 Q. Well, this clinical -- you've talked  
21 before about how a clinical trial is the gold  
22 standard for scientific evidence, correct?

23 A. But your use of the term relative risk  
24 is not --

25 Q. Okay, that's usually used in an

1 without seeing the numbers in front of me.

2 Q. The answer to my question is yes,  
3 correct?

4 A. I forgot the question.

5 Q. I thought you might. What this shows is  
6 that the folks who got Zyprexa had a tenfold  
7 higher incidence of hyperglycemia than the folks  
8 who took placebo, correct? Yes or no?

9 A. That's accurate, yes.

10 Q. And that level of hyperglycemia that  
11 they had wasn't just some mildly elevated level?  
12 It was 200 milligrams per deciliter, correct?

13 A. The problem here is these are  
14 nonfasting -- these are nonfasting data.

15 Q. I realize that, sir. My question is:  
16 The level that they had there was 200 milligrams  
17 per deciliter nonfasting blood glucose, correct?

18 A. Correct.

19 Q. And that is a level that is diagnostic  
20 for diabetes according to the American Diabetes  
21 Association, correct?

22 A. Incorrect.

23 Q. Well, if you were going to use random  
24 blood glucose --

25 A. Yes.

1 epidemiological list -- but let's talk about this  
2 study. This study is supposedly, according to  
3 your lights, a gold standard study. This is from  
4 a random prospective controlled clinical study,  
5 correct?

6 A. Yes.

7 Q. And what this gold standard test found  
8 in humans was that the patients who were exposed  
9 to Zyprexa who had previously had relatively  
10 normal blood levels had a tenfold higher  
11 incidence of hyperglycemia as compared to  
12 placebo, correct?

13 A. That's -- again, that's what the  
14 sentence says. I've loosed at these data, and the  
15 data are a bit misleading as they are presented  
16 here, because there are some patients who are in  
17 these categories that actually get better with  
18 Zyprexa. Some certainly get worse. So this is a  
19 snippet from that clinical trial. There's  
20 nothing about numbers of patients here. 2.9  
21 percent could be 1 out of 18 patients.

22 We need to sit down together and  
23 review the actual clinical trial data that led to  
24 this sentence. So, in -- as it's read, it's  
25 accurate. But it's difficult to interpret

1 Q. -- to determine whether somebody has  
2 diabetes, the cutoff level above which is  
3 diabetes, if you're using random blood glucose is  
4 200 milligrams per deciliter; is that correct?

5 A. You're making many errors here. You  
6 cannot use these numbers to diagnose diabetes in  
7 the context of this clinical trial. The  
8 diagnosis of diabetes is made upon two repeated  
9 values greater than 200 in conjunction with  
10 symptoms.

11 Q. I understand that, sir. But my question  
12 is the level of the cutoff that you use for  
13 random blood glucose by the American Diabetes  
14 Association to determine whether or not someone  
15 has diabetes is 200 milligrams per deciliter  
16 using the random test, correct --

17 A. Yes.

18 Q. -- as compared to 126 which is the  
19 fasting --

20 A. That's what the cut point is.

21 Q. That's all I'm after. You had here a  
22 tenfold increased incidence of the folks who had  
23 Zyprexa who were above that cut point as compared  
24 to placebo, correct?

25 A. In the context of this clinical trial,

1 yes.

2 Q. And this clinical trial and that  
3 analysis was actually done by Lilly, was it not?

4 A. Yes.

5 Q. Okay. It wasn't done by FDA? This was  
6 an analysis that was done by Lilly on that data,  
7 correct?

8 A. Correct.

9 Q. And was it your understanding also that  
10 this data that came from -- pardon me -- the data  
11 that formed the basis of that had been in Lilly's  
12 possession or they started collecting that data  
13 as early as 2002?

14 A. Again, I don't have access to internal  
15 Lilly documents. I don't know what the date of  
16 this study was.

17 Q. And then they have another comparison.  
18 This one we've just been talking about is the  
19 comparison between Zyprexa and placebo for folks  
20 who had relatively normal blood levels, correct?

21 A. Less than 140 if it was fasting would  
22 not be normal. Less than 126 would not be  
23 normal.

24 Q. At least as described in the FDA those  
25 were the relatively normal at baseline folks,

1 correct?

2 A. Again, it's critically important that  
3 you distinguish between fasting and random  
4 glucose levels because the cut points are very  
5 different.

6 Q. This whole paragraph is talking about  
7 nothing but random -- they're using random blood  
8 glucose levels in tests in all of this, correct?

9 A. I believe so, yes.

10 Q. Okay. So, we've talked about the one  
11 comparison where they were looking at folks who  
12 had relatively normal blood levels at baseline  
13 according to FDA, but they do another comparison  
14 here between the folks who use Zyprexa and the  
15 placebo for folks who had somewhat elevated blood  
16 levels, correct?

17 A. Yes.

18 Q. They note here that 46 percent of  
19 patients who were borderline to high had such on  
20 treatment levels compared to only 5 percent of  
21 placebo-treated patients, so again, it was about  
22 a tenfold higher increase to the folks exposed to  
23 Zyprexa, correct?

24 A. Yes.

25 Q. Almost 46 percent -- not almost. It

1 says 46 percent of the people who were borderline  
2 to high went up above that 200 milligram  
3 deciliter cut point, right?

4 A. It's extremely misleading to present  
5 percent data without looking at the specific  
6 numbers. 46 percent --

7 Q. Sir, can you answer my question?

8 A. I'm trying to, but it's important to  
9 understand that 46 percent, if it's two out of  
10 four patients, that's 50 percent. You really  
11 need to know what the baseline risk is, and also  
12 what happens to the people in the other  
13 categories.

14 MR. SUGGS: Judge, can I get an  
15 instruction for him to answer my question.

16 THE COURT: You need to listen to  
17 the question he's asking as that one. These guys  
18 want you to explain things, they'll do that.  
19 We'll get you on the plane today instead of  
20 tomorrow if you listen to his questions and  
21 answer the questions he's asking.

22 Q. (BY MR. SUGGS) In the folks who had  
23 borderline to high levels of blood glucose at the  
24 start of the experiment, 46 percent of them went  
25 up above that 200 per -- 200 milligram cut point,

1 right?

2 A. Yes.

3 Q. As compared to only 5 percent of  
4 placebo, right?

5 A. Yes.

6 Q. Tenfold increased incidence, correct?

7 A. Yes.

8 Q. By the way, in studies of cigarette  
9 smoking, do you know how much the risk of cancer  
10 is increased in folks who smoke cigarettes as  
11 opposed to nonsmokers?

12 MR. LEHNER: What's the relevance?

13 MR. SUGGS: It's about nine or ten  
14 times higher.

15 THE COURT: What's the relevance?

16 MR. SUGGS: It's a comparison,  
17 Your Honor, between this type of finding and the  
18 evidence regarding cigarette smoke.

19 THE COURT: I'll sustain the  
20 objection.

21 Q. (BY MR. SUGGS) Now, Doctor, you  
22 testified that you were not aware that the FDA  
23 after -- getting this data wrote to Lilly and  
24 said that it was their view that Zyprexa induces  
25 hyperglycemia. Do you recall saying you weren't

1 aware of that?

2 A. That specific letter or communication,  
3 no, I'm not aware of it.

4 MR. SUGGS: Chris, can you pull up  
5 10108, please?

6 Q. (BY MR. SUGGS) Doctor, you are aware  
7 that FDA made Lilly change their label in 2007,  
8 correct?

9 A. Yes.

10 Q. You were aware that the FDA told Lilly  
11 that they wanted to change the label in order to  
12 protect the public health?

13 A. I have not heard those words, no.

14 Q. If I could direct your attention to the  
15 third paragraph in Exhibit 10108, the last two  
16 lines state, in part -- well, let's start at the  
17 top of the paragraph. It said, we have reviewed  
18 the data you have submitted thus far as well as  
19 the available literature and we would like to  
20 request that you make labeling changes listed  
21 below pertaining to the effect of olanzapine and  
22 Symbyax on body weight, lipids and glucose.

23 Do you see that language, sir?

24 A. Yes.

25 Q. Were you aware that in the intervening

1 months between March of 2007 and August 28th, the  
2 date of this letter, Lilly repeatedly told FDA  
3 they did not believe that any labeling changes  
4 were necessary?

5 A. Yes.

6 Q. Who was it that made you aware of that?  
7 Was that the lawyers --

8 A. I'm sorry. I lost the train of thought  
9 there. Say that again. The request --

10 Q. Were you aware that between March of  
11 2007, the date of the prior letter, and August,  
12 2007, the date of this letter, that Lilly told  
13 FDA repeatedly that label change was not  
14 necessary?

15 A. I'm sorry. Again, I'm not aware of  
16 those internal communications at that level.

17 Q. If I can direct your attention to the  
18 last line -- last two lines in this letter, it  
19 states: We believe that it is in the best  
20 interest of the public health to make interim  
21 labeling changes now based on the data that we  
22 already have available.

23 Do you see that language, sir?

24 A. I'm sorry, I lost your paragraph.

25 THE COURT: The last sentence.

1 Q. (BY MR. SUGGS) Looking at the last two  
2 lines, where it says: We believe that it is in  
3 the best interest of the public health to make  
4 interim labeling changes now based on the data  
5 that we already have available.

6 And you were unaware that that was  
7 the case; is that correct?

8 A. I don't recall seeing this specific  
9 letter previously.

10 Q. If I could direct your attention to the  
11 following page --

12 MR. SUGGS: Chris, can you blow up  
13 that first paragraph under the heading  
14 Hyperglycemia, and highlight the last sentence?

15 Q. (BY MR. SUGGS) By the way, Doctor, you  
16 testified several times before that the word  
17 induced means caused, correct?

18 A. Yes, I think --

19 Q. In this letter, FDA stated: Olanzapine  
20 and clozapine treatments have been associated  
21 with a greater potential to induce hyperglycemia  
22 than other atypical antipsychotics.

23 Do you see that language, sir?

24 A. Yes.

25 Q. And you disagree with that, don't you,

1 sir?

2 A. Well, no -- the --

3 Q. You do agree with it?

4 A. It depends if you're pertaining to the  
5 clinical trials where these were compared and  
6 some of the studies were mentioned in Cavazzoni  
7 and in Allison, olanzapine, for instance, was  
8 associated with a higher glucose level so the  
9 glucose did increase. But if you're using  
10 hyperglycemia as a threshold phenomenon based on  
11 the published literature, this would be  
12 inaccurate.

13 Q. Well, sir, that literature on -- pardon  
14 me -- the scientific findings that we discussed  
15 before that were in the March, 2007 letter  
16 showing a tenfold increased incidence we've  
17 talked about?

18 A. Yes.

19 Q. That's never been published by Lilly,  
20 has it, sir?

21 A. I don't -- I don't believe those new  
22 data have been published yet, no.

23 MR. SUGGS: May I have a moment,  
24 Your Honor?

25 THE COURT: You may.

1 (Discussion off the record.)  
2 MR. SUGGS: Your Honor, State of  
3 Alaska passes the witness.

4 THE COURT: Mr. Kantra.  
5 Mr. Kantra, can you give me a sense  
6 of how much time you've got?

7 MR. KANTRA: I would estimate I  
8 have no more than 10 to 15 minutes.

9 THE COURT: Anybody got any  
10 critical things -- what time?

11 VENIREPERSON: I have a 2:10  
12 appointment, Your Honor. I can be five to ten  
13 minutes late.

14 REDIRECT EXAMINATION

15 Q. (BY MR. KANTRA) Dr. Inzucchi, I want to  
16 show you, first, what the State showed you  
17 earlier which was marked as AK10175 which was the  
18 Hausknecht study. And this was just to refresh  
19 your recollection on this. This was the study on  
20 rats that was discussed with you. And if you --  
21 if look at the end of that article, and you read  
22 along with that last sentence that states that:  
23 Properly designed clamp studies in human subjects  
24 could confirm whether the acute effects we  
25 observed preclinically translate to schizophrenic

1 patients.

2 A. Yeah.

3 Q. And that's consistent with your  
4 understanding of why relying upon animal studies  
5 to make judgments about causation is not  
6 appropriate.

7 A. Yeah, you'd always defer to the human  
8 studies.

9 Q. You also told us in regard to another  
10 study, which was the Sacher study, and that was  
11 again, just to remind you, the euglycemic clamp  
12 study, right?

13 A. Yes.

14 Q. This was AK10176. And you told the jury  
15 and the Court that you believe that study was  
16 invalid, that the conclusions that were reached  
17 in that study were invalid?

18 A. Correct.

19 Q. And I want to show you in particular  
20 page 5 of the document. And I want to show  
21 you -- zooming in and zooming out -- I just want  
22 to get both.

23 Can you tell the jury why it is  
24 your belief that this study is not valid?

25 A. Well, for a very simple reason. On the

1 top panel there are two lines superimposed and  
2 these are in patients who are getting  
3 ziprasidone. This is the comparative drug. You  
4 can't really distinguish the two lines because  
5 they're so identical that they're superimposed.

6 So one line represents baseline, so  
7 that's before exposure to the drug, and the other  
8 indicates ten days after ziprasidone. This  
9 clearly shows that ziprasidone has no effect on  
10 insulin sensitivity. Remember this is the  
11 euglycemic clamp that is testing insulin  
12 resistance, versus insulin sensitivity. So  
13 that's what you'd like to see if you don't think  
14 that a drug is causing any perturbations in that  
15 measure.

16 The bottom panel shows what happens  
17 with -- or what the authors state happens with  
18 olanzapine. There's something very, very curious  
19 in this graph. The first is that the baseline  
20 which is the top line that is heading upwards,  
21 that is a very curious result from a euglycemic  
22 clamp. The euglycemic clamp, you should be in  
23 steady state at about 40 minutes.

24 Q. What does steady state mean?

25 A. That means that your glucose uptake --

1 this is how much glucose -- remember, we're  
2 giving glucose to prevent people from getting  
3 hypoglycemic. And this indicates how much  
4 glucose is being uptaken by peripheral tissue  
5 such as muscle, so that glucose uptake should be  
6 smooth as can be. After 20, 40 minutes it should  
7 be very, very smooth.

8 Q. And instead, what do you see here?

9 A. Well, it's climbing.

10 Q. What does that tell you?

11 A. I don't know what it's telling me. It's  
12 just saying that at 100 minutes the glucose  
13 uptake in the baseline test was much higher than  
14 at the beginning of the test. So this is not a  
15 steady state. I can't tell you what happened in  
16 this study, whether the procedures weren't  
17 followed.

18 It's very difficult to explain why  
19 the glucose uptake would be heading skyward in  
20 this steady state study. Then you get to the  
21 open circle line which is after ten days of  
22 olanzapine therapy. And they're showing that the  
23 glucose uptake is at a certain range. It's not  
24 possible to compare these two lines.

25 This is the conclusion of their

1 study and they actually point out that this is a  
2 negative to their study in the discussion, but  
3 you can't compare these two lines. You need to  
4 compare two steady state lines. You can't  
5 compare one line that is not in a steady state to  
6 the other line.

7 If you forget about the line that  
8 is going upward, and you just compare the glucose  
9 uptake in the olanzapine arm, it's very similar  
10 to what you'll see in the ziprasidone arm. So  
11 it's about -- glucose uptake of about 5. So this  
12 tells me that you can certainly interpret the  
13 ziprasidone part of the study. Ziprasidone  
14 clearly does not lead to insulin resistance. You  
15 can't interpret that line. I believe that is one  
16 of the reasons this was not published in a  
17 metabolic journal. This would not have passed  
18 peer review in a metabolic journal.

19 MR. SUGGS: Objection, Your Honor.  
20 Speculation.

21 THE COURT: I'll let the testimony  
22 stand.

23 Q. (BY MR. KANTRA) You were also asked,  
24 Dr. Inzucchi, about weight gain and the extent to  
25 which diabetes either develops or doesn't develop

1 today contradicts the hyperglycemic clamp study  
2 that we reviewed earlier, correct?

3 A. No, it has not been refuted.

4 Q. Now, you were also asked a question  
5 about the extent to which weight gain of a  
6 magnitude of 80 or 90 pounds, what that might  
7 lead to in terms of an increased risk of  
8 diabetes. Do you remember those questions?

9 A. Yes.

10 Q. You responded by saying that you thought  
11 the increase in risk might have been about a  
12 three to fivefold increase?

13 A. I think I said several fold. I don't  
14 know specifically what that would lead to. It's  
15 several fold above the normal anticipated risk of  
16 diabetes.

17 Q. Okay. And would that kind of increased  
18 risk of diabetes, if a drug was actually causing  
19 diabetes, would you expect to see that in the  
20 clinical trials that have been conducted to date?

21 A. If it was leading to 90 pounds of weight  
22 gain, within a few hundred patients, you would  
23 see epidemics of diabetes, and that's not what  
24 you see.

25 Q. Okay. Doctor, why would a physician

1 as a result of weight gain. Just to be clear,  
2 you're not aware of evidence that establishes  
3 that the weight gain associated with Zyprexa  
4 leads to diabetes, right?

5 A. No, as we've reviewed this morning.

6 Q. Now, you were also asked or I believe  
7 you were asked on cross-examination about whether  
8 or not there were clamp studies that contradicted  
9 Lilly's results. We've talked about the Sacher  
10 study, which as you said was a euglycemic result.  
11 I want to talk about the hyperglycemic clamp  
12 which was the other clamp study we talked about,  
13 and if you remember, that was the one that talked  
14 about effects on the pancreas, right?

15 A. Yes. To see how well the insulin can be  
16 stimulated by hyperglycemia.

17 Q. And that would be the fundamental test  
18 to figure out whether a drug actually had a  
19 direct effect on the pancreas' ability to produce  
20 insulin?

21 A. Yes.

22 Q. Which is your view about the fundamental  
23 reason why diabetes occurs, correct?

24 A. Yes. That's why diabetes occurs, yes.

25 Q. And nothing that has been shown to you

1 keep a patient on a drug if they'd gained up to  
2 90 pounds?

3 MR. SUGGS: Objection; speculation.

4 THE COURT: I think this is pushing  
5 the line. He doesn't --

6 MR. KANTRA: In regards to his  
7 consultations that he's done, if he knows.

8 MR. SUGGS: What drug? What  
9 patient?

10 THE COURT: Let's tie it to  
11 Zyprexa, which he doesn't prescribe. I'm going  
12 to sustain the objection.

13 MR. KANTRA: I'll move on.

14 Q. (BY MR. KANTRA) Doctor, you also were  
15 asked about a Japanese label and you were  
16 presented with information that there had been a  
17 contraindication for patients with diabetes?

18 A. Yes.

19 Q. Is it your intention to go back and in  
20 your work with various psychiatric institutions  
21 advise physicians that they should be not  
22 prescribing Zyprexa to their patients?

23 A. No. Particularly if it's working well  
24 in patients, no.

25 Q. You were also asked a couple of

1 questions about the extent to which -- I'm sorry.  
2 I'm going to start over again.

3 You were shown a letter in regards  
4 to Symbyax data, right?

5 A. Yes.

6 Q. Okay. And Symbyax is a combination  
7 product between olanzapine or Zyprexa and  
8 fluoxetine or Prozac, right?

9 A. Yes.

10 Q. And that data that Mr. Suggs showed to  
11 you as you stated, showed a tenfold difference in  
12 the rates of elevations in glucose levels,  
13 correct?

14 A. Correct.

15 Q. Now, you've also reviewed other data.  
16 You've reviewed fasting data from  
17 placebo-controlled trials regarding Zyprexa,  
18 haven't you?

19 A. Yes.

20 Q. And that data doesn't show a tenfold  
21 difference, does it?

22 A. Correct.

23 Q. Okay. You were also asked a question  
24 about an August 28th letter that was sent to  
25 Lilly by FDA, right?

1 A. Yes.

2 Q. And Mr. Suggs asked you about a line  
3 that letter in which there was a statement about  
4 olanzapine and clozapine having the potential to  
5 produce hyperglycemia at a greater rate than seen  
6 on other agents, correct?

7 A. Yes.

8 Q. Okay. And have you reviewed the 2007  
9 label that actually was issued with the FDA's  
10 approval in October of 2007?

11 A. Yes, I have.

12 Q. And does that letter -- does that  
13 labeling, current labeling for this drug say that  
14 olanzapine induces hyperglycemia?

15 A. I would have to refresh my memory on  
16 that. I believe the wording is that it has been  
17 associated with increases in blood glucose,  
18 levels or glucose elevations.

19 Q. Associated with glucose elevations not  
20 inducing hyperglycemia?

21 A. Not inducing hyperglycemia, so it's not  
22 just semantics. Again, increasing the blood  
23 glucose can occur in the normal range.  
24 Hyperglycemia is a threshold; diabetes is another  
25 threshold.

1 Q. Okay. And lastly, let me ask you, there  
2 have been many questions today about increasing  
3 the risk for diabetes versus causing diabetes.

4 Is there a difference, in your mind, between an  
5 increase in the risk of diabetes that's  
6 associated with a particular medication, and a  
7 medication actually causing diabetes?

8 A. Yeah, absolutely.

9 Q. What is that difference?

10 A. Well, diabetes is a disease of the  
11 pancreas. Things can make it more likely to  
12 occur. If you're overweight, you're more likely  
13 to get diabetes, but the overweight -- the  
14 obesity, the increased pounds is not the cause of  
15 the diabetes. The diabetes occurs when there's  
16 an underlying predisposition or genetic  
17 likelihood for beta cell decompensation.  
18 Pancreatic decompensation in the face of that --  
19 those increased pounds and presumably insulin  
20 resistance. It's a risk factor. It's not the  
21 cause of diabetes.

22 Q. Thank you, sir.

23 MR. SUGGS: Your Honor, I could  
24 have lots of questions but in the interest of  
25 time, we'll pass.

1 THE COURT: Ladies and gentlemen,  
2 again, this would be the time to have you ask  
3 questions if you need to. I want to ask you  
4 about the interest of time. If at least one of  
5 you members have questions, if anyone have  
6 questions they really, really want to ask, that's  
7 fine with me. I hate to have to do anything that  
8 suggests you shouldn't ask questions, but I do  
9 remind you about the time.

10 So if anyone has questions, we'll  
11 try to get them asked of the doctor at this time.

12 No? Okay. Thank you. Ladies and  
13 gentlemen of the jury, then, that brings us to  
14 the end of our trial day today. Am I correct  
15 that tomorrow we'll resume with the State's  
16 deposition? No out-of-order witnesses?

17 MR. ALLEN: Yes, we'll finish up  
18 tomorrow.

19 THE COURT: We should finish up the  
20 State's case tomorrow. Once again, before you  
21 leave, I'll remind you, please do not discuss the  
22 case with anyone or have anyone discuss it with  
23 you. Please keep an open mind until you hear all  
24 the evidence in the case. Please do not read any  
25 newspaper articles or listen to TV or radio about

1 this case or do any Internet research.  
 2 I'll see everyone tomorrow at 8:30.  
 3 Have a nice afternoon.  
 4 (Jury out.)  
 5 THE COURT: We are outside the  
 6 presence of the jury.  
 7 MR. KANTRA: Your Honor, can  
 8 Dr. Inzucchi step down?  
 9 THE COURT: The doctor may step  
 10 down. Have a nice flight back, Doctor. Please  
 11 be seated, too.  
 12 Anything we need to take up before  
 13 we recess?  
 14 MR. SUGGS: One quick question,  
 15 Your Honor. I think the only exhibit that I  
 16 moved into evidence was one that I've already, in  
 17 fact, published. Those I marked for  
 18 identification, but I'm assuming Mr. Borncamp  
 19 [sic] will want to hold onto those if I put a  
 20 sticker on them.  
 21 THE COURT: Mr. Borneman --  
 22 MR. SUGGS: Mark. I'm sorry.  
 23 THE COURT: Yeah. There were a  
 24 number of articles that were marked for  
 25 discussion with the doctor and clearly were

1 discussed. In the past we've been having  
 2 articles come in more for notice. These articles  
 3 weren't just discussed for notice. They were  
 4 discussed for the truth of the matter.  
 5 MR. SUGGS: Your Honor, the timing  
 6 of them was such I would find it difficult to  
 7 argue for notice because they were primarily 2007  
 8 articles.  
 9 THE COURT: They certainly should  
 10 be retained for identification purposes, but if  
 11 there's anything else you want to get in, you  
 12 need to let me know specifically.  
 13 MR. SUGGS: Can I get the pile  
 14 there, Your Honor, and just double-check that?  
 15 THE COURT: Sure, you can look it  
 16 over and we'll take it up first thing in the  
 17 morning. I think only one -- I do agree that  
 18 there was only one new exhibit, which I believe  
 19 was the press release following the --  
 20 MR. SUGGS: AK10177, we've already  
 21 had that admitted and published, Your Honor.  
 22 THE COURT: I think that's the one  
 23 that I recall that hadn't been previously  
 24 admitted that was admitted as a new exhibit.  
 25 Anything else, then, that we need

1 to take up?  
 2 MR. ALLEN: Did you get your --  
 3 State memorandum regarding UTPA penalty --  
 4 THE COURT: I just did.  
 5 MR. LEHNER: Your Honor, just for  
 6 planning purposes, I know we have approximately  
 7 an hour and 15 minutes of video tomorrow. You  
 8 were talking about wanting to introduce some  
 9 exhibits. Is it your intention that we would  
 10 have -- do whatever applications we want to do  
 11 and call a witness at the end of that period. I  
 12 just want to know what we should have available  
 13 tomorrow.  
 14 THE COURT: I'm assuming if we have  
 15 an hour and 50 minutes and by the time --  
 16 MR. LEHNER: And we may play a  
 17 couple of videos in ours so we may have a total  
 18 of like two hours. We may play a couple of video  
 19 clips.  
 20 THE COURT: I would assume that by  
 21 shortly after our morning break, sometime around  
 22 10:30 or 11:00, allowing some time for  
 23 applications and stuff, that Lilly should prepare  
 24 to start putting on its case.  
 25 MR. ALLEN: I have exhibits I need

1 to get in.  
 2 THE COURT: Even with that, if  
 3 you've got an hour and 45 and 50 minutes and it  
 4 takes some time for the applications, assuming  
 5 that we get started close to 8:30, by the time  
 6 that gets done and the exhibits get done and  
 7 stuff, I'm figuring two and a half hours for all  
 8 of that, and some of these things we can deal  
 9 with while the jury is out on its break. We'll  
 10 just have a longer morning break --  
 11 MR. FIBICH: Your Honor, there's  
 12 one other issue dealing with the statutory  
 13 penalties, I think we need to have some insight  
 14 from what the Court thinks in the morning. That  
 15 may change what we need to do, and if the Court  
 16 feels that the penalties are to be assessed by  
 17 the jury, then we would want to rest subject to  
 18 calling the State's witness --  
 19 THE COURT: Let me read your brief  
 20 and stuff. I'll tell you preliminarily. First,  
 21 there is a question -- Lilly is raising a  
 22 question as to whether or not the statutes, the  
 23 penalties apply. So that's got to be decided.  
 24 To the extent it applies, I certainly want the  
 25 jury to be able to in some way describe through

1 interrogatories on -- first the jury has to  
 2 decide whether there is a violation of the UTPA.  
 3 If there is, we probably would want the jury to  
 4 decide in some ways that would let us identify  
 5 what the violations are, at least, if we need to  
 6 down the road, and then we can discuss whether we  
 7 need to what have some testimony about how many.  
 8 But if, for example, the jury were to be asked  
 9 interrogatories as to whether or not each of the  
 10 product labels is a -- I want to have some way to  
 11 know what the violation actually is that they're  
 12 finding if they find a violation so that there's  
 13 a way to calculate numbers.

14 MR. FIBICH: Your Honor, I think  
 15 the point is this, and I agree with you. The  
 16 question becomes we're going to rest subject to  
 17 calling Mr. Campana if we feel the need to put on  
 18 testimony as to number -- as to number of  
 19 violations. So, if the Court is not inclined to  
 20 allow us that latitude, then we're going to need  
 21 to call Mr. Campana before we rest tomorrow.

22 THE COURT: What I'm sort of  
 23 hearing is we may get started a little late  
 24 tomorrow, because we may have some -- let me read  
 25 both of your submissions and then we probably

1 ought to have some discussion.

2 MR. LEHNER: Truly, that sounds  
 3 like that's going to be necessary. I'm just  
 4 concerned about whether or not we are going to  
 5 have one more witness to the State's question,  
 6 depending on the discussion or depending on the  
 7 decision, it seems a little amorphous at the  
 8 moment. If the State is going to rest, I think  
 9 the State is going to rest, subject to, I haven't  
 10 heard that procedure.

11 MR. ALLEN: We will rest subject to  
 12 if a determination has to be made, we have the  
 13 orderly presentation of witnesses. They called  
 14 them out of order. That's common. If we need to  
 15 determine that, we need to determine that.

16 THE COURT: They're going to make  
 17 applications following your resting that I  
 18 assume, and so everybody -- I need to give both  
 19 of you an opportunity to argue how you could  
 20 propose doing this, and the proposal should be  
 21 based -- this all is moot if the jury finds no  
 22 violation of the UTPA. The question is going to  
 23 be tomorrow, assuming the jury finds violation of  
 24 the UTPA, what happens and assuming that I find  
 25 that the claim -- and, again, I'm not remembering

1 the statute, but I'll call it the statute that  
 2 deals with the penalties that might occur for the  
 3 State, not as a consumer of the product, where  
 4 they have to show ascertainable loss, but as a --  
 5 as the enforcer of the UTPA on behalf of the  
 6 citizens of the State of Alaska.

7 What do we do about determining --  
 8 what does the jury need to determine -- this is  
 9 the question I originally made. Do they  
 10 determine something in that case or do I? And  
 11 what would it be prudent, preserving everybody's  
 12 objections and stuff, to have the State -- to  
 13 have the jury decide because -- I'd rather have a  
 14 record of the jury deciding something that maybe  
 15 else said we didn't need to use this or the judge  
 16 should have decided that or something else rather  
 17 than not have that there and be told by the  
 18 Supreme Court that we needed to have.

19 And so probably going to err on the  
 20 side of even if I rule that this isn't a proper  
 21 claim or those sort of things, I may want the  
 22 jury to decide it so we don't have to retry this  
 23 case if I'm wrong about that. And, again, I  
 24 haven't even read either of your submissions  
 25 other than that kind of briefly glance at Lilly's

1 there is an assertion that the second kind of  
 2 claim the State is enforcing this on behalf of  
 3 its citizens, Lilly's position, as I understand  
 4 it, that's not what the case is about. I think  
 5 that's what your position is.

6 MR. LEHNER: You characterize it  
 7 generally correct.

8 THE COURT: Just to front this all,  
 9 part of the reason I ask it is because if we make  
 10 those determinations and I decide that that kind  
 11 of claim exists, I don't know if we have a  
 12 damages claim on that part of the thing. I think  
 13 I just decide where does this fall in the range  
 14 and multiply it by the number of violations and  
 15 that's your penalty. And the second part of the  
 16 phase we definitely have a jury decision, in the  
 17 first part of the phase, if there was 20  
 18 violations and it's \$1,000 fine, that's \$20,000  
 19 and I think that's just math at that point. But  
 20 maybe that's why I asked the question in the  
 21 first place. I'm not -- I want to give everybody  
 22 a condition to let me know what they think and  
 23 why. And so we'll take some time tomorrow  
 24 morning before we bring in the jury to discuss  
 25 this and I'll read the stuff tonight.



1 If there's nothing else, then,  
 2 we'll be off record -- oh, actually?  
 3 If Lilly's -- are there issues  
 4 about depositions that Lilly wants to play that I  
 5 need to decide something about?  
 6 MR. LEHNER: We gave, I think, four  
 7 or five transcripts. They may have issues. They  
 8 include most of the things that we  
 9 counterdesignated previously.  
 10 MR. ALLEN: You're talking about  
 11 your case in chief? We're going to start looking  
 12 at them --  
 13 THE COURT: If you're going to have  
 14 problems, I want to give them the same ability to  
 15 make the rulings so they can prepare their  
 16 stuff --  
 17 MR. ALLEN: I will never ask to  
 18 insert one of my things into their play. I will  
 19 only ask that one side look at it to play about  
 20 45 seconds to two minutes, I promise you, they  
 21 can go ahead and cut their tape and get it done.  
 22 MR. LEHNER: We need to say what he  
 23 intends to use on cross-examination. If we have  
 24 an objection, you need to rule on it.  
 25 MR. ALLEN: Yeah, I won't do that.

1 Cut your tape.  
 2 THE COURT: What you're saying is,  
 3 I mean, these are all your questions anyway for  
 4 the most part of those people.  
 5 MR. ALLEN: Yes, Your Honor. I'm  
 6 saying they can cut their tape and they can have  
 7 at it.  
 8 THE COURT: Then I'll see everybody  
 9 in the morning.  
 10 THE CLERK: Please rise.  
 11 (Trial adjourned at 1:50 p.m.)  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

1 REPORTER'S CERTIFICATE  
 2  
 3 I, SANDRA M. MIEROP, Certified Realtime  
 4 Reporter and Notary Public in and for the State of  
 5 Alaska do hereby certify:  
 6 That the proceedings were taken before me at  
 7 the time and place herein set forth; that the  
 8 proceedings were reported stenographically by me  
 9 and later transcribed under my direction by computer  
 10 transcription; that the foregoing is a true record  
 11 of the proceedings taken at that time; and that I am  
 12 not a party to, nor do I have any interest in, the  
 13 outcome of the action herein contained.  
 14 IN WITNESS WHEREOF, I have hereunto subscribed  
 15 my hand and affixed my seal this 17th day of March,  
 16 2008.  
 17  
 18  
 19  
 20 \_\_\_\_\_  
 21 SANDRA M. MIEROP, CRR, CCP  
 22 Notary Public for Alaska  
 23 My commission expires: 9/18/11  
 24  
 25